

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

SUPERIOR COURT OF CALIFORNIA

COUNTY OF ALAMEDA

BEFORE THE HONORABLE WINIFRED Y. SMITH, JUDGE PRESIDING

DEPARTMENT NUMBER 21

---oOo---

COORDINATION PROCEEDING )  
SPECIAL TITLE (RULE 3.550) )  
ROUNDUP PRODUCTS CASE ) **JCCP No. 4953**

\_\_\_\_\_  
THIS TRANSCRIPT RELATES TO: )  
Pilliod, et al. ) **Case No. RG17862702**  
vs. )  
Monsanto Company, et al. ) **Pages 2420 - 2662**  
\_\_\_\_\_) **Volume 16**

**Pages 2424 - 2430**  
FILED UNDER SEAL BY  
ORDER OF THE COURT  
AND BOUND SEPARATELY

**Reporters' Transcript of Proceedings**

Monday, April 8, 2019

Reported by: Kelly L. Shainline, CSR No. 13476, RPR, CRR  
Lori Stokes, CSR No. 12732, RPR  
Stenographic Court Reporters



**BAY AREA REPORTING**  
SOLUTIONS

888.526.8243  
www.BayAreaReportingSolutions.com

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**APPEARANCES OF COUNSEL:**

For Plaintiffs:

THE MILLER FIRM, LLC  
108 Railroad Avenue  
Orange, Virginia 22960  
(540) 672-4224

**BY: MICHAEL J. MILLER, ATTORNEY AT LAW**  
mmiller@millerfirmllc.com

BAUM HEDLUND ARISTEI & GOLDMAN PC  
10940 Wilshire Boulevard, 17th Floor  
Los Angeles, California 90024  
(310) 207-3233

**BY: R. BRENT WISNER, ATTORNEY AT LAW**  
rbwisner@baumhedlundlaw.com  
**PEDRAM ESFANDIARY, ATTORNEY AT LAW**  
pesfandiary@baumhedlundlaw.com

(APPEARANCES CONTINUED ON FOLLOWING PAGE)

1        **APPEARANCES:**    (CONTINUED)

2        For Defendants:

3                EVANS FEARS & SCHUTTERT LLP  
4                2300 W. Sahara Ave, Suite 950  
5                Las Vegas, Nevada 89102  
6                (702) 805-0290  
7                **BY: KELLY A. EVANS, ATTORNEY AT LAW**  
8                kevens@efstriallaw.com

9                HINSHAW  
10               One California Street, 18th Floor  
11               San Francisco, California 94111  
12               (415) 362-6000  
13               **BY: EUGENE BROWN JR., ATTORNEY AT LAW**  
14               ebrown@hinshawlaw.com

15               GOLDMAN ISMAIL TOMASELLI BRENNAN & BAUM LLP  
16               564 West Randolph Street, Suite 400  
17               Chicago, Illinois 60661  
18               (312) 681-6000  
19               **BY: TAREK ISMAIL, ATTORNEY AT LAW**  
20               tismail@goldmanismail.com

21               (Multiple other counsel present as reflected in the  
22               minutes.)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

Monday, April 8, 2019

PLAINTIFFS' WITNESSES

PAGE VOL.

RITZ, BEATE

Direct Examination by Mr. Miller	2437	16
Cross-Examination by Mr. Evans	2581	16
Redirect Examination by Mr. Miller	2649	16
Recross-Examination by Mr. Evans	2656	16
Further Redirect Examination by Mr. Miller	2656	16

MARTENS, MARK

By Video Deposition (not reported)	2659	16
------------------------------------	------	----

E X H I B I T S

<u>PLAINTIFFS' EXHIBITS</u>	<u>DESCRIPTION</u>	<u>IDEN</u>	<u>EVID</u>	<u>VOL.</u>
Exhibit 25	RE: Dermal Absorption		2661	16
Exhibit 26	Operator Exposure for MON 2139: UK Case		2661	16
Exhibit 27	Study: Elimination of 14C-Glyphosate in Rhesus Monkeys Following a Single Dose; Percutaneous Absorption of 14C-Glyphosate in Roundup® Formulation in Rhesus Monkeys Following a Single Topical Dose (Maibach Study		2661	16
Exhibit 34	Email Re: RE: Pk Recovery Wester et al.		2661	16

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Monday, April 8, 2019

9:01 a.m.

(Proceedings held in chambers outside the presence of  
the jury.)

(Pages 2424 through 2430 were placed under  
seal by Order of the Court and bound separately.)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

(The following proceedings were heard in  
chambers outside the presence of the jury:)

[REDACTED]

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

[REDACTED]

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

keep [REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED]  
[REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED]  
[REDACTED] [REDACTED]  
[REDACTED] [REDACTED]



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

[REDACTED]

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

[Redacted text block]

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

[REDACTED]

(Recess taken at 9:05 a.m.)

(Proceedings resumed at 9:08 a.m.)

(Proceedings continued in open court in the presence of  
the jury.)

**THE COURT:** So we're back. Hope you had a good, long, restful weekend and forgot you were jurors and had juror amnesia. We're back with evidence. Plaintiffs are going to continue their case.

And Mr. Miller, you may proceed.

**MR. MILLER:** Thank you, Your Honor. Good morning.

**THE COURT:** Good morning.

**MR. MILLER:** And good morning to you folks. First thing we're going to do is read a request for admission. And it's Request for Admission Number 31:

"Admit that Monsanto has never conducted an epidemiological study to study the association between glyphosate containing formulation and non-Hodgkin's lymphoma."

And Monsanto's answer: Admitted.

**MR. MILLER:** Now we would call our next witness, Beate Ritz.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

BEATE RITZ,

called as a witness for the Plaintiffs, having been duly sworn, testified as follows:

**THE CLERK:** Would you please state and spell your name for the record.

**THE WITNESS:** My name is Beate Ritz.  
B-E-A-T-E, last name, R-I-T-Z.

DIRECT EXAMINATION

**BY MR. MILLER:**

**Q.** Good morning.

**A.** Good morning.

**Q.** Who are you?

**A.** My students call me Dr. Ritz.

**Q.** Okay.

**A.** I teach at UCLA. I'm a professor of public health in the Department of Epidemiology. But actually, my salary comes from the Center for Occupational and Environmental Health, which is a State of California paid position to investigate occupational and environmental health risks.

**Q.** We're going to get into that in more detail. Let's go back to the beginning.

You're a medical doctor?

**A.** Yes.

**Q.** You're a neurologist?

1           A.    I wouldn't call myself a neurologist.  But I  
2 got trained in Germany, and I got trained in psychology  
3 and neurology, and I do a lot of neurotoxin-related  
4 studies.

5           Q.    And then -- and I'm going to put your CV,  
6 Exhibit 3055, I'm going to publish it with permission  
7 from the Court.

8           **THE COURT:**  Any objection?

9           **MR. EVANS:**  No objection.

10          **THE COURT:**  Granted.

11          **BY MR. MILLER:**

12          Q.    So I want to walk through this with you a  
13 little bit.

14                This is your curriculum vitae, right?

15          A.    Yes.

16          Q.    And what does curriculum vitae mean?

17          A.    That's -- we call it CV, in short.  It's a  
18 statement of my educational and professional background  
19 and all of -- it's a summary of all of the --  
20 bibliography of all the work I did and projects I  
21 conducted.

22          Q.    And as you just explained to us, you're a  
23 professor, and you're in the Department of Epidemiology  
24 and Environmental Health at the University of California  
25 Los Angeles School of Public Health?

1           A.    Correct.

2           Q.    And how long have you been there?

3           A.    I came in 1989, because I actually studied  
4 there. I got a Ph.D. in epidemiology before they hired  
5 me in 1995 as an assistant professor, based on my degree  
6 from Germany, actually.

7           Q.    And after we go through your qualifications,  
8 we're going to ask you opinions about the issues in this  
9 case.

10                    You understand that, right?

11           A.    Yes.

12           Q.    When you give us opinions, will you apply the  
13 same analytical skills you apply and the same constructs  
14 you use when you teach medical students or graduate  
15 students or interns?

16           A.    Yes, absolutely.

17           Q.    All right. Let's go through them.

18                    You have a Ph.D. in epidemiology from UCLA?

19           A.    Right.

20           Q.    How many years has that been now, 1995?

21           A.    Twenty-some.

22           Q.    I lost count myself.

23                    And you have a doctoral degree in medical  
24 sociology. That's actually a medical degree in Germany?

25           A.    Yes. That's actually a doctoral degree, in

1 addition to the M.D. So I have two Ph.D.s.

2 Q. And you're the chair of the Department of  
3 Epidemiology.

4 What does it mean to be the chair?

5 A. I was the chair of the department, which is an  
6 administrative function. But mainly what we do is, we  
7 decide what the curriculum for Ph.D. students and  
8 master-level students in epidemiology should look like.

9 So the chair is deciding mostly on the  
10 teaching curriculum.

11 Q. How many epidemiologists have you had under  
12 and with you there at UCLA?

13 A. Oh, boy. We have 200 students per year. Half  
14 of them are doctoral students. And I personally  
15 mentored at least 50.

16 Q. Okay. Let's talk about editorial boards. We  
17 haven't heard about that yet, I don't think.

18 What does it mean to be on the editorial board  
19 of a journal?

20 A. It means that you have the tasks, the work of  
21 an editor. So when a paper gets submitted for  
22 publication, then you are one of the people who are  
23 looking at it first, deciding whether it belongs in this  
24 journal. And then also deciding who should review it.

25 In my function, at the time, on the board at

1 EPIDEMIOLOGY, you would also do the review yourself. So  
2 you would get the most appropriate articles to review in  
3 your area.

4 Q. Okay. And I've heard the phrase before,  
5 "impact journal."

6 What does that mean?

7 A. So high impact journals are the journals that  
8 are very well-respected in our field, that we all  
9 volunteer to review, because none of this is paid. And  
10 we are very proud of that peer-review process, because  
11 that's how science works.

12 We are criticizing each other. We are asking  
13 questions of manuscripts. Only if the reviewers are  
14 really satisfied and the editor is satisfied with what  
15 they see, will a paper be accepted for publication.

16 Q. Is EPIDEMIOLOGY a high impact journal?

17 A. Yes.

18 Q. And for six years, you were on the editorial  
19 board at EPIDEMIOLOGY?

20 A. Yes. Actually, it is the official origin of  
21 the International Society for Environmental  
22 Epidemiology, and has been since its inception.

23 Q. And we're going to talk more about that.

24 I want to look now, if I could, since 2001,  
25 you've been the chair of the external advisory committee



1 for NCI/NIEHS. Goodness gracious.

2 What is that?

3 A. National Cancer Institute is NCI, and NIEHS is  
4 who gives me all my money for my research. That's the  
5 National Institute of Environmental Health Sciences,  
6 which is located in North Carolina, right opposite of  
7 the EPA.

8 Q. And you're also a member of the EPA Science  
9 Advisory Board for Human Health Research Strategy?

10 A. Yes. That was one of my advisory committee  
11 duties at one point.

12 So these are temporary, interim advisory  
13 boards that you get put on. And you do your job, and  
14 then you're off again.

15 Q. Sure. But to be invited, you consider it an  
16 honor?

17 A. Oh, yes. That's why we do it, and it's all  
18 unpaid. It's service.

19 Q. I understand. All right.

20 Now, we're not going to go through everything  
21 on your CV, but there are some things we want to talk  
22 about. Tell the jury about grants, funded research.

23 What does all that mean?

24 A. Right. So, I mean, I can teach at UCLA and  
25 mentor my students. But what I also need to do is

1 research. UCLA is a research university, not just a  
2 teaching university.

3 When I do research, I do human research,  
4 epidemiology. That means we actually have to approach  
5 human beings, and we have to interview them, assess  
6 their health.

7 So when I do a study of Parkinson's disease in  
8 the Central Valley, neurologists who work with me go out  
9 with me and see every single patient multiple times.  
10 And then my students who are trained by me are  
11 interviewing these people, taking their blood, bringing  
12 home samples of urine, soil, whatever we need.

13 And all of that costs money. My students need  
14 to pay their tuition and need to live, so I usually pay  
15 them for this work. And we get this kind of money from  
16 the National Institute of Health, which the National  
17 Institute of Environmental Health Science is part of.  
18 So I have to write a grant. It gets peer-reviewed in a  
19 big committee. And then I get scored.

20 And if I'm better than 90 percent of all the  
21 people who submitted grants, in the 10th percentile or  
22 less, then I get money.

23 Q. There you go.

24 And you've been successful in convincing these  
25 federal agencies that you ought to be doing research for

1 these purposes?

2 **A.** Yes. And I have a long list of funded grants.

3 **Q.** We're not going to go through all of them, but  
4 let's look at a few.

5 So you do quite a bit with the environment.

6 Is that fair?

7 **A.** Yes.

8 **Q.** And I see you're doing one down here for NASA.  
9 What is that about?

10 **A.** That's actually a really interesting grant. I  
11 do air pollution and pesticide work. And this is the  
12 part that I consider my air pollution work.

13 So this NASA grant, I was approached by  
14 somebody from JPL, Jet Propulsion Lab, who designed an  
15 instrument, a camera, that actually takes photos of  
16 polarized light in the air column. So you have a  
17 satellite, a camera on it, and they are looking at the  
18 Earth. And they are measuring particle and composition  
19 of particles in the air column.

20 That satellite will go up in 2020. And in the  
21 meantime, what we are doing as epidemiologists -- I'm  
22 not a satellite person, but what I'm supposed to do is  
23 assemble all the health data for Southern California,  
24 Ethiopia, Chile, and Taiwan to see if different levels  
25 of air pollution in these different areas are actually

1 related to health effects. And we will be doing that  
2 throughout 2020.

3 Q. I see you had grants to study pesticide?

4 A. Yes. That's one of my smaller grants on  
5 pesticides.

6 Q. I understand you had more than a few grants to  
7 study pesticides?

8 A. That's correct.

9 Q. Is that fair?

10 A. Yes.

11 Q. Would it be fair to say that pesticide  
12 exposure and its impact on humankind has been an area of  
13 research for you over your professional life?

14 A. Yes. In 1995, I decided that pesticides for  
15 California are a very important environmental and  
16 occupational health risk. And that as an official in my  
17 center, I should better be studying this, just like air  
18 pollution is.

19 And I've been pursuing NIH for funding for  
20 many, many years. And I've studied neurotoxins,  
21 childhood cancers, autism, Parkinson's; many, many  
22 outcomes.

23 Q. So pesticides and childhood cancers, you got a  
24 grant from --

25 A. NIEHS, yes.

1           Q.    -- the National Institute of Environmental  
2 Health Sciences?

3           A.    Correct.

4           Q.    That was in 2011 to 2013?

5           A.    Right.

6           Q.    Before Roundup, you were never an expert  
7 witness before?

8           A.    No.  No.

9           Q.    So you've been doing this long before we ever  
10 asked you to look at this case, right?

11          A.    Absolutely.  Twenty years.

12          Q.    Sure.

13                    I want to ask you about this \$7 million grant  
14 from the National Environmental Institute for Health  
15 Sciences.

16          A.    Yes.  So that was actually a center that I  
17 co-directed with Dr. Chesselet, who was a  
18 neuroscientist, and it was specific to look at  
19 neurotoxins and the combined effect of pesticides and  
20 genetic predisposition to Parkinson's.

21                    And that was a center that was actually funded  
22 twice.  And the 7 million is, I think, only part of what  
23 we got.  We got about 15 million to do this.  In total,  
24 I think was -- all of the different fundings we got from  
25 this, it was about 15 million.

1                   But more people than me worked on it. I did  
2 all the human work, but there was a lot in animals.  
3 Yeah.

4           **Q.** As a scientist, do you study the effects of  
5 pesticides on animals and cells, as well as humans?

6                   So you look at all three areas of science?

7           **A.** Well, I myself don't do animal studies, and I  
8 don't look at cells either. I just look at humans.

9                   But I collect cells from humans. I collect  
10 blood cells from humans, and we use these many different  
11 ways in a lab. I don't have a wet lab myself, because I  
12 can't do everything; I'm not an expert in everything.

13                   But I work very closely with basic scientists  
14 who do all this work. We collect the samples, we decide  
15 on hypothesis, and we look at the data together that  
16 comes out of this lab experiment.

17                   In fact, this center you showed a minute ago  
18 was specifically funded so people like me can do human  
19 research. M.D.s and more clinical people work better  
20 with basic scientists who then look at animal studies  
21 and cell studies, and we actually learn to talk with  
22 each other.

23                   That was a great experience. And I learned,  
24 over 15 years, to actually understand these studies,  
25 maybe 80 percent.

1           Q.    As part of your job, high-ranking  
2 epidemiologist for the State of California, when you  
3 come to conclusions about hazards to California  
4 citizens, you look at the epidemiology -- you're an  
5 epidemiologist, but do you also factor in the animal  
6 studies and cell studies?

7           A.    Absolutely.

8           Q.    Is that the way good scientists do that?

9           A.    Yes.  And I specifically learned to do that.

10          Q.    You're trained that way, correct?

11          A.    Yes.

12          Q.    Let's go to page 11 of your CV, another grant  
13 you received to study prostate cancer and pesticide  
14 exposure in diverse populations in California's Central  
15 Valley.

16                    Tell us a little bit about that.

17          A.    Yes.  This is with my long-term collaborator,  
18 Dr. Cockburn, from University of Southern California.  
19 And we designed a pesticide exposure model that is based  
20 on a very, very unique tool that the State of California  
21 has, and which is called the Pesticide Use Report  
22 System.

23                    So every farmer in California who uses and  
24 applies pesticides to a field has to actually report  
25 what he applied, when he applied it, how much he

1 applied, and where he applied it on a field.

2 And that goes into a central database called  
3 the Pesticide Use Report System, and we can actually get  
4 this data, it's public data. And we can use it to map  
5 where pesticide use happened in California.

6 So when we know in our studies where people  
7 lived, we can actually see where they worked, where they  
8 lived, and how much pesticide was applied right where  
9 they lived and worked. And that's what we did for this  
10 study.

11 Q. Now, there are epidemiologists out there in  
12 the world, the scientific community, that are not  
13 environmental epidemiologists, right?

14 A. That's correct.

15 Q. And the distinction I would like you to  
16 articulate for us: Do generic epidemiologists know,  
17 train, study, and work every day with exposure  
18 assessment models like you folks do?

19 A. Absolutely not. That's the specialty that I  
20 teach and that I was trained in.

21 So rather than -- you sometimes hear somebody  
22 is a cancer epidemiologist or a reproductive  
23 epidemiologist. So these people define themselves  
24 according to the disease they study.

25 Q. Sure.



1           **A.** I call myself an occupational and  
2 environmental epidemiologist because my profession is  
3 grounded in doing exposure assessment and doing that  
4 right.

5                       Of course, I'm an M.D.; of course I understand  
6 diseases. But really what our specialty is, is get the  
7 exposure assessment right.

8           **Q.** And isn't that the hardest part of deciding  
9 what, if any, pesticides cause what, if any, problems?  
10 An accurate exposure assessment model?

11           **A.** Absolutely. That's what I teach my students  
12 in the classroom. Because we have so many beautiful  
13 medical tools to actually define diseases. And I can  
14 send my neurologist to examine the Parkinson's patient,  
15 and I know it's a Parkinson's case and not something  
16 else. But to get the exposure assessment right is a  
17 real science.

18           **Q.** You work for the California Air Resources  
19 Project?

20           **A.** Board, yes.

21           **Q.** Board, excuse me.

22           **A.** I had a project for them. The California Air  
23 Resources Board gives out some money for scientific  
24 studies of air pollution. So I had a few of those types  
25 of funding from the State, as well.

1 Q. Who appointed you to that?

2 A. Oh, and I'm actually a scientific advisor on  
3 the Air Toxics Board for the State of California, and  
4 that's a governor appointee.

5 Q. And let's go back now to your CV and look at  
6 it some more. We'll get to your opinions in a second.

7 Page 14, you did another pesticide exposure  
8 modeling to look at long-term health effects in 1999?

9 A. Yes. That was actually the beginning of my  
10 career in pesticide epidemiology.

11 We first had to set up the exposure model, and  
12 we had to actually convince people that we could model  
13 exposures. And that's what we did at that time.

14 Q. And the objectives of this grant in 1999 were  
15 to:

16 "Develop geographic model for pesticide  
17 exposure of California residents between 1950  
18 and 1990 using satellite images of crops,  
19 aerial photographs, and pesticide use  
20 reporting data from the California Department  
21 of Pesticide Regulations."

22 A. Correct.

23 Q. Something you've been studying for a long  
24 time?

25 A. Yes. And improved over the years.

1 Q. That's what science does, right?

2 A. Yes. And actually, we could only do this  
3 because of the explosion of computer technology and  
4 imaging technology.

5 So what we had to do on paper maps in 1999,  
6 it's all digitized now. And I employed undergrads at  
7 UCLA for five years to digitize maps.

8 Q. Not only do you receive grants, but you've  
9 been asked to review other scientists' grants to decide  
10 if their scientific hypotheses are worthy of federal  
11 funding?

12 A. Yes, I do that regularly.

13 Q. You've done that a lot, haven't you?

14 A. Yes.

15 Q. Do you consider that an honor?

16 A. Yes. I consider it an honor. You're  
17 considered a peer, I guess.

18 Q. And we've highlighted some of those scientific  
19 organizations that you've decided whether other  
20 scientists should be allowed to study what they propose?

21 A. Right. In more recent years, I've been also  
22 internationally reviewing mostly pesticide grants. One  
23 for India, and I think one for South Africa.

24 Q. For other countries?

25 A. For other countries, yes.

1 Q. Wow. Okay.

2 I'm not sure we've heard yet or not, but what  
3 is a journal reviewer?

4 A. A journal reviewer does the job of actually  
5 reading a paper that is being sent to a journal. After  
6 the editor, he's the first person to read it.

7 And we are asked our professional opinion  
8 whether the scientific methods applied in this paper and  
9 the conclusions by the authors are correct. Or if we  
10 have questions, we ask a lot of questions.

11 Q. I'm not going to ask about every journal you  
12 review for, but a few.

13 American Journal of Epidemiology?

14 A. Right.

15 Q. And a second journal called EPIDEMIOLOGY?

16 A. Yes.

17 Q. Then the International Journal for  
18 Epidemiology, right?

19 A. Yes.

20 Q. The Annals of Epidemiology?

21 A. Yes.

22 Q. Environmental Health Perspectives?

23 A. Right. That's actually the official journal  
24 of the National Institute of Environmental Health  
25 Sciences.

1 Q. Occupational and Environmental Medicine?

2 A. Yes.

3 Q. JAMA. What's JAMA?

4 A. Journal of the American Medical Association.

5 It's considered a very high-level medical journal.

6 Q. And you're a reviewer for their articles?

7 A. Yes.

8 Q. That means you edit, reject, accept, or  
9 recommend --

10 A. I recommend, yes. I ask questions, I review,  
11 and I'm asked by the editors to say whether I would  
12 accept or reject a paper.

13 Q. And finally, The Lancet?

14 A. Yes.

15 Q. Which is --

16 A. It's the British equivalent to JAMA.

17 Q. I've heard British doctors tell me they think  
18 it's better than JAMA?

19 A. Yes.

20 Q. We'll leave that discussion for later.

21 We're not going to go through every  
22 peer-reviewed article because we want to get on to the  
23 heart of the matter.

24 But you've published how many peer-reviewed  
25 articles?

1           A.    I think now, it's about 278.

2           Q.    Would it be fair to say that a significant  
3 number of them deal with the issues of pesticide and  
4 their effects on mankind?

5           A.    Yes, absolutely.

6           Q.    You also signed on to a letter that I think  
7 we've heard about in this case, and it's in your CV.  
8 That was in the scientific journals, 40 years of IARC?

9           A.    Correct.

10          Q.    Why did you feel strongly enough to support  
11 IARC after they were challenged?

12          A.    I actually consider this a real privilege,  
13 because I consider the International Agency for Research  
14 on Cancer the worldwide authority on establishing  
15 whether an agent is a carcinogen.

16                I spent one year, 2006, at IARC as a visiting  
17 professor. I was part of one of their Monograph  
18 reviews, and I saw the whole process. And I was  
19 extremely impressed by the rigor and the science that  
20 was applied, and the independence of the researchers who  
21 participated.

22          Q.    Finally, there are medical textbooks out there  
23 in the world, right?

24          A.    Yes.

25          Q.    And you've written chapters in books?

1           A.    Yes.

2           Q.    And I'm not going to pronounce the first one.  
3           It looks like that might have been in German?

4           A.    Yeah.  When I started publishing, that was in  
5           the '80s, we were still writing books.  Now we are  
6           writing articles.

7           Q.    All right.  A few more questions about your  
8           qualifications, and then we'll move on.

9                        So you have been repeatedly asked to advise  
10           the State of California on the issue of pesticides and  
11           their effects?

12          A.    Yes.  Actually, at the Air Toxics board  
13           meeting, we assessed whether or not to classify  
14           pyrophosphate as an air toxin.

15          Q.    Now, we've heard about the Agricultural Health  
16           Study in this trial.

17          A.    Yes.

18          Q.    Okay.  And you were on the advisory panel that  
19           oversaw that study?

20          A.    Correct.

21          Q.    In fact, at one point, you served as the  
22           president of the advisory board?

23          A.    I served as a chair one year when we still had  
24           meetings, yes.

25          Q.    And before this old lawyer ever called and

1 asked you to look at this stuff, you had been teaching  
2 your students about some of the strength and weaknesses  
3 of that body of data, hadn't you?

4 A. Right. So I teach methods, applied methods in  
5 epidemiology, and it's always good to have an example.

6 I like to use examples that I know a lot  
7 about, and the Agricultural Health Study is one I know a  
8 lot about because I was sitting on the advisory panel.  
9 So I use that study in my class teaching, and I've used  
10 it for decades.

11 Q. And you mentioned at the beginning of our  
12 qualification discussion, the International Association  
13 of Environmental Epidemiologists?

14 A. Correct.

15 Q. Tell us a little more about that organization.

16 A. So this is an organization that was founded in  
17 the late '80s. And it was -- it actually started in  
18 California, but it was meant to be an international  
19 society and very quickly became very international. So  
20 it is now covering every continent.

21 And it is a society of the professionals who  
22 do the kind of work I do, assessing environmental and  
23 occupational hazards worldwide. A large part of it is  
24 air pollution, but pesticides are another large part of  
25 what we professionally investigate.



1 Q. Do they have a president of that organization?

2 A. Yes, they do.

3 Q. Who is it?

4 A. It's currently me.

5 Q. So you're the president of the

6 International --

7 A. Yes.

8 MR. MILLER: Your Honor, at this time, I move  
9 Dr. Ritz in as an expert on the causes of non-Hodgkin's  
10 lymphoma as relates to pesticide and pesticide exposure.

11 THE COURT: Any voir dire?

12 MR. EVANS: Subject to prior motions, we'll  
13 reserve for cross, thank you.

14 MR. MILLER: All right. Exhibit 1144 has been  
15 published to the jury before.

16 BY MR. MILLER:

17 Q. We've asked you to look at some issues in this  
18 case about Roundup, haven't we?

19 A. Yes.

20 Q. And you're going to give me your opinions to a  
21 reasonable degree of medical certainty?

22 A. Yes.

23 Q. And this jury has heard a lot. But let's cut  
24 to the chase. Let's get it clear.

25 Does Roundup cause tumors in mammals?

1           **A.**    According to my readings, yes, it does.

2           **Q.**    Does Roundup cause malignant lymphoma in mice?

3           **A.**    Yes.

4           **Q.**    Does Roundup cause genetic damage in human  
5 lymphocytes?

6           **A.**    Yes.

7           **Q.**    Does Roundup cause oxidative stress in human  
8 cells?

9           **A.**    According to the research, yes, it does.

10          **Q.**    Does Roundup cause non-Hodgkin's lymphoma in  
11 humans at real world exposures?

12          **A.**    Yes. According to the epidemiologic  
13 literature, I would say yes.

14          **Q.**    Let's talk about methodology first, a little  
15 bit about what it is that epidemiologists do that makes  
16 them so unique.

17                    So what is an odds ratio?

18          **A.**    An odds ratio is what it says. A ratio. A  
19 ratio of odds.

20                    Easier -- it is trying to actually capture  
21 what we call a risk ratio, and a risk ratio is a little  
22 easier to understand. It is the number of people who  
23 are exposed and get the disease over the number of  
24 people, the ratio of people, who are unexposed and get  
25 the disease. So you have a ratio of exposed and disease

1 over unexposed and disease.

2 So you can see that if this ratio is above 1,  
3 then the exposed have more disease than the unexposed.  
4 That's as easy as that.

5 If the ratio is below 1, then it means the  
6 exposed actually have less than you would expect,  
7 because the unexposed have more cancers.

8 Q. What does it mean, adjusted findings versus  
9 unadjusted findings?

10 A. That is a concept that relates to what we call  
11 confounding or confounders.

12 So when we try to find out whether one factor  
13 causes a disease, we cannot apply a pesticide to a human  
14 population and wait 15 years and see what happens.  
15 That's unethical and unpractical.

16 Q. So if somebody wanted to do a test now and  
17 give 1,000 people -- say these thousand people will be  
18 exposed to Roundup for the next three years, take this  
19 1,000 people and not expose them to Roundup, and see who  
20 got the most non-Hodgkin's lymphoma.

21 Would that be ethical?

22 A. No.

23 Q. Why not?

24 A. I mean, if you are suspecting or knowing, as  
25 we now do after many years of epidemiologic studies,

1 that there's harm involved, and we're expecting NHL to  
2 happen, that's highly unethical to expose human subjects  
3 in that way.

4 So that would be a controlled experiment in  
5 humans. We only do this by taking things away. Like  
6 putting water filters in people's homes to clean the  
7 water rather than putting toxins in, right?

8 So we would not go and put toxins on anyone.

9 Q. You can't paint the playground monkey bars  
10 with lead at one park and not with lead in the other,  
11 and see what happens to these children.

12 That would just be unethical?

13 A. It even would be unethical not to remove the  
14 leaded bars now because we know what happens, right.

15 Q. So before we get to the actual studies upon  
16 what your opinions are based, I want to look at  
17 Exhibit 1093.

18 MR. MILLER: It's been published before.

19 MR. WISNER: It actually hasn't.

20 MR. EVANS: No objection.

21 MR. MILLER: Exhibit 1093.

22 Can you blow up the top half of that. Thank  
23 you.

24 MR. EVANS: Your Honor, hold on just a second.

25 Can you take that down.

1                   **MR. MILLER:** Yes. It's down.

2                   **MR. EVANS:** I do object to this, Your Honor.  
3 It's outside the scope of what this witness has talked  
4 about before. It's not in any of her reports or prior  
5 testimony.

6                   **MR. MILLER:** That's simply not true.

7                   We can pull out her depositions and pull out  
8 her report, Your Honor. But I don't know if the Court  
9 wants us to do it standing right here.

10                  **THE COURT:** Sidebar.

11                  (Sidebar discussion not reported.)

12                  **BY MR. MILLER:**

13                  **Q.** We're going to talk to you about this, but not  
14 publish it.

15                  Do you agree with the State of California when  
16 they've listed glyphosate as a known cause of cancer?

17                  **A.** Yes.

18                  **Q.** And who are the men and women scientists in  
19 the State of California that make that decision?

20                  **A.** It's an office called OEHHA, Office of  
21 Environmental Health Hazard Assessment.

22                  They also help put all the documents together  
23 that we review on the Air Toxics Board. So I know that  
24 office quite well.

25                  **Q.** Are you aware of any of the men and women

1 scientists in California who have not agreed with the  
2 positions stated by the great State of California that  
3 Roundup is a known cause of non-Hodgkin's lymphoma?

4 **MR. EVANS:** Objection. Your Honor. Hearsay.

5 **THE COURT:** Sustained.

6 **BY MR. MILLER:**

7 Q. Let's talk about how you reached the opinion  
8 that Roundup causes non-Hodgkin's lymphoma. And if you  
9 go to your book --

10 **MR. MILLER:** And with the Court's permission,  
11 we're going to publish Exhibit 0031, the first Hardell  
12 study.

13 No objection to Exhibit 0031. All right. We  
14 can blow up the top third of that, please.

15 **BY MR. MILLER:**

16 Q. Now, this is -- we've talked about  
17 peer-reviewed studies, right?

18 A. Correct.

19 Q. This is a peer-reviewed study?

20 A. Yes.

21 Q. And it's in the American Cancer Society  
22 Journal, and it's published in 1999?

23 A. Yes.

24 Q. Was this the first study that dealt with the  
25 issue of Roundup and non-Hodgkin's lymphoma?

1           **A.**    No, it wasn't the first.  But there were  
2 several American studies prior to this.

3           **Q.**    And what is the significance of this study of  
4 Dr. Hardell and Dr. Eriksson?

5           **A.**    This is the first study not on the American  
6 continent, and it's based on a Swedish database that we  
7 all consider, as epidemiologists, to be very solid.  The  
8 Swedes, the Norwegians, the Danish have medical records  
9 you can actually rely on for these studies.

10          **Q.**    And does this study help inform you about  
11 whether this is an association between Roundup and  
12 non-Hodgkin's lymphoma?

13          **A.**    It is part of my assessment, yes.

14          **Q.**    And this is a case-control study?

15          **A.**    Yes.

16          **Q.**    Okay.  So tell the jury, if you could, what a  
17 case-control study is.

18          **A.**    A case is a case of cancer; in this case, a  
19 case of non-Hodgkin's lymphoma.  And so what we're  
20 trying to do is, we are assessing a case -- first of  
21 all, we make sure it's a case, it's really non-Hodgkin's  
22 lymphoma.  So this is a cancer registry, but they also  
23 go back to the medical records and pathology to make  
24 sure it is non-Hodgkin's lymphoma and not something  
25 else.

1           And then what you're doing is, you're going to  
2 these other registries that the Scandinavian countries  
3 have and you pull a control.

4           So a control is anybody else who is alive and  
5 living in the same district or department, whatever they  
6 call it here, who has maybe a similar age, the same sex,  
7 and some other characteristics. That's what we call,  
8 sometimes, matching.

9           And then what we do is, we try to interview  
10 all of these people. So we interview the cases about  
11 their lifestyle factors, their occupations, whether they  
12 applied pesticides, how they applied them, when they  
13 applied them.

14           And the controls serve as -- I told you, what  
15 is the rate of disease among the exposed over what is  
16 the rate among the unexposed, right?

17           So the control, actually we can turn that  
18 around and say, what is the rate of disease -- yeah, I  
19 said it -- in the exposed versus unexposed?

20           So we can actually, then, the controls, what  
21 they're doing is giving us the exposure rate among those  
22 who were not diseased.

23           So if the cases were exposed in the same way  
24 as the controls, my ratio would become 1, right?  
25 Because we would see exactly the same number of cancers



1 among the exposed and the unexposed.

2 So the controls are really giving us the other  
3 side of the coin, which is: What's the disease rate if  
4 you're not -- under -- what's the exposure rate? And  
5 then, is it the same in the controls, those who didn't  
6 have the disease, as it is in the cases. Right?

7 So it's just giving us that comparison group  
8 that we need. Because otherwise, we just have cases,  
9 and we know what the exposures were. They're not all  
10 100 percent exposed, right? A certain percent is  
11 exposed, another percent has NHL for other reasons.

12 But we use the controls to compare and say,  
13 well, if there is more exposure among the cases than  
14 among the controls -- if it's double, for example --  
15 then we have a doubling of risk.

16 Q. Let's look at the last page of this first  
17 Hardell study, 1999. I want to ask you about the  
18 paragraph, "Other much-used pesticides." I want to read  
19 this to you and ask you what we should learn or take  
20 away from this:

21 "Other much-used pesticides -- that is,  
22 glyphosate -- also might be of concern. In  
23 fact, in this study, four cases and three  
24 controls were exposed to this herbicide. Odds  
25 ratio 2.3, confidence interval .4 to 13."

1                   Now, tell us what all that means, so we know.

2           **A.**    So this odds ratio gives us the full increase  
3           in risk, 2.3-fold increase in risk when you are exposed  
4           to glyphosate to have non-Hodgkin's.

5                   However, this is based on four cases and three  
6           controls who were exposed to glyphosate. What that  
7           tells you is, it correlates to what we call the  
8           95 percent confidence interval. And you probably want  
9           to look at that slide.

10                   And that confidence interval is very wide.  
11           It's .4 to 13. And I told you what this ratio does. A  
12           1 means there's nothing, less than 1 means it's  
13           protective, more than 1 means there's an increase in  
14           risk.

15                   So this says it may be protective or it could  
16           increase my risk 13-fold. The central estimate is 2.3.  
17           So I know very little, except that my best guess is 2.3.  
18           But this data is not sufficient.

19           **Q.**    Okay. If we can switch to Exhibit 0109,  
20           published by agreement.

21                   Tell us what this means in the context of what  
22           we were just discussing, the statistical significance,  
23           confidence interval.

24           **A.**    You see the 1.5. It's not a 2.3, it's a 1.5.  
25           That's the central estimate, the dot.

1           That's the estimate that, if you're just  
2 comparing the risk in the exposed versus the risk in the  
3 unexposed, that's what you get. The 1.5 means it's  
4 greater than 1. So 1.5 is 50 percent increase in risk,  
5 right? Or 1.5-fold increase in risk, sometimes we say  
6 it that way.

7           However, you can see that sometimes we have  
8 these brackets. And that's the confidence interval. I  
9 don't have enough data to say this is statistically  
10 significant. Why? Because the .9 is below 1.

11           So, if anything is below 1 in this confidence  
12 interval, it means it's not statistically significant  
13 according to the p-value that they state, which is  
14 usually .05.

15           On the other hand, you see that the upper  
16 limit of the confidence interval is 5. So what we know  
17 here is, if we repeat this experiment, this study,  
18 multiple times, then we could -- the true effect could  
19 be as low as .9, so a 10 percent protection, or as high  
20 as a 5-fold risk increase. But from this data, I can't  
21 say.

22           **Q.** Great time to ask you about the area under the  
23 curve. Let's introduce that concept.

24           **A.** This is saying what I said before. It  
25 explains these confidence values to you.

1                   **MR. EVANS:** Objection.

2                   **THE COURT:** Why don't you hold on and give me  
3 a clear objection.

4                   **MR. EVANS:** Yes. We -- if you could just show  
5 it to me and tell me what exhibit it is before it is put  
6 on the screen, that would be great.

7                   **MR. MILLER:** Yes, fine. Of course.

8 **BY MR. MILLER:**

9                   **Q.** Let's talk about it.

10                  **A.** Okay. So here we have the same picture, but  
11 now we have this curve above it. And all it tells you  
12 here is the 95 percent goes from .9 to 5-fold.

13                   And then you see these little tails in the end  
14 of 2.5 percent. That's the missing -- when you add them  
15 up, you get 5 percent. So 95 percent plus 5 percent is  
16 100 percent, right?

17                   So all of your data -- all of your estimates  
18 that you expect to be estimating should be under that  
19 curve. So if anything is lower than .9, it would be in  
20 that lower end of the 2.5, and anything that is above  
21 the 5 would be in that upper tail, right?

22                   So all this tells you is -- this is an image  
23 of what a 95 percent confidence interval conveys to you.  
24 It is that the mass of the data supports that the actual  
25 estimate is somewhere between .9 and 5, but most likely

1 around 50 percent increase.

2 You can say, I throw all of this data out  
3 because that lower tail goes below 1. I teach my  
4 students not to do that. Because we can -- we can only  
5 wait so long before we make up our mind, and statistical  
6 significance testing is actually not state of the art  
7 anymore.

8 We want to look at the data in the way I'm  
9 showing you. We want to look at the data in its  
10 completeness. And the lower confidence interval below 1  
11 doesn't mean I throw all of this data out; it just means  
12 my study was slightly too small to say it's  
13 statistically significant.

14 Q. And applying these epidemiological principles  
15 in the real word setting of the Hardell study so that  
16 you've got a -- if you go back to page 7, and blow up  
17 that same paragraph.

18 We see that we have a 2.3 odds ratio. That is  
19 not statistically significant, right?

20 A. Correct.

21 Q. Do we throw that data out or keep that data  
22 and continue to study?

23 A. Since this is one of the early studies, it's a  
24 warning flag that I definitely take seriously. Even so,  
25 I cannot say anything with statistical significance

1 about the relationship. But I will put it in context of  
2 everything else I know, and other studies.

3 Q. And do you teach your students about the  
4 tyranny of statistical significance?

5 A. Yes, that is part of my class.

6 Q. What does that mean?

7 A. So medical students who don't like statistics  
8 very much need tools to make a quick decision. One of  
9 the tools is significance testing. However, that comes  
10 more out of the philosophy of industrial testing of  
11 lightbulbs. And, you know, we can test lightbulbs many,  
12 many times, and it doesn't hurt anyone.

13 When we do these studies in humans, we throw a  
14 lot of data out if that's our only tool. So the tyranny  
15 of statistical testing is that people say a study like  
16 Hardell should not be looked at because it's not  
17 statistically significant.

18 I agree that this is not the evidence to say  
19 glyphosate causes NHL. But it is one piece of the  
20 puzzle that I need to put in with all the other pieces  
21 that I have.

22 MR. MILLER: Permission to show Exhibit 0121?

23 MR. EVANS: No objection.

24 BY MR. MILLER:

25 Q. Tell us what this --

1           A.    So this is a study published by colleagues in  
2 the European Journal of Epidemiology that actually  
3 addresses that unknowing tyranny of statistical  
4 significance testing in biomedical research. So this  
5 shortcut is often used to dismiss studies.

6           And I teach my students that it's a luxury we  
7 really don't have, okay. We should not discard data,  
8 because every data point we collect is blood, sweat, and  
9 tears of us and our patients.

10          So if you just look at the -- can I stand up  
11 and show it?

12           **THE COURT:** Sure.

13           **THE WITNESS:** So just look at this. Ignore  
14 this. Just look at this side, okay?

15          So here you have what we looked at before. We  
16 have here an incident rate ratio, it's the same as an  
17 odds ratio, of 2.0. This now tells you that it's a  
18 2-fold risk for whatever that agent was. And you see  
19 the 95 percent confidence interval, it's .9 to 4.2.

20          So this tells you -- it goes below 1, so it's  
21 not statistically significant at the .05 level that they  
22 used. But the upper level goes to 4.2. So it could be  
23 as much as a 4-fold risk increase.

24          This data alone, not statistically  
25 significant, wide confidence interval. I look at it and

1 say it's a hint; there could be a 2-fold increase, but I  
2 need more data. I don't draw a conclusion yet.

3 But now let's see what happens when we go to  
4 the next graph. Here is my data, my original data. And  
5 now I look -- I tell my students, go to the literature,  
6 find out what other people did. You're not the only  
7 one, probably, who did this, right? And then list all  
8 of these results from previous studies, and this is what  
9 you get.

10 So somebody saw a 2.5-fold, somebody saw a  
11 3-fold, and somewhere between 2 and 3, all of these  
12 point estimates. But you see that the whiskers are  
13 different widths. What these whiskers can weigh is how  
14 large the study was or how small the variance.

15 So this study has a large variance, and it  
16 goes below 1. This study has a large variance, but it  
17 is all above 1. But what you can see is the pattern;  
18 all of the central estimates are above 1.

19 And if you would take all of these studies  
20 together and compare it with this one, this fits very  
21 well into your prior knowledge. If you summarize across  
22 all of this data, you probably get smaller because now  
23 you have a lot more data, smaller confidence intervals,  
24 and it will settle somewhere around 2. That's my  
25 estimate, right?



1           So if you don't know this, you say, I don't  
2 know; we need more data. If you know this, you can put  
3 this study now in the context and actually be much more  
4 confident and say, this study shows what previous  
5 studies have shown, which is a 2-fold risk increase.  
6 And it's completely consistent with previous literature.

7           I would not dare to do this without prior  
8 studies or other information. But in the context of  
9 what we already know, we can do this.

10 **BY MR. MILLER:**

11           **Q.** Stay where you are. I want to ask you, we've  
12 heard the phrase "forest plot."

13                   Is this a forest plot?

14           **A.** Yes. We would call that a forest plot.

15           **Q.** And the vertical axis at 1, anything to the  
16 right of 1 there would be evidence of cause of whatever  
17 that relationship is?

18           **A.** Yes. This is a positive association.  
19 Above 1, the exposed have a higher risk of disease than  
20 the unexposed.

21           **Q.** And anything below 1 would --

22           **A.** Would be preventive.

23           **Q.** Yes. So, and whatever -- this example,  
24 whatever that agent is, for whatever that condition, all  
25 of the central estimates are on the right side of 1?

1           A.    Correct.

2           Q.    What's the significance of that in  
3 epidemiology?

4           A.    Well, we call it consistent results across  
5 studies. I'm sure that these are all very different  
6 types of studies and various researchers, various time  
7 periods, various methods of assessing the exposure. But  
8 they're all showing kind of the same tendency.

9           Q.    And cutting to the chase: At the end of this  
10 examination, we're going to talk about one of the most  
11 recent studies, Dr. Zhang from here in Berkeley, right?

12          A.    Yes.

13          Q.    And Dr. Zhang's study that came out in  
14 February of this year, from Berkeley, contains a forest  
15 plot about the association between Roundup and  
16 non-Hodgkin's lymphoma?

17          A.    Yes. And it looks about like this, yeah.

18          Q.    And we're going to get a chance to look at  
19 that. If we can go back to page 7 now.

20                I just want to finish some questions about  
21 this paragraph, and we'll move on to the next study.

22                So we know about the odds ratio, and we know  
23 it's not significantly significant in this first Hardell  
24 study.

25                These scientists go on to say:

1 "Since the time period for diagnosis in this  
2 study" -- this is 1999 -- "the use of  
3 glyphosate has increased dramatically,  
4 especially during the 1990s."

5 Is that your observation?

6 **A.** Yes.

7 **Q.** There was a dramatic increase in the use of  
8 glyphosate?

9 **A.** Yes. About mid-1990s.

10 **Q.** And to be fair, when they say "glyphosate," no  
11 one sprays pure glyphosate, they really mean a  
12 formulated product?

13 **A.** Yes, correct.

14 **Q.** Okay.

15 "It is now the most common herbicide used in  
16 Sweden," which is, of course, where this study  
17 was performed.

18 Correct?

19 **A.** Correct.

20 **Q.** Quote:

21 "Gene mutations and chromosome aberrations  
22 have been reported in mouse lymphoma cells  
23 exposed for glyphosate."

24 Do you agree with that?

25 **A.** Yes.

1 Q. Last sentence in the paragraph:

2 "For these reasons, glyphosate deserves  
3 further epidemiologic studies."

4 A. Yes.

5 Q. Now, at this time, 1999, was the data then  
6 available, if Monsanto had chose to do an  
7 epidemiological study, for them to have conducted one?

8 A. Absolutely.

9 Q. Do you see any evidence that Monsanto  
10 conducted their own epidemiological study?

11 A. Not on NHL, no.

12 **THE COURT:** Excuse me.

13 If you have any electronics or your phone  
14 turned on, please turn them off. Thank you.

15 **BY MR. MILLER:**

16 Q. So that was 1999. And then the next study,  
17 2001, two years later, in peer-reviewed literature.

18 **MR. MILLER:** Permission to publish 1568?

19 **MR. EVANS:** No objection.

20 **BY MR. MILLER:**

21 Q. Now, this is another peer-reviewed study about  
22 the relationship between non-Hodgkin's lymphoma, right?

23 A. Correct.

24 Q. With Roundup?

25 A. Uh-huh.

1 Q. And one, two, three, four, five, six, seven,  
2 eight, nine scientists authoring this article, right?

3 A. Correct.

4 Q. And Cancer Epidemiology, Biomarkers &  
5 Prevention is a peer-reviewed journal?

6 A. Yes.

7 Q. Before we get into the nuts and bolts of the  
8 study, tell the jury what a dose relationship is.

9 What does that mean?

10 A. It's simply what it says. The more dose you  
11 have, the more you expect the outcome to increase.

12 So the more exposure to pesticides, the more  
13 cancers we would see. That's what we call a  
14 dose-response relationship.

15 Q. And do these scientists in this peer-reviewed  
16 journal show a dose response for exposure to glyphosate?

17 That is to say, the more glyphosate you're  
18 exposed to, you increase the risk?

19 A. They show a very crude one, but it's an  
20 increased response.

21 Q. And how important is that to your opinions in  
22 this case, Doctor?

23 A. Very important.

24 Q. Why?

25 A. Because of the assumption that I would expect

1 more cancers if the dose is higher.

2 Q. All right. Why don't you tell these folks how  
3 this study was constructed.

4 A. So this is actually the Canadian study of  
5 pesticides. Again, different from the U.S., where we  
6 don't have a national cancer registry, the Canadians  
7 have long-term cancer registries. And in these -- and  
8 medical records.

9 So they can actually go and pull out the  
10 non-Hodgkin's lymphoma from these records, and that's  
11 what they did in these years. And then they went back  
12 and tried to interview every single case they found.

13 And again, they also have registries -- other  
14 types of registries of their citizens. So they can go  
15 to those registries and then find the control subjects,  
16 the subjects that never had an NHL, and then they ask  
17 exactly the same questions they ask the cases of the  
18 controls to see whether there's a difference in  
19 exposures.

20 MR. MILLER: And let's go, if we could, to  
21 page 7, please, of this exhibit. Table 8. Blow up  
22 Table 8.

23 And these seven scientists in this  
24 peer-reviewed journal -- if we can highlight the  
25 glyphosate section. Go all the way across that line, if

1 you would.

2 **BY MR. MILLER:**

3 Q. Explain to us what we're reading here and what  
4 is the significance.

5 A. Here, we actually have a table where what the  
6 cases and controls reported is shown.

7 And you can see that we have a line called  
8 "Unexposed," and then a line more than 0 and less than  
9 or equal to 2. So these are people who use glyphosate  
10 once or twice a year. Very low dose, right?

11 And then the next line is more than 2. So  
12 these are the people who used glyphosate more than two  
13 days per year.

14 And you can see that we have 23 exposed --  
15 23 non-Hodgkin's lymphoma cases who said they used  
16 glyphosate for more than two days per year. And that's  
17 about 4.5 percent among the cases.

18 And then when we look at the controls -- and I  
19 tried to tell you before that we use the controls to see  
20 how much exposure they had. Because if they're exposed,  
21 and they're controls, they're not sick, and it's exactly  
22 the same, then our ratio is 1, right?

23 But you can see here that among our controls,  
24 we had 36 exposed, which relates to 2.4 percent.

25 And if you want to cheat, you just use the

1 percent 4.5 divided by 2.4. But that's too simple; we  
2 do it a little more careful because we adjust for age  
3 and sex and other things. Then you get the odds ratio  
4 of 2.12.

5 So in this study, if you use for more than two  
6 days per year, then your risk of having non-Hodgkin's  
7 lymphoma is 2.1-fold increase.

8 And you can see that confidence interval is  
9 1.2 to 3.73, meaning it's on the right side of the 1, so  
10 it's statistically significant, right? And it is a wide  
11 confidence interval because we only have 23 exposed  
12 cases and 36 exposed controls.

13 But clearly it's showing that if you have more  
14 than two days of exposure per year, and you report that  
15 in this Canadian study, your risk is more than 2-fold  
16 increase.

17 **MR. MILLER:** I would like to publish  
18 Exhibit 0118.

19 **MR. EVANS:** No objection.

20 **MR. MILLER:** Your Honor, I have a blowup.

21 With the Court's permission, I'm going to lean  
22 it up here.

23 **THE COURT:** Okay.

24 **MR. MILLER:** This is a blowup of that exhibit.  
25 All right. Here we go.



1       **BY MR. MILLER:**

2           **Q.**    What we've done here is blow up a couple of --  
3           well, a lot of studies you're going to talk about here  
4           today. We've already gone by Hardell, and that's why I  
5           wanted to put it up now.

6                    Looking at Hardell, we talked about a not  
7           statistically significant, but an increased odds ratio.

8                    And it was 2.3, right?

9           **A.**    Correct.

10          **Q.**    Which is a doubling of the risk. Okay. So  
11          that would be 2.3. Not statistically significant.

12          **A.**    But I prefer the confidence interval.

13          **Q.**    Very wide one. Absolutely. I feel like I'm  
14          in your class now.

15                    And it's .4 to 13. Okay.

16          **A.**    Yeah.

17          **Q.**    Absolutely.

18                    Is that right?

19          **A.**    Yes.

20          **Q.**    Okay. Now, we've gone to the second study in  
21          our journey here, and that's the McDuffie study. And we  
22          were looking at Table 8.

23                    I want to make sure we get it right. For  
24          greater than two days use, we have 2.12?

25          **A.**    Correct. And it's 1.2 to 3.7.

1 Q. 1.2 to 3.7.

2 A. Uh-huh.

3 Q. Statistically significant?

4 A. Yes.

5 Q. Doubling the risk. All right.

6 Other things I wanted to ask you about this  
7 study was, it included home and garden users.

8 Is that correct?

9 A. Yes. But you can actually see that occasional  
10 use for less than two days did not increase the risk.

11 The occasional users, more than 0 or equal  
12 to 2, so that one or two per day, you see no increase in  
13 risk.

14 Q. So the good news is, according to the study,  
15 if you use it one day a year, even two days a year, you  
16 should not be at increased risk for non-Hodgkin's  
17 lymphoma?

18 A. According to this study, yes.

19 Q. And according to this study, if you use it  
20 greater than two days a year, you're at double the risk  
21 of non-Hodgkin's lymphoma?

22 A. Yes.

23 Q. Statistically significant.

24 And if we could look at page 6, there's a note  
25 there I wanted to ask you about. Table 7, if you blow

1 up that note, right there on the right side there.

2 What does that mean about --

3 **A.** So here, they say something about what they  
4 call a multivariate model.

5 So we can just look one by one at every  
6 variable we're interested in, whether it causes NHL or  
7 not in my study. Or we can put all these variables  
8 together in the same model.

9 And what they've been doing here -- because  
10 you run out of numbers very quickly when you throw  
11 everything into your model, and then your model doesn't  
12 work anymore.

13 What they did is, they looked at a number of  
14 pesticides individually to see whether they made a  
15 difference when they put them in the model, and then did  
16 not consider those anymore for variables that should be  
17 included if they made no difference.

18 Here, they are saying that these individual  
19 pesticides -- carbaryl, lindane, DDT, malathion, and  
20 captan fungicides -- were excluded from the multivariate  
21 model because they were not contributing to the risk of  
22 NHL.

23 **Q.** So if they don't contribute to the risk of  
24 non-Hodgkin's lymphoma, the criticism that Monsanto  
25 levels that they should have been adjusted for, where

1 does that go?

2           **A.** This is a typical beginner's mistake in my  
3 classes, as well. That when you say, well, it's always  
4 better to try everything and see whether your risk  
5 factor survives. That's not how we do -- that's maybe a  
6 statistician who doesn't know anything about the field  
7 who says, well, okay, let's see what the numbers tell  
8 me. The numbers tell you all sorts of things. You need  
9 to know something about what you're studying.

10           What we teach our students is -- and this is  
11 state of the science epidemiology. In order for a  
12 variable to have to adjust for -- first of all, it has  
13 to be a risk factor for the outcome. If this factor is  
14 not a risk factor for the outcome, it should not bias my  
15 results. Only things that actually cause the disease  
16 can be confounders.

17           So they have -- the first rule is: Is the  
18 risk factor responsible for the outcome? If it's not, I  
19 don't have to consider it a confounder.

20           The second question is: Is that risk factor  
21 associated with my exposure of interest? If both are  
22 correct, then, yes, I have a confounder; I better adjust  
23 for it, I have to put it in my model.

24           However, if I can exclude that something is  
25 causing NHL, I don't have to consider it anymore.

1           **Q.**   Monsanto is going to look at this study and  
2 say that it proves that first-degree relatives with  
3 cancer have an increased risk of getting non-Hodgkin's  
4 lymphoma.

5                   Is that the finding of the study or an  
6 incidental observation?

7           **A.**   At this point, I would say that's an  
8 incidental observation because it wasn't what they were  
9 investigating. They're just showing all of the  
10 associations that they found in this study.

11           **Q.**   And by doing that, these scientists mixed up  
12 hemopoietic cancers with general cancers?

13           **A.**   Yeah. This is any cancer.

14           **Q.**   Right. And tell the folks what a hemopoietic  
15 cancer is.

16           **A.**   So that's a blood-related -- a blood system  
17 cancer.

18           **Q.**   Is there any debate in the science that if  
19 your relative has hemopoietic cancer, if your relative  
20 has a non-Hodgkin's lymphoma or a Hodgkin's lymphoma or  
21 melanoma, that you are at increased risk?

22           **A.**   Yes. You probably would want to check for  
23 that, but not for any cancer.

24           **Q.**   Let's continue our journey. We've just gone  
25 from 2001. We go back now to 2002 and Dr. Hardell,

1       again.

2                   Tell us what he's doing now. He has another  
3       scientist join him.

4                   **MR. MILLER:** If we can move to publish 1575?

5                   **MR. EVANS:** No objection.

6       **BY MR. MILLER:**

7                   **Q.** So what do we have here?

8                   **A.** This is the second publication by Hardell and  
9       Eriksson, and also somebody by the name Nordstrom.

10                   And this is now a pooled analysis of two  
11       Swedish case-control studies. So this is the original  
12       study plus a new study.

13                   **Q.** And I think it's time for us to learn, what is  
14       a pooled analysis?

15                   **A.** That is when we're putting data together from  
16       different studies and analyzing them together.

17                   **Q.** So he's taken his data from his 1999 study,  
18       got some new data, and come up with a larger --

19                   **A.** Sample size.

20                   **Q.** What do we mean by "power of the study"?

21                   **A.** This is a statistical concept. It tells you  
22       whether or not I expect something to reach statistical  
23       significance.

24                   So the more cases I have, the more controls I  
25       have; and the more exposures I have, the more powerful

1 the study is.

2 If I don't have enough cases, not enough cases  
3 are exposed, then I'm having a really hard time making  
4 any conclusions.

5 Q. This is published in May of 2011.

6 Any indication that after this was published  
7 in the peer-reviewed literature, that Monsanto began its  
8 own epidemiological studies with these issues?

9 A. Not that I know. 2002.

10 Q. I'm sorry, 2002. That's when I downloaded it.  
11 Oh, okay. Let's go to page 2.

12 I want to ask you about the first sentence in  
13 the first paragraph:

14 "Non-Hodgkin's lymphoma is one of the  
15 malignant diseases with the most rapidly  
16 increasing incidence in the western world."  
17 This is again -- thank you, Counsel, 2002.  
18 What's going on?

19 A. Yes. This has actually been going on for  
20 quite a while, for several decades, that non-Hodgkin's  
21 lymphoma was increasing worldwide. Which is unusual,  
22 because most cancers haven't been showing that same  
23 pattern.

24 Q. Well, good.

25 Let's go, if we could, to page 6. And look at

1 Table 7, please. Now, this has got some answers and  
2 some questions for us.

3 It looks like they're looking at four  
4 pesticides -- three pesticides and then a category of  
5 other pesticides, correct?

6 A. Yes.

7 Q. Glyphosate, our subject of interest, if you  
8 can highlight that.

9 They have a univariate and multivariate  
10 analysis?

11 A. Yeah, they call it univariate. Even so, it's  
12 not truly univariate because I think they're adjusting  
13 for sex, race, and province. But they only put one  
14 pesticide at a time in the model.

15 So in this case, they would only put  
16 glyphosate in the model and ignore the other pesticides.

17 Q. So we have an odds ratio of 3.04?

18 A. Correct.

19 Q. With a statistically significant confidence  
20 level?

21 A. Yes. 1.08. And again, it's over 1, and it  
22 goes up to 8.5.

23 Q. And that would be equivalent to a tripling of  
24 the risk?

25 A. Correct.



1 Q. And then there's also a multivariate analysis?

2 A. Correct.

3 Q. And that's 1.85?

4 A. Right.

5 Q. But not statistically significant?

6 A. Right. Because the lower bound goes below 1,  
7 but the upper point is 6.2, so it could be as much as  
8 6-fold.

9 Q. And the authors tell us, if you go down and  
10 highlight the last sentence in that paragraph:

11 "The results in the multivariate analysis."

12 Do you see that with me? They say:

13 "The results of the multivariate analysis must  
14 be interpreted" with what, Doctor?

15 A. With caution.

16 Q. Do you agree with that?

17 A. Yes.

18 Q. So should I put both of those odds ratios on  
19 the board, or one? You tell me.

20 A. Well, we like to see both.

21 Q. Okay.

22 A. But when we interpret, we are not just going  
23 with one. Because as I told you, if I put another  
24 variable into this model, and that other variable is a  
25 pesticide, and for some reason, it's a pesticide that

1 doesn't cause NHL, but every farmer who is now using  
2 glyphosate used that pesticide before, then that  
3 pesticide becomes the perfect indicator for glyphosate  
4 use.

5 It has nothing to do with NHL, but it  
6 indicates that you later use glyphosate.

7 So what I'm doing by putting them both in the  
8 model is something called split the variance.

9 So each of these, one is a perfect indicator  
10 for the other. Both explain half, and that's what's  
11 happening in that multivariate model. It's split from  
12 one to two.

13 But we have to decide whether both pesticides  
14 put in the same model truly just contribute 80 percent  
15 of risk, or one is just a perfect indicator for people  
16 having also used glyphosate?

17 Q. All right. So I'll put down both of them from  
18 the Hardell two, the 3.04, and I'll say univariate.

19 A. Uh-huh.

20 Q. And a confidence level of 1.08 to 8.52.

21 A. Right.

22 Q. And then I'll put down the multivariate  
23 analysis 1.85 with a .55 to 6.2. All right.

24 If we go to page 6 on the study, I want to ask  
25 you if you agree with the authors here, bottom left:

1 "Glyphosate is now mostly used in Sweden. In  
2 this study, exposure to glyphosate was a risk  
3 factor for non-Hodgkin's lymphoma."

4 Do you agree with that?

5 **A.** Yes.

6 **Q.** And this was in 2001?

7 **A.** '12.

8 **Q.** I'm having trouble with that, aren't I? All  
9 right. Let's go on.

10 2003, we see another study on the issue.

11 **MR. MILLER:** Permission to publish,  
12 Your Honor, 1588?

13 **THE COURT:** I think it's a good time to take  
14 our morning break.

15 Ladies and gentlemen, we're going to take a  
16 15-minute break, and we're going to resume at 20 of the  
17 hour.

18 Same admonition. Please don't talk about  
19 anything you've heard, please don't talk about any of  
20 the evidence, and we'll resume in 15 minutes.

21 (Recess taken at 10:24 a.m.)

22 (Proceedings resumed at 10:41 a.m.)

23 (The following proceedings were heard in the  
24 presence of the jury:)

25 **THE COURT:** You may resume.

1                   **MR. MILLER:** Thank you, Your Honor.

2                   **BY MR. MILLER:**

3                   **Q.** All right. Doctor, did you get a little  
4 break?

5                   **A.** I'm fine.

6                   **Q.** Before our break, we had talked about how it  
7 was important to consider in your overall evaluation,  
8 not only animal and cell data, but even not significant  
9 epidemiological data.

10                   Do you remember that?

11                   **A.** Absolutely.

12                   **Q.** I think I failed to follow up.

13                   How important is it if you do get a  
14 statistically significant finding, say, as McDuffie did,  
15 of doubling the risk for non-Hodgkin's lymphoma?

16                   **A.** Well, this is the lazy man's way of looking at  
17 data, and I would not suggest it.

18                   **Q.** Okay.

19                   **A.** But it makes doctors feel good when they can  
20 call something statistically significant.

21                   I really would look at the study, at the  
22 possible biases, the size of the effect, more than  
23 twofold, and the confidence interval that tells us  
24 something about how informative the study was. That  
25 it's statistically significant is an added bonus, but

1 it's not what I would be looking for.

2 Q. So the end-all, be-all, you would need to use  
3 your education, experience and calculate it with  
4 everything else that you're looking at?

5 A. Correct.

6 Q. Okay. We were looking at the De Roos study  
7 before our break. And let's do that again.

8 MR. MILLER: Permission to publish 1588?

9 MR. EVANS: No objection.

10 MR. MILLER: Thank you.

11 BY MR. MILLER:

12 Q. This was the De Roos study. That was, I  
13 believe, in 2003.

14 And we have one, two, three, four, five, six,  
15 seven scientists, right?

16 A. Yes.

17 Q. Including Aaron Blair; we've heard a lot about  
18 Dr. Blair?

19 A. Yes.

20 Q. And he went on to be the head of the IARC  
21 committee that concluded Roundup was a probable human  
22 carcinogen.

23 Are you aware of that?

24 A. Yes.

25 Q. And it also includes Dennis Weisenburger?

1           A.    Yes.

2           Q.    He's our witness tomorrow, so we'll talk about  
3 this.  But since he's one of the authors, we'll talk to  
4 him about it, too.

5                    And you understand that you haven't looked at  
6 the Pilliods' medical records, right?

7           A.    No.

8           Q.    And Dr. Weisenburger has?

9           A.    Yes.

10          Q.    So we'll save that for him.

11                    Tell us what the De Roos/Weisenburger/Blair  
12 study looks at and what its findings are.

13          A.    Right.  So at the time this study was  
14 published -- and it's, again, what we call a pooled  
15 study, so it actually pools data from several other  
16 studies.

17                    And these other studies were all initiated by  
18 a group of National Cancer Institute investigators,  
19 including Dr. Blair, in the 1980s when it occurred to  
20 them that non-Hodgkin's lymphoma seemed to be at an  
21 increasing trend.  But also found more among farmers,  
22 and they were starting to get really worried about these  
23 occupational exposures in farmers.

24                    So the National Cancer Institute, which  
25 Dr. Blair was an internal scientist for, he was paid --

1 his job was to be studying cancer for the National  
2 Cancer Institute, and he was given money to conduct  
3 studies. And so they initiated three studies. And the  
4 data from these three studies now pooled into this one  
5 study.

6 Each of these studies had different states.  
7 One was Kansas, one was Minnesota, Nebraska, and Iowa.  
8 And you can already tell why, right? These are farm  
9 states. And because they are farm states, they have a  
10 lot of farmers, and they have a lot of pesticide use.  
11 So that's why they targeted these states.

12 But they also targeted them because these  
13 states already had some kind of a cancer registration  
14 system going on. So they started working with the  
15 people from the regional cancer registries to pull out  
16 all of the NHL cases and do exactly what we heard about  
17 our Swedish colleagues doing.

18 They then pulled all the cases and went to  
19 telephone records and tax records to pull out control  
20 subjects, people of similar age, sex, et cetera. And  
21 then went and interviewed them by phone to get all of  
22 the information on their use of pesticides in home,  
23 gardening, and farming.

24 Q. And I forgot to ask, I want to be clear.

25 This is a peer-reviewed paper?

1           **A.**    Absolutely, yes.

2           **Q.**    Published in the scientific journals?

3           **A.**    Yes.

4           **Q.**    Let's look now to Table 3 in the  
5 De Roos/Weisenburger/Blair paper.  And if you blow up  
6 that table.

7                        So what they're doing, they're looking at the  
8 effect estimates for the use of specific pesticides and  
9 non-Hodgkin's lymphoma incidence, and they're adjusting  
10 for the use of other pesticides, right?

11           **A.**    Yes.  That's what they do.

12           **Q.**    So every study we've looked at adjusts.  They  
13 adjust for age, for sex, some adjust for race.  They  
14 adjust.

15                        This one adjusts for all those things, and  
16 adjusts for pesticides, right?

17           **A.**    Yes.

18           **Q.**    And if we look at the whole table now -- we  
19 can blow the whole table up.

20                        Before we look at just glyphosate, the point  
21 is that there's over 45 various pesticides, herbicides  
22 that they looked at, right?

23           **A.**    Yes.  Because these were really the first  
24 studies ever to look at pesticides and NHL.  This is a  
25 2003 publication, but these other studies pooled here



1 were published earlier.

2 And they weren't sure what pesticide to look  
3 at, so they asked about 49.

4 Q. And it looks like -- you can explain -- it  
5 looks like out of the 44, only three have a doubling of  
6 the risk for non-Hodgkin's lymphoma, right?

7 A. Yes, double or more.

8 Q. And one of those is glyphosate, isn't it?

9 A. Correct.

10 Q. Let's blow up the glyphosate findings. All  
11 right. So we have glyphosate there.

12 It's a doubling of the risk under the standard  
13 logistical regression, right?

14 A. Yes.

15 Q. And then there's a new sort of computer  
16 program called hierarchal regression?

17 A. Yes.

18 Q. And that's 1.6, not quite statistically  
19 significant, right?

20 A. Right. So all of the other studies you looked  
21 at would have used something called a logistic  
22 regression.

23 It's a regression model that uses a logit term  
24 to predict the probability of the outcome, which here is  
25 NHL. And that's what we use when we have a yes/no

1 outcome. Cancer, yes/no. So that's the usual model we  
2 use.

3 And that model allows us to not only put  
4 pesticide, yes/no, amount of pesticide, into the model  
5 to predict outcome, but it allows us to put variables  
6 such as sex, age, region of the country or state, having  
7 a family history of lymphoma, et cetera. You can put  
8 all these variables into the model and see how they  
9 relate to the outcome, how they predict the outcome.

10 And an adjusted model would be one where we  
11 put a pesticide and then all these other factors that  
12 we're worried about that they are biasing: Sex, age,  
13 maybe region, and maybe other pesticides.

14 Q. So all the other studies we looked at so far,  
15 they all do logistic regression?

16 A. Correct.

17 Q. Would it be fair to say that's the standard  
18 model?

19 A. Absolutely.

20 Q. Then we have this hierarchal.

21 But should I write down both of these or one  
22 of these on our chart?

23 A. We want to probably write them both, because I  
24 like to look at all data. But I need to explain what  
25 that hierarchal means.

1 Q. So it's 2.1, statistically significant?

2 A. Right. It's a 1.1 to 4. So, again, that  
3 lower confidence interval is above 1, which now tells  
4 you it's statistically significant. And the upper one  
5 is 4, so our true effect could be anywhere from 1.1 and  
6 4.

7 But the most likely estimate is the central  
8 estimate, the 2.1, so a 2-fold.

9 Q. A 2-fold risk for glyphosate, only one out of  
10 44 pesticides studied, right?

11 A. Yes.

12 Q. And the hierarchal, that's 1.6; about a  
13 60 percent increased risk?

14 A. Yes.

15 Q. Not statistically significant, it's .9 to 2.8.  
16 We want to look at all of the data, right?

17 A. Right.

18 Q. Explain to us, what is this new hierarchical  
19 regression.

20 A. So this, in 2003, I used hierarchical  
21 regression myself because it was a new kind of method  
22 that was proposed by my colleague, Dr. Sander Greenland,  
23 who is an epidemiologist. He wrote the big book on  
24 epidemiology methods.

25 And he said that we're always looking at one

1 study by itself, and we're never integrating prior  
2 knowledge, meaning what other studies have shown or what  
3 we could be maybe teasing out of what we get from other  
4 pesticides being related to NHL in this case.

5           And so hierarchal regression does something  
6 called weighting. It's like when I give a mid-term exam  
7 and a final exam. I can do different things to come up  
8 with a final grade. I can use a 50/50 -- half the  
9 points from the mid-term, half the points from the  
10 final -- and then your final grade is the weighted  
11 average of both exams. And they're weighted in the same  
12 way, right, 50/50.

13           But I can also say, by the mid-term, I only  
14 have half the material taught, and the final is a  
15 summary final; I ask my students everything, so that  
16 should weigh more. And they probably also are a little  
17 more strong in the final, so I give that a 60 percent  
18 weight, and I give the mid-term only 40 percent.

19           So they can improve. I want them to be able  
20 to improve, and that improvement to be weighted more.  
21 So I give a higher weight to the final. So if the  
22 student got a B on the mid-term and an A on the final,  
23 they have a chance to actually get an A, between a B and  
24 an A.

25           So this hierarchal regression does something

1 similar. It uses the estimate from the logistic  
2 regression, the 2.1 from glyphosate, and says, what else  
3 do we know from this data?

4 Well, we know what other pesticides do, in  
5 terms of being related or associated with the outcome of  
6 NHL.

7 If I don't know which of 49 pesticides causes  
8 NHL, and every single one has the same likelihood of  
9 causing NHL, then all the estimates for all the  
10 pesticides should look similar, right?

11 So I'm using the overall estimate for all  
12 pesticides, and we saw that most of them are null --  
13 meaning 1, no effect -- and say, most likely, my  
14 glyphosate estimate should look like that of all other  
15 pesticides.

16 And now I do a weighted average. You see what  
17 happens? It reduces the estimate of 2 towards the other  
18 estimate because I'm weighing them. I'm putting them  
19 together.

20 But that assumes that I am correct. That,  
21 really, every single pesticide or most of the pesticides  
22 in this model actually cause NHL. And that, truly,  
23 glyphosate should just behave like all these other  
24 pesticides and I correctly weighted this.

25 But there's a lot of belief that goes into

1 that, and a lot of discussion among experts about how I  
2 weight this. Do I give it 30 percent? Do I give it  
3 70 percent? 80 percent? How much do I believe  
4 glyphosate is the same or not the same?

5 Q. And in fairness to De Roos and her team, in  
6 2003, we didn't know what we know now?

7 A. Correct.

8 Q. So, by way of example, in 2003, we didn't know  
9 IARC concluded glyphosate was a probable human  
10 carcinogenic?

11 A. No. That was 2015, so she couldn't know.

12 Q. Right, of course she couldn't.

13 But had she known that then, what would have  
14 been the weighting difference in the hierarchal?

15 MR. EVANS: Objection.

16 Your Honor, objection.

17 THE COURT: Sustained.

18 BY MR. MILLER:

19 Q. Is there a mathematical formula by which any  
20 scientist would have weighted it differently?

21 Let's look at page 3, the footnote to Table 1.

22 Is that the weighting algorithm for weighting  
23 on this hierarchal regression?

24 A. Yes. That's why she published this table,  
25 because she's one of the scientists who wants to be as

1 transparent as possible. So she wanted to really show  
2 what she did when she generated these weighted  
3 estimates, so she gives two weights.

4 Q. Explain to us how this table works.

5 A. Right. So you actually see all of the  
6 different pesticides listed. And on the right side, you  
7 see a column called "Carcinogenic Probability."

8 So she's giving every pesticide a carcinogenic  
9 probability. And probabilities are from 0 to 1; 1 is  
10 100 percent; 0 is no percent.

11 So you can see that Aldrin, for example, gets  
12 a 60 percent chance for being a carcinogen. And there's  
13 one that gets 100 percent, and some get 80 percent, and  
14 some get 30 percent.

15 We want to know what she gave glyphosate.

16 Q. Let's scroll down and put it up.

17 She weighted glyphosate at?

18 A. She weighted it as low as possible, .3.

19 That's the lowest weight she gave.

20 Q. Which, in fairness to her --

21 A. Sorry, it's a .1. But it's in the lower  
22 range.

23 Q. And let's go back to the table. Very bottom.

24 So you would weight that higher if you knew  
25 that IARC declared it a probable human carcinogen per

1 this table, right?

2 A. Yes. Because she explains to you what these  
3 probability weights refer to. For example, she  
4 says .9 -- so 90 percent probability is probable human  
5 carcinogen in both assessments, which is EPA and IARC  
6 assessment.

7 And then you can say .8, probable human  
8 carcinogen in one assessment and possible human  
9 carcinogen in the other. So only had to say it's  
10 probable, the other maybe.

11 And then there's a .6 for probable human  
12 carcinogen in one and unclassified in the other.

13 Q. Knowing what we know now, what rating ought it  
14 give for glyphosate in a hierarchal regression?

15 A. At least .6 but not .3.

16 Q. Which would do what to this 1.6 number?

17 A. Increase it. Because you're weighing the  
18 actual glyphosate amount, not pulling it down to the  
19 others.

20 Q. Let's go to page 8 of the De Roos glyphosate  
21 study. I'm looking at the bottom left.

22 These scientists say:

23 "Second, the fact that there were few  
24 associations suggests that the positive  
25 results we observed are not likely to be due



1 to a systemic recall bias for pesticide  
2 exposures, or selection bias for the subgroup  
3 included in the analyses of multiple  
4 pesticides."

5 What are they telling us there, Doctor?

6 **A.** So this is what we typically do in our  
7 discussions as epidemiologists. We consider all  
8 possible biases and give the reader an idea of what we  
9 believe a bias could or couldn't be.

10 And here, Dr. Anneclaire De Roos says, from  
11 looking at these results, there's certainly not a  
12 systematic recall bias, meaning all these people with  
13 NHL just reported having been exposed to every single  
14 pesticide.

15 We asked them for 49. And if they really  
16 thought pesticide caused it, they would have just  
17 over-reported. And they would have systematically  
18 over-reported every one. But she didn't see that  
19 happen. So she says, it's unlikely that kind of bias  
20 existed here.

21 And there's selection bias, which is a bias in  
22 case-control studies where you're not sure the control  
23 group is absolutely adequate because people may have not  
24 answered. Cases are more likely to participate in  
25 research; controls are a little more reluctant. They

1 don't have time, et cetera. So you're always worried  
2 that there's a slight bias to selection into a study.

3 For example, you could imagine that controls  
4 would say yes to a study that wants to look at  
5 pesticides because they were pesticide-exposed, and  
6 they're interested in what pesticides do.

7 You can also imagine that farmers who spray a  
8 lot of pesticides are busy and don't want to be  
9 bothered.

10 So in the control group, certain folks would  
11 select themselves out with more or less exposure, and  
12 that's what we call selection bias. And she says  
13 there's no sign here that that happened.

14 Q. That's a good thing? Meaning the results are  
15 what they think they are?

16 A. Quite solid.

17 Q. Let's move on.

18 Is there anything else we should talk about on  
19 De Roos?

20 A. I don't think so.

21 Q. This is 2003.

22 Was there any reason that Monsanto could not  
23 have done a study in 2003?

24 A. I don't see a reason.

25 Q. Okay. Now, let's go to the North American

1 Pooling Project.

2 You've heard about that?

3 **A.** Yes.

4 **Q.** Called the NAPP?

5 **A.** Yes.

6 **Q.** What is the NAPP, North American Pooling  
7 Project?

8 **A.** Every time we do these studies, we worry we  
9 don't have enough data. And pooling gives you more and  
10 more data, which also gives you more and more  
11 opportunities to look at the data in different ways.

12 So in one small study, you cannot distinguish  
13 between short-term users and long-term users because you  
14 don't have enough people to do that.

15 However, the more data you pull together, the  
16 more chances you have. You can look at short-term  
17 users, long-term users, years of use. You can split  
18 them up, look at men or women or sub types of cancer.

19 These things, you can't do when the study is  
20 too small. So we like to pool, and then we can look at  
21 the data in that way. And the NAPP study pooled  
22 everything that's in the De Roos study, so those  
23 North American studies, plus the Canadian study.

24 **Q.** And again, we have one of the authors of the  
25 NAPP study here tomorrow, Dr. Weisenburger.

1                   But we still want to talk to you about it a  
2 little bit, if it helped inform your opinion.

3                   A.    Yes, it did.

4                   Q.    All right.  So in this larger study, the NAPP  
5 study, it's been presented three times at professional  
6 meetings?

7                   A.    Correct.

8                   Q.    Does it have to be peer-reviewed at some level  
9 to be allowed to be presented at a professional meeting?

10                  A.    Absolutely.

11                  Q.    And -- all right.

12                                So you reviewed the three presentations from  
13 the three different professional meetings it's been held  
14 at?

15                  A.    Yes.

16                  Q.    We have all three here today.  If defense  
17 counsel wants to talk to you about all of them, fine.  I  
18 want to talk to you about the one that explains this  
19 best.

20                  A.    Right.

21                                **MR. MILLER:**  Permission to publish 2082?

22                                **MR. EVANS:**  No objection.

23                   **BY MR. MILLER:**

24                   Q.    Now, this is the NAPP study?

25                   A.    Yes.  That's what's called the NAPP study.

1 Q. And this was presented at a professional  
2 conference in Ontario, it looks like, in June of 2015?

3 A. Yes.

4 Q. And it's a detailed evaluation of glyphosate  
5 use and the risk of non-Hodgkin's lymphoma?

6 A. Correct. Go to page 2, if you would.

7 Q. NHL is a cancer that starts in the  
8 lymphocytes, right?

9 A. Uh-huh. Right.

10 Q. We all agree that's where it starts?

11 A. Yes.

12 Q. "Heterogeneous," what does that mean?

13 A. That means it's varied, various different  
14 types.

15 Q. Glyphosate, a broad-spectrum herbicide --

16 A. Yes.

17 Q. -- commonly known as Roundup.

18 And by the time this study was done, the most  
19 frequently used herbicide in the world?

20 A. Correct.

21 Q. And there's estimates for glyphosate use in  
22 2012.

23 Do you see that?

24 A. Yes.

25 Q. It looks like the Central Valley of California

1 uses its fair share?

2 A. Yes.

3 Q. And the corn and soybean belt in the middle of  
4 the country?

5 A. Yes. And if you know geography, you can  
6 probably see Iowa and Nebraska, where the NAPP study was  
7 done. And they're all brown, meaning there's no place  
8 where it's not used.

9 Q. And we go on to the next page.

10 And this tells these doctors -- if someone  
11 says that people aren't telling other doctors about  
12 this, that's not accurate, is it?

13 A. No.

14 Q. So this is at a medical --

15 A. Professional --

16 Q. -- seminar of some sort, where these  
17 professionals, these scientists who wrote this study are  
18 sharing with as many doctors who will attend that  
19 seminar, that Roundup is a possible carcinogenic,  
20 pursuant to the IARC evaluation, right?

21 A. Yes. That's what this says.

22 Q. And the next page, please.

23 These are the states where -- and the  
24 provinces where they pulled the data from?

25 A. Correct. So we see four states in the U.S.,

1 in the Midwest, and then the Canadian provinces.

2 Q. In an effort to save time, I won't go through  
3 every page. Let's go to page 12.

4 What is this?

5 A. So this is a table where we have the  
6 non-Hodgkin's lymphoma subtypes, and an overall estimate  
7 for all of them together.

8 And we see how many cases reported using  
9 glyphosate -- any glyphosate use, 113. And we now see  
10 an odds ratio reported of 1.22, with a confidence  
11 interval of .91 to 1.63. So it's not statistically  
12 significant, but it shows a 22 percent risk increase for  
13 all non-Hodgkin's together.

14 Q. And that's ever versus never use?

15 A. Yes. Ever or never. You could have used it  
16 for a half a day or an hour, you're included.

17 Q. And then go to page 14:

18 "Frequency. Days per year of glyphosate  
19 handling and the risk of non-Hodgkin's  
20 lymphoma."

21 Explain to us the significance of these  
22 scientific findings.

23 A. Here, you see exactly what I tried to say, why  
24 we want to pool data. Now you can split the data in  
25 many little boxes and still have information in the box.

1           If you do that with not enough data, then you  
2 have zeros everywhere. Here, we can estimate because we  
3 have a really large study.

4           So what we estimate here is, when you use  
5 glyphosate for a number of days per year, one or two,  
6 then you can see there's not much risk increase. All  
7 these odds ratios are .8, .5, .77, 1.4, 1.38 --

8           **Q.** Let me stop you, Doctor.

9           **MR. MILLER:** With the Court's permission, may  
10 the doctor be allowed to go up to the board again? I  
11 think it might be easier.

12           **THE COURT:** Yes.

13           **THE WITNESS:** What you're seeing here is that  
14 it wiggles around the 1, right? I would not pay any  
15 great attention to these estimates because they're from  
16 very low use, less than -- two or less days per year.

17           And overall, they -- some are on one side of  
18 the 1, and some are on the other side, and all of the  
19 confidence intervals are including 1, meaning they're  
20 not statistically significant, right?

21           That's fine, but we don't want to look at that  
22 alone. We actually want to look at what happens when  
23 you use glyphosate more than two days per year. So not  
24 two days, but two days per year.

25           And you can see that overall -- that's all



1 non-Hodgkin's together -- we now see a 1.98; we can  
2 round it to 2. It's a 2-fold risk increase. Our  
3 confidence interval here is 1.16 to 3.4. So, clearly,  
4 statistically significant; clearly above the 1, this  
5 lower value.

6 And now we have the luxury to actually look at  
7 subtypes, right? We have follicular lymphoma, large  
8 B-cell, small lymphocytic leukemia, and then the others.

9 **BY MR. MILLER:**

10 **Q.** Well, Doctor, we're particularly interested in  
11 diffuse large B-cell lymphomas.

12 What are the findings?

13 **A.** First of all, we see they're all above 1. But  
14 some -- again, the confidence intervals include the 1,  
15 we are not really sure.

16 But the one that really sticks out here is the  
17 2.49, so a two-and-a-half-fold risk increase with  
18 confidence intervals of 1.23 to 5, clearly statistically  
19 significant, right?

20 So for those diffuse large B-cell lymphomas,  
21 we see a two-and-a-half-fold risk increase if you use  
22 glyphosate for two or more days per year.

23 **Q.** So if someone told this jury that there was  
24 not a statistically significant finding by peer-reviewed  
25 scientists that diffuse large B-cell has a doubling

1 risk, would that be accurate?

2 A. No. We see it here.

3 Q. Which numbers should I write down on my board?

4 A. It depends on whether you want to go the  
5 overall, that's the most comparable. But if you're  
6 interested in B-cell, you want to put that one, as well.

7 Q. I'll put both.

8 A. Okay. 1.98; confidence interval, 1.16 to 3.4.  
9 And then large B-cell, 2.49; confidence  
10 interval, 1.23 to 5.04.

11 Q. Does this help inform your opinion that  
12 Roundup causes non-Hodgkin's lymphoma?

13 A. Yes. This is more data, so we're putting it  
14 together and looking at it from different angles.

15 Q. Anything else we need to talk about regarding  
16 NAPP before we move on to other case-control studies  
17 that informed your opinions that glyphosate causes  
18 non-Hodgkin's lymphoma?

19 A. I think that's it.

20 Q. Let's go to Eriksson.

21 **MR. MILLER:** Court's permission, Exhibit 1703?

22 **MR. EVANS:** No objection.

23 **THE COURT:** Okay.

24 **BY MR. MILLER:**

25 Q. I want to ask one more question about NAPP.

1                   In NAPP, they showed, obviously, dose  
2 exposure, right?

3           **A.**    Yes.  That's what -- we have three levels.  We  
4 have unexposed, fairly low exposure, occasional  
5 exposure, and then higher exposure.

6           **Q.**    So now we've had several studies where you've  
7 seen dose-response.

8                   How significant is that to you as a scientist?

9           **A.**    That's very important.

10          **Q.**    Why so?

11          **A.**    Because we are always presuming that higher  
12 exposure should be causing more cancers.

13          **Q.**    And although they don't do two days a year,  
14 four days a year, 20 days a year, should we assume that  
15 the more you're exposed, the more your risk is?

16          **A.**    Yes.

17          **Q.**    Let's go to Eriksson, 1703, yet another study  
18 on the risk of non-Hodgkin's lymphoma from exposure to  
19 pesticides, right?

20          **A.**    Correct.  Another Swedish study.

21          **Q.**    And these scientists, Dr. Eriksson,  
22 Dr. Hardell, Dr. Carlberg, and Dr. Akerman?

23          **A.**    Correct.

24          **Q.**    Published in a peer-reviewed journal,  
25 International Journal of Cancer, 2008?

1           **A.**    Yes.

2           **Q.**    Does this help inform your opinion?

3           **A.**    Yes.

4           **Q.**    Tell us about this study.  What were they  
5 looking at?  What's your finding?

6           **A.**    This is actually a study where the cases  
7 occurred later in time.  They occurred between 1999 and  
8 2002.  The cases we looked at before all occurred in the  
9 early '80s and the late '80s and the early '90s.  So  
10 this is really a different period for the cases.

11                    But they all are in Sweden, and it's very  
12 similar to the studies we looked at in Sweden before,  
13 but it's a different time period for the cases.

14           **Q.**    And did they find dose-response in this study?

15           **A.**    Yes, they did.

16           **Q.**    Let's look at some of their findings.  If you  
17 can please go to page 3, Table 2.

18                    All right.  Now, here we have exposure to  
19 various herbicides, one of them is glyphosate, right?

20           **A.**    Correct.

21           **Q.**    And if you are exposed less than ten days over  
22 a lifetime, what are their findings?  And what are their  
23 findings for greater than ten days?

24           **A.**    First of all, if you're just never, ever  
25 exposed, the finding is 2.2, and the confidence interval

1 is 1.1 to 3.7.

2 But then they were actually able to look at  
3 more or less than ten days per year and split this data,  
4 more or less, into groups. And you can see that for the  
5 people who used glyphosate, but less -- up to ten days  
6 per year -- you see a 70 percent increase. But that  
7 confidence interval does include the 1, so it's not  
8 statistically significant.

9 Q. But for greater than ten days?

10 A. You now see that -- I see what we call  
11 dose-response. It's now 2.36, or 2.4 if you want to  
12 round.

13 So you're going from a 70 percent increase to  
14 a 2.4-fold risk increase, and you also see it's  
15 statistically significant. It's 1.04 to 5.37, so  
16 clearly statistically significant at higher levels of  
17 exposure. And a dose response pattern, which I like.

18 Q. Sure, sure. That's what we're going to get to  
19 in a minute.

20 One of the Bradford Hill criteria, correct?

21 A. Correct.

22 Q. Which of those numbers should I write down  
23 here for the Eriksson study?

24 A. I would write down 1.67 -- well, we want to  
25 write all three down, I think. Then it makes it more

1 comparable.

2 2.02 for the overall, with a 1.1 to  
3 3.7 confidence interval.

4 Q. Got it.

5 A. And then for less than/equals ten days, 1.69,  
6 with a confidence interval of .7 to 4.07.

7 And then for more than ten days, 2.36, and a  
8 confidence interval of 1.04 to 5.37.

9 Q. All right. Got it.

10 A. And you can see here, the 2.20, it's 12 plus  
11 17 equals 29 exposed cases. You have a statistical  
12 significance because you have 29 over 18, cases and  
13 controls.

14 When you split that up, you're increasing the  
15 variance. You have smaller groups, less number of  
16 people exposed, and therefore you need -- you have less  
17 data, so your confidence intervals widen.

18 You see how that happens? You get the .724  
19 and the 1.04 to 5, which is much wider than the 1.1 to  
20 3.7. So you're adding in your understanding if you see  
21 a dose response.

22 Q. And fortunately, this study had enough people  
23 in it where you could actually see whether ten days or  
24 greater increased the risk?

25 A. Right. And what's different from less than

1 ten days.

2 Q. And you saw that dose or exposure response?

3 A. Right.

4 Q. Let's go to page 6, the last page of this. I  
5 want to look at some of the things the scientists had to  
6 say.

7 That first sentence, these scientists report  
8 that:

9 "Glyphosate was associated with a  
10 statistically significant odds ratio for  
11 lymphoma in our study. And the result was  
12 strengthened by a tendency to dose-response  
13 effect, as shown in Table 2."

14 So these scientists agree with you that there  
15 is a dose response?

16 A. Yes.

17 Q. Last sentence before acknowledgments:

18 "Furthermore, our earlier indication of an  
19 association between glyphosate and  
20 non-Hodgkin's lymphoma has been credibly  
21 strengthened."

22 Do you agree with that?

23 A. Yes. Because they have a lot more cases to  
24 look at that were exposed, and they were able to split  
25 it into a seeming dose response.

1 Q. And this is in 2008?

2 A. Yes.

3 Q. Okay. Now, I want to talk about a study we  
4 didn't put on our chart, but in fairness, talk about it  
5 for a minute.

6 MR. MILLER: It's 1899. Permission to  
7 publish?

8 MR. EVANS: No objection.

9 BY MR. MILLER:

10 Q. This is the Cocco study. And there's lots of  
11 scientists involved in this.

12 Tell us about the Cocco study, please.

13 A. That's a lymphoma study out of a consortium  
14 called the Epilymph. But it's not a -- so it's pooling  
15 data from lots of European studies -- six European  
16 countries, in fact.

17 However, these studies were not focused on  
18 farming communities or farmers. So most of these cases  
19 would have actually lived in urban areas.

20 And you can see what happens. Very few people  
21 here are glyphosate-exposed, because most of the cases  
22 come from urban areas.

23 Q. Let's look, if we can, at Table 4, which is on  
24 page 4, the bottom left there.

25 It's a very small study?



1           A.    Correct.

2           Q.    What does it find in regards to the risk of a  
3 B-cell lymphoma and glyphosate?

4           A.    Here, we really have few exposed cases and  
5 controls; four cases exposed and two controls exposed,  
6 but B-cell lymphoma cases.

7                   And you can see the odds ratio here is 3.1.  
8 But since we have so few exposed, and it's mostly urban  
9 cases, we have really, again, very wide confidence  
10 intervals. Our whiskers around those points are very  
11 broad. So we have a .6 to 17. Clearly includes 1, not  
12 statistically significant. But certainly an odds ratio  
13 of 3.

14          Q.    So what do you take away from that?

15                   We're not going to put it on our board because  
16 you don't want us to, right?

17          A.    No.

18          Q.    Is there anything we learn from this?

19          A.    Well, it's one extra small piece in the puzzle  
20 confirming what we have seen before.

21          Q.    Let's move on to 1746.

22                   **MR. MILLER:** Permission to publish?

23                   **MR. EVANS:** No objection.

24           **BY MR. MILLER:**

25          Q.    Tell us about the Orsi study. This is a

1 hospital-based study, right?

2           A. Yes. We actually distinguish case-control  
3 studies that were based on cancer registries, where we  
4 find every single case from hospital-based studies.

5           So here, we go to a hospital, and everybody  
6 who comes to that hospital and is a case of  
7 non-Hodgkin's lymphoma gets enrolled in a study.

8           These studies have problems because -- before,  
9 I told you, how do we get controls? We go to tax  
10 records, we go to citizen registries, to insurance  
11 registries, we call people randomly by the phone, right?

12           And we know, since we have every case of  
13 lymphoma, anybody else who lives in that community is  
14 fine to be a control. When we go to a hospital, we  
15 don't really know who the cases are who end up in this  
16 hospital and this hospital alone.

17           And then we don't know -- so what are the real  
18 controls for these cases? And there's a lot of debate  
19 in my research area about what the right way is to  
20 actually sample controls.

21           And the easy way is to just use other  
22 patients. So other people who came for some other  
23 disease to the same hospital, but they don't have  
24 lymphoma.

25           So that is one problem. If these other

1 diseases were also related to pesticide use, then you're  
2 underestimating the effect of pesticide on the cases.  
3 Because you're comparing one sort of cases to another  
4 sort of cases.

5 So there's a lot of debate about studies based  
6 just on hospital patients, if they're the correct thing.

7 Q. If we can look at the conclusion of the Orsi  
8 study real quick, it says they do not rule out a  
9 relationship of non-Hodgkin's lymphoma.

10 Do you see that?

11 A. Yes.

12 Q. And that's what you -- how do you feel about  
13 that? You just talked about hospital-based studies.

14 A. Right. So they want to be careful and say  
15 they're not sure if, actually, their control section was  
16 adequate to really generate an unbiased estimate for  
17 pesticides.

18 Q. Okay. So the previous study we looked at,  
19 Cocco, had a tripling of the risk; but you had problems,  
20 we didn't want to include it, right?

21 A. Right. That was also hospital-based.

22 Q. This study has no increased risk, but it's a  
23 hospital-based study; you don't want to include it?

24 A. Correct.

25 Q. Before we get to the large studies that have

1       come out recently, one in February and one while we were  
2       actually picking this jury, we want to talk about those.

3               But before we do, we want to talk about the  
4       Agricultural Health Study that you oversaw and that  
5       Monsanto relies upon in this case.

6               You're familiar with it, obviously?

7               **A.**    Obviously, yes.

8               **Q.**    You read it and considered it in your  
9       opinions, but you don't give it much weight.

10              Why not?

11              **A.**    No.    The Agricultural Health Study is a very  
12       valiant effort to estimate pesticide cancer risk from  
13       pesticides.  But from every pesticide that farmers in  
14       Iowa and North Carolina used.

15              And glyphosate is very special in that lineup;  
16       they have more than 50 that they assessed.  Because  
17       different from every pesticide they used, glyphosate use  
18       changed rapidly in the middle of their first  
19       questionnaire, their baseline assessment in their  
20       cohort.

21              And the exposure assessment -- the very first  
22       exposure assessment they did was really just a  
23       questionnaire that farmers who came to get their  
24       licensing exams to be a licensed pesticide applicator in  
25       the state of Iowa or North Carolina filled out on

1 20-some pesticides.

2           There are 21 pages. These pages were put in  
3 front of them, bubble them in. And they were asked  
4 about behaviors, age, family history, and then 21  
5 pesticides. And for every pesticide, they had to  
6 report -- on the spot -- how much they have used, and in  
7 what decade throughout their lifetime. And they  
8 probably used about half an hour to do that, and  
9 reported every pesticide they used.

10           Some may have thought it was a part of the  
11 exam. Other people were just interested in the  
12 research, different reasons, they bubbled in. And that  
13 way, they got about 56,000 farmers that came to these  
14 licensing exams to bubble in these 21-page  
15 questionnaires. And among those questions was  
16 glyphosate.

17           So one of the pesticides they were asked about  
18 was glyphosate. And what they also asked -- they asked  
19 them to report, have you ever used? And if yes, in what  
20 decade? How many days on average per decade, and how  
21 many years? When did you start and when did you stop  
22 using this pesticide?

23           And they did that between 1993 and 1997,  
24 because it took them five years to get 56,000 people to  
25 answer these questionnaires.

1           Well, you have now some people who answered on  
2 glyphosate in 1993, '94, and '95; about 30,000, that was  
3 the first batch. And then you have another 20,000 or  
4 26,000 who answered in '95, '96 and '97, right? And  
5 there was a huge change in glyphosate use right in the  
6 middle of that period.

7           So you get some people who report in 1993 what  
8 they used, lifetime, and some in 1997. Guess what?  
9 Those in 1997 report what they changed. Those in 1993,  
10 you have the baseline in 1993, you absolutely don't know  
11 what they did in 1997.

12           **Q.** I want to ask you about that a little more.

13           So in 1993 -- and I've used my high-tech  
14 graphics here. Let's call this guy Farmer Tom, okay?

15           Farmer Tom is going to go in and fill out the  
16 agriculture health form, right?

17           **A.** Yes.

18           **Q.** And he has to answer yes or no for Roundup  
19 use, right?

20           **A.** Yes.

21           **Q.** So in 1993, he says no, he hasn't used  
22 Roundup?

23           **A.** Correct.

24           **Q.** In 1994, he joins the ever-growing crowd of  
25 people that are using Roundup, just like there's an

1 ever-growing crowd of people using cell phones, right?

2 A. Correct.

3 Q. He uses glyphosate in 1994, 1995, 1996, and  
4 then this fellow comes down with non-Hodgkin's lymphoma,  
5 diagnosed in 2002.

6 Does he go down in a "I used glyphosate" or a  
7 "I didn't use glyphosate" category?

8 A. In the no use category.

9 Q. So he would have used glyphosate for three  
10 years, gotten non-Hodgkin's lymphoma, and in their  
11 study, they're calling him a non-user, right?

12 A. Unless he reported in the second round.

13 Q. How many people failed to report in the second  
14 round?

15 A. 38 percent.

16 Q. Which is about 18,000 people?

17 A. Yes.

18 Q. You have a fancier name for this problem than  
19 I do. It's called non-differential exposure  
20 misclassification.

21 A. Yes.

22 Q. What does that mean?

23 A. That means we are making the same mistake in  
24 assigning exposures, whether or not this person later  
25 develops non-Hodgkin's lymphoma. That's the

1 non-differential part.

2 So we are making a lot of mistakes in  
3 assigning exposures, but they're not mistakes we're  
4 making only for the cases or the controls. We're making  
5 it for both.

6 Q. The other thing we need to point out is that  
7 this is not something you've said recently.

8 You teach your medical students the problem  
9 with exposure?

10 A. Misclassification, yes.

11 Q. Using that as an example, don't you?

12 A. Correct. Because I teach biases. I teach  
13 about confounding, selection bias, and disease  
14 misclassification.

15 And from exposure misclassification, that's a  
16 great example.

17 MR. MILLER: Permission to publish 1209?

18 MR. EVANS: No objection.

19 BY MR. MILLER:

20 Q. All right. What is this, Doctor?

21 A. This is one of my slide decks, six in a page,  
22 from fall 2012 in my master's class.

23 Q. "Slide deck," meaning something you show and  
24 use when you lecture medical students to teach them how  
25 to become --



1           A.    Public health students.

2           Q.    And let's go, if we can, to page 5 of that.

3           A.    Some of them are medical students, too.

4           Q.    Bottom left, you talk about the disadvantages  
5 of the cohort method?

6           A.    Correct.

7           Q.    To be clear, the Agricultural Health Study is  
8 a cohort study?

9           A.    Yes.  It's a cohort study because we're  
10 starting with individuals who are undiseased.  So all of  
11 these farmers should not have had a cancer when they  
12 enrolled and told you about the pesticides.

13                    Actually, some of them had had cancers, but in  
14 the analysis, then they are excluded.  We are not using  
15 those who had cancer at baseline.

16                    So we are starting in a cohort with people who  
17 have no cancer, no disease of interest, no non-Hodgkin's  
18 lymphoma.  They may have had another cancer, but not  
19 NHL.

20                    And then we ask them, what was your exposure?  
21 So they report all the exposures they've had, and then  
22 we watch them passively through cancer registries.

23                    That's why, actually, the Ag Health Study is  
24 quite brilliant; they did it in Iowa and North Carolina  
25 farmers, first of all.  But also, they have cancer

1 registries.

2 So we don't have to really find these people.  
3 And that's why this was funded, because they could  
4 passively follow them over time. The cancer registry  
5 would pick up every farmer who developed a cancer and  
6 every farmer who developed NHL over time.

7 Very elegant, right? You don't rely on people  
8 coming back to you; you just use the cancer registry.  
9 But, yeah -- yeah.

10 Q. Go ahead.

11 A. And that's why epidemiologists love this.  
12 Because first of all, we know that at baseline, people  
13 report their exposure, and then we can follow them.  
14 They can't drop out because we find them in the cancer  
15 registry.

16 The only way to drop out is to move to  
17 California, and then we find them in the California  
18 registry, right? So that is a really elegant method.

19 The problem is what I'm discussing here with  
20 my students. We have a large number of people we're  
21 following, and we need to have a large number because  
22 cancer is a rare disease, NHL is a rare disease. We  
23 need 56,000 farmers and follow them over many years to  
24 have enough cases occur.

25 Very different from a case-control study,

1 where I start with the cases, right? I already have  
2 NHL. I assemble 500 of those people. It took them  
3 almost 20 years to get 500 cases.

4 So you have to be committed, in a cohort  
5 study, to follow these people over a very long time, and  
6 to do it right.

7 So we have large numbers of people. We need  
8 to follow them. It's relatively expensive. This study  
9 would not have been funded to someone like me because  
10 the NIH only funds you for five years, and then you  
11 really have to scramble to get the next five years. It  
12 can only be done within the NIH, where money is easier  
13 to come by, and you can maintain the follow-up.

14 So it's really expensive. You need a long  
15 duration of follow-up. So you have to have the money,  
16 you have to have the sample size. You have to watch  
17 them for a long time.

18 And the next one is the real disadvantage of  
19 this type of study if all you want to do is find cases  
20 from the cancer registry, because what you're ignoring  
21 is exposures that change. You have absolutely no  
22 problem with exposures that have already happened at  
23 baseline. And they're fixed at baseline, right?

24 However, if now exposures change over time,  
25 you better ask them again. And that's what, actually,

1 these authors realized. Five years later, they had  
2 another round approaching these people to ask, oh, by  
3 the way, what changed in the last five years? Did you  
4 use different kinds of pesticides?

5 Because if you're committed to follow them for  
6 20 years, you better know what's happening in those  
7 20 years. Because pesticide use may rapidly change.  
8 Some do, others don't. Glyphosate use changed a lot.

9 Q. And let's cut to the chase.

10 They lost track of 17,000 people?

11 A. In the first round, and another 17,000 in the  
12 second round.

13 Q. So they had to scientifically guess -- or I  
14 think we called it multiple imputation -- about what  
15 these people might or might not have done?

16 A. Yes.

17 Q. And only Farmer Tom knows if he actually used  
18 Roundup after 1993?

19 A. Right. If he never reported again, that's all  
20 we know.

21 Q. Let's go back up to the page.

22 You used the Agricultural Health Study as an  
23 example of these problems to these studies in 2012?

24 A. Exactly. Right. This is my introduction of  
25 what it is. This just says who funds it and what it is.

1 It's farmers who have pesticide exposures.

2 Q. I want to go back, if I could, to the first  
3 one. I jumped a little too quick.

4 The disadvantages of the cohort method.

5 The bottom bullet point.

6 "The cohort is generally not representative of  
7 the general population."

8 That's also true here, isn't it?

9 A. Absolutely. When we do these beautiful cohort  
10 studies, we're getting a group of people who are willing  
11 to participate not only once, but willing to participate  
12 over a long period.

13 And these people are generally different than  
14 the general population. Because in the general  
15 population, you have all these people who say not me,  
16 right? And even among the farmers who were asked at the  
17 pesticide licensing exam, there were some who refused.

18 So we could presume that not all farmers  
19 wanted to actually participate. Certainly not all  
20 farmers wanted to. They did it when they were in person  
21 at the licensing exam. For example, they also were  
22 given a take-home with more questions on more  
23 pesticides. Fourteen thousand already did not send in  
24 that take-home. You know that those 14,000 didn't  
25 really want to be in the study, they just didn't want to

1 say no the first time.

2 So cohorts are special. They're people who  
3 want to be studied and want to remain in the study.  
4 They're never the general population.

5 Q. And licensed pesticide applicators know to  
6 wear Tyvek suits or boots or gloves, masks?

7 A. Yes.

8 Q. It's what you learn to become --

9 MR. EVANS: Objection, your Honor.  
10 Speculation.

11 THE COURT: Sustained.

12 MR. MILLER: I'll rephrase.

13 BY MR. MILLER:

14 Q. What do licensed pesticide applicators learn  
15 about --

16 A. Well, that's why they come for the  
17 licensing --

18 MR. EVANS: Same objection, Your Honor.

19 THE COURT: Sustained.

20 BY MR. MILLER:

21 Q. All right. They were there to take a licensed  
22 pesticide applicator exam?

23 A. Correct.

24 Q. So they're learning to become professional  
25 pesticide applicators?

1           **A.**    Correct.

2           **MR. MILLER:**   With the Court's permission, we  
3 would like to publish Exhibit 120 and Exhibit 119.

4           **MR. EVANS:**   No objection, Your Honor.

5           **THE COURT:**   Granted.

6           **BY MR. MILLER:**

7           **Q.**    Exhibit 120 tells us the amount of -- I'll let  
8 you explain.

9           **A.**    This is actually downloaded from an EPA  
10 website, where they are showing you how much glyphosate  
11 is being used in one year.

12                   In this case, they're using the highest  
13 possible amount.   That's called EPest-high.   In that  
14 year, 1993, you can see that the different shading gives  
15 you the pounds per square mile that are applied in these  
16 different states.

17           **Q.**    That's the first year you could fill out the  
18 forms?

19           **A.**    Right.   And I think Iowa, if I'm correct, is  
20 right here, and North Carolina over there.   So we can  
21 see there are still pockets of Iowa where no glyphosate  
22 is used in 1993, and there are also high use and low use  
23 areas.   And definitely for North Carolina, it looks very  
24 sprinkled.

25           **Q.**    Let's look at Exhibit 119, 2013 use.

1           **A.**    We can't see any difference in application in  
2 Iowa, and it's much darker in North Carolina.  So we  
3 clearly say glyphosate use increased enormously.

4           Basically, we have nobody unexposed anymore in  
5 Iowa.  We couldn't even do a study in Iowa, because  
6 everybody would be exposed.

7           **MR. EVANS:**  Objection, Your Honor.

8           **THE COURT:**  Overruled.

9           **BY MR. MILLER:**

10          **Q.**    What -- that amazing expansion of the use of  
11 glyphosate, is that what you were talking about, the  
12 problem with exposure misclassification?

13          **A.**    Yes.  What we have here is the period 1993 to  
14 2013.  That's exactly the period that's covered by the  
15 Agricultural Health Study.  And you can see here how use  
16 changed.  And you are asked to relate pesticide exposure  
17 that was assessed in 1993 through 1997 and it's effect  
18 through 2013.

19          There was one additional questionnaire in 1999  
20 through 2004, where they tried to find people again, but  
21 63 percent or 62 percent were found and reported.  But  
22 they also were only asked to report one year.

23          **Q.**    Wait a minute.

24          So the second reporting, the same problem  
25 again, Farmer Tom says what he does in that one year.



1                   But if he used glyphosate for four years  
2 before he filled out that questionnaire, he still gets  
3 reported as a nonuser?

4                   **A.**    Correct.  What they actually asked is, the  
5 last year of farming, what did you use?

6                   So if that farmer used in 2001, but -- did not  
7 use glyphosate in 2001, but used it in '96, '97, '98,  
8 '99, 2000, he would say, no, in 2001, I didn't use.

9                   So he says it once at baseline because he  
10 doesn't use yet.  He uses for five to eight years.  He  
11 doesn't use anymore -- maybe because he's starting to  
12 feel sick or he retires or whatever -- and he only  
13 reports on the last year that he farmed.  And that's the  
14 data they have.

15                  **Q.**    That's the second AHS study, called Andreotti?

16                  **A.**    Yes.

17                  **Q.**    So now we have the exposure misclassification  
18 problem in the first AHS study, done by Dr. Blair and  
19 Dr. De Roos.

20                  And now Dr. Andreotti does the second AHS  
21 study, and he's got 37 percent loss to follow-up, and he  
22 still has the exposure misclassification for the ones he  
23 can get ahold of?

24                  **A.**    Yes.

25                  **Q.**    You have, I think, a very good demonstrative.

1                   **MR. MILLER:** Permission to publish 0123 about  
2 this increased use and its effect?

3                   **MR. EVANS:** No objection, Your Honor.

4 **BY MR. MILLER:**

5                   **Q.** Explain to us what you're telling us here.

6                   **A.** This is just put in an image what I already  
7 explained to you. Saying that, you know, depending on  
8 when Farmer Ted or Farmer Tom was actually enrolled at  
9 his pesticide licensing exam and asked, what is your  
10 lifetime use of glyphosate, you call him a user or  
11 nonuser or user of X amount. Because they asked about,  
12 how many years and how many days per year did you use?

13                   So if you say, in 1994, I never used or I --  
14 you know, I sprayed a little bit here and there, I  
15 sprayed maybe three days a year in one decade, then  
16 you're locked into the low use or no use category.

17                   But if that same farmer then decides, I'm  
18 jumping on the bandwagon and spraying glyphosate at a  
19 much higher rate because my neighbors do it and this is  
20 now the hottest herbicide in town that really is helpful  
21 for my crop production, then it changes that. In 1996,  
22 we wouldn't know that from the baseline.

23                   And we wouldn't know that for 30,000 people  
24 who reported prior to 1996. He's one of them, right?

25                   If he comes back and is asked, so in the last

1 five years, the last year you farmed, what was your  
2 farming? What did you use? Then it's kind of luck  
3 whether that last year actually reflects what happened  
4 in the meantime or not, right?

5 He could have started to use glyphosate, still  
6 use it, and reported. Okay. We're okay.

7 But he could have started and stopped and  
8 reports, no, I stopped. We keep him in the low  
9 exposure, even though he might have exposed himself for  
10 five years to large amounts. We don't know.

11 That happens to lots of people in this study.  
12 Especially the ones we don't know anything about, the  
13 38 percent who never came back. And all we have is the  
14 baseline, the first time they are questioned, and we are  
15 using that to guess what the exposure is over the next  
16 20 years.

17 And that's similar to what would happen if you  
18 would ask people to report their iPhone use. Clearly,  
19 before 2007, there weren't any iPhones, so you would say  
20 cell phone use, right? Pesticide use, cell phone use.

21 So you get people reporting, yeah, I use cell  
22 phones. But iPhones weren't on the market yet. So you  
23 wouldn't be knowing whether it was an iPhone or  
24 something else, right?

25 So if you accrue people between 2007 and 2010

1 when iPhones came on the market, some may be early  
2 adopters and report, I already have my iPhone, right?  
3 But others take two or three years, and you get the  
4 answer in 2007, no, I'm not an iPhone user.

5 But you don't follow them again or you're not  
6 having a follow-up, so you're categorizing the one  
7 person as a user and the other person who adopted a year  
8 later as a nonuser. And then you follow them to see if  
9 whether the iPhone gives them any health hazards, makes  
10 them distracted and get in a car crash.

11 But you have completely misclassified users  
12 because you asked at one time, when all the use was  
13 changing rapidly. And one person, by chance, was asked  
14 before, and one was asked after.

15 Q. I used to be a house painter before I was a  
16 lawyer. Pretty good one. I like to use analogies of  
17 paint cans.

18 If you have nonusers as a can of white paint  
19 and users as a can of red paint, you have to keep those  
20 paints separate in order to get the right colors on the  
21 wall.

22 What does exposure misclassification do to my  
23 white and red paint?

24 A. Ideally, you want to know whether somebody is  
25 a user or nonuser; a user is red, a nonuser is white.

1 If you misclassify, it's like dipping a spoon in red and  
2 putting it into white, and dipping a spoon into white  
3 and putting it in red.

4 If you do this often enough, what do you get?  
5 Two pink cans, no difference.

6 Q. This problem about the exposure  
7 misclassification and winding up with a lot of pink  
8 paint, it was discussed before the results came out from  
9 the AHS study, right?

10 A. Absolutely.

11 MR. MILLER: With the Court's permission, we  
12 have four articles summarized on Exhibit 0122 that we  
13 would like to publish at this time.

14 MR. EVANS: No objection, Your Honor.

15 MR. MILLER: We can put that up. Let's go one  
16 at a time.

17 These are one, two, three, four, five studies,  
18 which comment before -- some before -- this problem of  
19 exposure misclassification.

20 BY MR. MILLER:

21 Q. Let's look at Gray first. That was in the  
22 year 2000, the top one.

23 What is this saying? You reviewed this?

24 A. Yes.

25 Q. Does it help support your opinion that, in

1 fact, that's a real problem in the AHS study?

2 **A.** Yes. They actually refer to this strange  
3 non-differential exposure misclassification that we  
4 described; exposure misclassification, white and red  
5 mixed. And it's non-differential, meaning we're doing  
6 it for cases and controls.

7 **Q.** And it says:

8 "Non-differential exposure misclassification  
9 will produce bias towards the null."

10 What does that mean?

11 **A.** This is what everyone who learns epidemiology  
12 learns. The more you are mixing the paints, the less  
13 you can distinguish the white from the red. Because in  
14 the end, they're pink.

15 You can also say it's a signal-to-noise ratio.  
16 If you have a signal, and the noise is really high, you  
17 don't see the signal, right? You have to have a quite  
18 strong signal for the noise not to cover it. So what  
19 non-differential exposure misclassification does is hide  
20 the signal.

21 Or when you look at my points and the  
22 whiskers, it draws the points to 1.

23 **Q.** That was in 2000, before the AHS results were  
24 reported?

25 **A.** Right.

1           Q.    Let's look at the next scientist, that's  
2 Acquavella.

3                    Dr. Acquavella.  You know him, right?

4           A.    Yes.

5           Q.    Who was he employed by?

6           A.    He was employed by Monsanto at this time.

7           Q.    At the time he wrote this, he was employed by  
8 Monsanto, right?

9           A.    Yes.

10          Q.    So what is he telling us here?

11          A.    In 2006, he is as concerned about exposure  
12 misclassification as I just described, and said that  
13 there is possible substantial exposure misclassification  
14 in the study.

15          Q.    This is before the results came out?

16          A.    Yes.

17          Q.    So before the results came out, Monsanto  
18 criticized and was concerned about the AHS results?

19          A.    Yes.

20          Q.    Let's look at the third one.

21                    This is Weichenthal, "A Review of Pesticide  
22 Exposure and Cancer Incidence in the Agricultural Health  
23 Study Cohort."

24          A.    This is a nice review paper in the  
25 Environmental Health Perspectives, which is that very

1 famous journal by the National Institute of  
2 Environmental Health Sciences.

3 And this is a Canadian colleague who looked at  
4 all of the published results from the AHS study. And in  
5 his conclusion, concluded that exposure  
6 misclassification undoubtedly has an impact on AHS  
7 findings reported to date.

8 Q. Do you agree with that?

9 A. Yes.

10 Q. Let's look at Blair.

11 This is interesting, because Dr. Blair was one  
12 of the original authors of the original AHS study and  
13 also the chairman of the IARC committee?

14 A. Yes.

15 Q. And he writes on the subject, as well.

16 What does he tell us?

17 A. He specifically is concerned about pesticide  
18 exposure misclassification for the same reason I always  
19 am. Because everybody thinks we are just generating  
20 wrong results, meaning results that indicate risk. The  
21 dirty little truth is that, most of the time, we see  
22 nothing.

23 Because when we do a bad job, what we do is,  
24 we see nothing. We actually drown the signal in the  
25 noise. It's not as intuitive as saying, you guys got it



1 all wrong, so you must have produced these enormous  
2 effects.

3 No. Most of the time, we don't see anything.  
4 And then we have to say, there is nothing. When public  
5 health makes us scratch our heads or public health  
6 concern makes us scratch our heads and say, maybe I did  
7 a lousy job in exposure assessment.

8 So he was as concerned as I was about  
9 pesticide misclassification and what it does to these  
10 dots and whiskers. And that's what he says here.

11 Q. Here, we have the actual author of the AHS --  
12 one of the authors -- telling us there's a false  
13 negative. Findings could be common because of the  
14 problems with the AHS.

15 A. Correct.

16 Q. And he went on to vote with IARC to find that  
17 Roundup is a probable human carcinogenic?

18 A. As far as I know. He actually goes further  
19 and says the true relative risk -- so the true increase  
20 in risk -- could be threefold, and you see absolutely  
21 nothing.

22 Q. What's a false negative?

23 A. False negative means you see nothing negative,  
24 but there is truly something.

25 Q. And the last one, and we're going to talk

1 about that in more detail in a while, but that's  
2 Sheppard. At the bottom.

3 Dr. Sheppard talks about this, as well,  
4 doesn't she?

5 A. Yes. She's a statistician, and she was also  
6 concerned about biases in the Agricultural Health Study.  
7 And she reviewed what happened there and came to the  
8 following conclusion: Due to all of the nonresponse  
9 over time --

10 Q. That's the 37 percent that never bothered to  
11 fill out the questionnaire?

12 A. Right. And the way they then guessed these  
13 people's exposure, they might have meaningfully  
14 attenuated the cancer risk estimates.

15 Q. What does that mean?

16 A. Attenuation means there's less than there  
17 should be, and meaningful means there's quite a bit.  
18 So, again, that dot is drawn towards nothing.

19 Q. This scientist who is published in this says:  
20 "We probably meaningfully attenuated, or made  
21 too low, the risk."

22 A. Correct.

23 Q. So that's how you process the case-control and  
24 then the critiques for the AHS, right?

25 A. Yes.

1 Q. Have I missed anything, or have we covered it?

2 A. No, we covered it.

3 Q. Okay. Let's go then to Exhibit 2333.

4 THE COURT: Are we changing to a new topic,  
5 new study?

6 MR. MILLER: Whenever Your Honor wants to take  
7 lunch, I'm never a man that says no to lunch.

8 THE COURT: If you're going to start something  
9 new, maybe we should go ahead and break for lunch.

10 MR. MILLER: It's two more case-control  
11 studies and then some Bradford Hill. I have about  
12 half-hour to 45 minutes left.

13 THE COURT: Why don't we take a break now for  
14 lunch. We'll come back at 1:00.

15 MR. MILLER: Sure.

16 (Luncheon recess was taken at 11:55 a.m.)

17 AFTERNOON SESSION 1:03 p.m.

18 (Proceedings resumed in open court in the  
19 presence of the jury.)

20 THE COURT: Ready to continue.

21 MR. MILLER: Thank you, Your Honor.

22 Q. Doctor, good afternoon.

23 A. Good afternoon.

24 Q. Did you have a good lunch?

25 A. Yes.

1           Q.    Good.  I hope everybody did.

2                    I wanted to just wrap up a couple things.  I  
3 think we can finish the direct exam in about a half an  
4 hour.  So that's my goal.

5                    I forgot to ask you.  This NAPP study that we  
6 were talking about, the one that Anneclaire De Roos and  
7 Dr. Weisenburger and Dr. Blair, that shows the doubling  
8 of the risk statistically significant, that was adjusted  
9 for the pesticides?

10           A.    Yes, they adjusted for the pesticides they  
11 thought they should be adjusting for because other  
12 studies previously had indicated there could be a risk,  
13 but IARC hadn't made the same classification for them as  
14 for glyphosate.

15           Q.    Okay.  And we talked about the Cocco study  
16 that had triple the risk but it was so small and the  
17 confidence level was so wide, they don't put it on  
18 there.

19           A.    Right.

20           Q.    We did put the Orsi study on that you had  
21 criticisms of but wanted to put it on here for  
22 completeness.  It was one; right?

23           A.    Yeah, it was basically one.

24           Q.    Now, we talked, I think at length, about the  
25 AHS studies which are a De Roos '05 and Andreotti 2018.

1           A.    Right.

2           Q.    That's I guess AHS 1 and AHS 2; correct?

3           A.    Correct.

4           Q.    And you talked about the problems with the  
5 studies?

6           A.    Yes.

7           Q.    Yeah, but I wanted to put the odds ratios down  
8 from them even though you articulated the problems with  
9 them.  Okay?

10          A.    Yes.

11          Q.    And looking at Exhibit 1629, I think they had  
12 an odds ratio of 1.2.

13                    On page 3, Table 2.

14          A.    Yes.

15          Q.    Okay.  Not statistically significant?

16          A.    Right.

17          Q.    The numbers again?

18          A.    And it's .7 to 1.9.

19          Q.    .7 to 1.9.

20                    And on the Andreotti or AHS 2 where they lost  
21 17,000 people, did they actually conclude that  
22 glyphosate prevented non-Hodgkin's lymphoma?

23          A.    No, they didn't.

24          Q.    What was the odds ratio that they used?

25          A.    They did not give us one for the overall, from

1 what I recall, but they gave us five -- where is it?

2 Q. It's Exhibit 2230, is the Andreotti study.

3 A. Yes. And there's a Table 2 continued on  
4 page 513, and they are giving us categories of exposure  
5 and they start with none. So no exposure at all, which  
6 is the comparison group. And then they --

7 MR. MILLER: We can put that on the screen, if  
8 there's no objection, 2230.

9 MR. EVANS: No objection.

10 BY MR. MILLER:

11 Q. Table 2.

12 A. So this is B-cell, but we can also look at  
13 non-Hodgkin's lymphoma overall. But B-cell is fine as  
14 well. So what we can see here is that compared to the  
15 reference group, all of these estimates are below 1.  
16 Right?

17 And, yes, the confidence interval does include  
18 the 1. So none of these are statistically significant.  
19 But there is a pattern of all four exposure categories.  
20 Quarter 1, 2, 3, 4 means low, medium, higher, highest  
21 exposed according to their intensity of exposure.

22 You see that all of them are below 1 for  
23 non-Hodgkin's lymphoma, and they're even further  
24 below 1 for B-cell lymphoma.

25 So if we believe these, then we would say

1 there's a 24 percent decrease in non-Hodgkin's at that  
2 second quartile. The .76. It's 1 minus .76. So it's a  
3 24 percent decrease, lower risk in non-Hodgkin's if you  
4 are exposed at that level 2.

5 If you're exposed at the level 4, your risk is  
6 about 14 percent lower than if you're not exposed. So  
7 that is really against our expectations. We would  
8 expect that maybe it's 1, meaning there's no difference.  
9 But why would glyphosate use prevent non-Hodgkin's  
10 lymphoma?

11 Also what makes us a little bit suspicious is  
12 that all of these are consistently on that side. If it  
13 was just random fluctuation, then one estimate would be  
14 below the 1 and another above the 1 and you would  
15 have -- you would just see, oh, this is random. You  
16 know, there's random noise. Some estimates are slightly  
17 below 1, some are slightly above 1, but on average they  
18 are null.

19 This study suggests that there is actually a  
20 benefit from having glyphosate exposure. I don't think  
21 I would like to believe that. And what the next step is  
22 when you -- when something is so against your  
23 expectations, you're worried about bias, a systematic  
24 bias that introduces that flipping of estimates to the  
25 other side.

1 Q. And that's nondifferential --

2 A. No. This couldn't be nondifferential  
3 misclassification. This is a systematic bias in another  
4 direction than expected.

5 Q. Okay.

6 A. So there's something else going wrong here.  
7 So it could be that all of my comparisons against those  
8 we call not exposed are wrong, that the people we're  
9 putting in the not exposed group are really not not  
10 exposed. Right?

11 Q. We've talked about the white paint and the  
12 pink paint and the red paint.

13 A. Right. Exactly.

14 Q. We got pink paint here?

15 A. Yes.

16 Q. Now, moving on.

17 The Zhang study came out in February of 2019;  
18 right?

19 A. Uh-huh.

20 Q. And you reviewed it?

21 A. Yes, I did.

22 Q. And does it help inform and confirm the  
23 opinions that you already held in this case?

24 A. Yes.

25 **MR. MILLER:** If we could, with the Court's



1 permission, publish 2333.

2 **MR. EVANS:** No objection.

3 (Exhibit published.)

4 **MR. MILLER:** All right. If we'd blow up the  
5 title, please.

6 **Q.** All right. Now this was released I guess  
7 about a month before trial started, and it's entitled  
8 "Exposure to Glyphosate-based Herbicides and the Risk  
9 for Non-Hodgkin's Lymphoma: A meta-analysis and  
10 supporting evidence."

11 What's a meta-analysis?

12 **A.** So a meta-analysis pretty much brings together  
13 all of the studies that were done up to that point in  
14 time, uses their summary estimates, and then generates a  
15 weighted average of all of them.

16 **Q.** And it says "and supporting evidence." Did  
17 Dr. Zhang, Dr. Rana, Dr. Shaffer, Dr. Taioli, and  
18 Dr. Sheppard, did they all use, then, those three  
19 pillars of evidence when they analyzed this issue of  
20 glyphosate and the risk for non-Hodgkin's lymphoma?

21 **A.** Yeah. This is actually a very  
22 interdisciplinary group of authors. I believe Dr. Zhang  
23 is a toxicologist. So she knows best about animal  
24 studies and cell studies.

25 So this group of people came together to write

1 not only about the epidemiologic human data, but put  
2 that human data into the context of the animal and the  
3 mechanistic knowledge we have about glyphosate and NHL.

4 Q. This is a peer-reviewed study?

5 A. Yes.

6 Q. Okay. Let's turn to page 34 of the document,  
7 please, of this study and look at the declaration of  
8 interest, if we could pull that out.

9 All authors have no financial conflicts of  
10 interest to declare. We disclose Dr. Zhang, Dr. Taioli,  
11 and Dr. Sheppard served as a science review board  
12 members for the United States Environmental Protection  
13 Agency Scientific Advisory Board.

14 A. Yes.

15 Q. Are you aware of that?

16 A. Yes.

17 Q. And that was the meeting that was held in  
18 December 2016?

19 A. Yes.

20 Q. And we've heard about that here.

21 So these three scientists served on that  
22 board. And then independent of that after being asked  
23 by the EPA to look at this issue, went out and published  
24 this 30 -- 55-page analysis in the peer-review  
25 literature; is that right?

1           **A.**    Correct.

2           **Q.**    Let's look if we could now, please, at page 3.  
3           I'd like to go to that last two sentences in the first  
4           paragraph, if we could.

5                        I just want to cut to the chase here.  "We  
6           documented," start there.

7                        Here's what these three scientists who had  
8           been tapped by the EPA to look at this issue:

9                                We documented further support from  
10           studies of malignant lymphoma incidence in  
11           mice treated with pure glyphosate.

12                        Right?

13           **A.**    Uh-huh.

14           **Q.**    You observed that as well, haven't you?

15           **A.**    Yes, I saw those.

16           **Q.**    (Reading from document:)

17                                As well as the potential links  
18           between glyphosate-based herbicide  
19           exposure and immunosuppression, endocrine  
20           disruption, and genetic alterations that  
21           are commonly associated with non-Hodgkin's  
22           lymphoma.

23           **A.**    Correct.

24           **Q.**    It's what the animal data tells us, doesn't  
25           it?

1           **A.**    Yes, that's what they're putting here.

2           **Q.**    "Overall in accordance with evidence from  
3 experimental animal and mechanistic studies, our current  
4 meta-analysis of human epidemiological studies suggests  
5 the," what, Doctor?

6           **A.**    The compelling link between exposures to GBHs  
7 and increased risk for non-Hodgkin's lymphoma.

8           **Q.**    Do you agree with these three scientists who  
9 had been tapped to be on the Environmental Protection  
10 Agency Science Advisory Panel that in fact as we stand  
11 here today in 2019, there is a compelling link between  
12 exposure to Roundup and an increased risk for  
13 non-Hodgkin's lymphoma?

14          **A.**    Yes, that's the conclusion I came to myself.

15          **Q.**    And what they did, why don't you explain to  
16 the folks how they did the study.

17          **A.**    So they went back to the literature, just like  
18 we did this morning. And they pulled out all these  
19 estimates. And you can see them in a table lining up.  
20 And then they do exactly this weighing approach where  
21 they weigh according to the size of the study, the  
22 number of people who were exposed. So if there's a  
23 small study, it gets very little weight. If there's a  
24 big study, it gets a lot of weight.

25                   But they also selected according to the best

1 exposure assessment or the best estimate from each study  
2 that they trusted the most, and they said that would be  
3 the estimate with the highest exposure.

4 So they did not want to combine an ever/never  
5 which some of the previous studies had done. They just  
6 looked at one estimate from every study which was  
7 ever/never. So somebody could have had half a day of  
8 glyphosate use, it was ever.

9 They really went for the best exposure  
10 assessment that they could identify, the best estimate  
11 from each of the studies. For each study, they used one  
12 of these estimates and then combined across and  
13 generated that summary estimate with a confidence  
14 interval that tells us 95 percent confidence interval,  
15 how much if I repeated this 100 times, 95 percent of the  
16 time, my estimate would fall into those -- into those  
17 brackets, right, into those whiskers.

18 Q. And to be clear, what they did is they took  
19 the data from the case-control studies that we've been  
20 looking at.

21 A. Right.

22 Q. And they mixed it in a scientific way with the  
23 data from the Agricultural Health Study; right?

24 A. Yes, they also used data from the Ag Health.

25 Q. And even with the criticisms about the AHS

1 data, when they did that, when they mixed the  
2 case-control studies with the Agricultural Health Study,  
3 did they get a statistically increased risk of getting  
4 non-Hodgkin's lymphoma if you're exposed to Roundup?

5 **A.** Yes, they did.

6 **Q.** Let's go to page 5, if we could, of the Zhang  
7 report. I want to ask you about the last sentence in  
8 the second full paragraph.

9 It says:

10 Given that more than  
11 6 billion-kilograms of Roundup have been  
12 applied in the world in the last decade,  
13 glyphosate may be considered ubiquitous in  
14 our environment.

15 **MR. EVANS:** Your Honor, objection.

16 **THE COURT:** Sustained.

17 **MR. EVANS:** Move to strike.

18 **MR. MILLER:** I'll withdraw.

19 **MR. EVANS:** Move to strike.

20 **THE COURT:** It will be stricken.

21 **MR. MILLER:** Okay. I'll move on.

22 **Q.** Let's go to page 28, go to the first full  
23 paragraph. All right, see where we are?

24 This is what Dr. Zhang from Berkeley and her  
25 colleagues report in this peer-reviewed article:

1                    Together all of the meta-analysis  
2                    conducted to date, including our own,  
3                    consistently report the same key finding:  
4                    Exposure to glyphosate-based herbicides  
5                    are associated with an increased risk of  
6                    non-Hodgkin's lymphoma.

7                    **A.**    Yes.

8                    **Q.**    And is that consistent with your opinion?

9                    **A.**    Yes, and it's consistent with the previous  
10                   meta-analyses by other authors.

11                   **Q.**    If you would please go to page 34. All right.  
12                   Top paragraph, and looking at the second full sentence.  
13                   This is in their conclusion.

14                                      Using our high-exposure *a priori* hypothesis --  
15                   now, what is an *a priori* hypothesis?

16                   **A.**    *A priori* means even before you do any  
17                   analyses, you're actually stating what your hypothesis  
18                   is. That's why it's *a priori*. Before you do your  
19                   analysis, you say, "Well, you know, I presume that the  
20                   highest exposure causes the most cancer." And that's  
21                   pretty much the *a priori* hypothesis they use.

22                   **Q.**    More than two days, more than ten days --

23                   **A.**    Ten days. Right.

24                   **Q.**    (Reading from document:)

25                                      Using our high exposure *a priori*

1           hypothesis and including a recently  
2           updated AHS cohort in a meta-analysis for  
3           the first time, we report that  
4           glyphosate-based herbicide exposure is  
5           associated with an increased risk of  
6           non-Hodgkin's lymphoma.

7           And that's what they did; right?

8           **A.**    Right.

9           **Q.**    They mixed the AHS with --

10          **A.**    Uh-huh.

11          **Q.**    They go on to say:

12                    The totality --

13           Down in the middle of the paragraph:

14                    The totality of evidence from the six  
15           studies on glyphosate-exposed mice support  
16           this association in humans.

17          **A.**    Correct.

18          **Q.**    These are the three scientists who had been  
19           previously tapped to be on the EPA Scientific Advisory  
20           Board?

21          **A.**    Yeah.  So they bring the second pillar of  
22           science, the animal studies, into the evidence here for  
23           their conclusion.

24                    **MR. MILLER:**  Exhibit 0105.

25                    **MR. EVANS:**  No objection.



1 (Exhibit published.)

2 **BY MR. MILLER:**

3 Q. We looked earlier in our testimony today at  
4 forest plots in the abstract. Do you remember that?

5 A. Yes.

6 Q. This forest plot has been pulled from  
7 Dr. Zhang's published meta-analysis.

8 A. Uh-huh.

9 **MR. MILLER:** With the Court's permission,  
10 could I have the Doctor come down and explain to us what  
11 these dots mean?

12 **THE COURT:** Actually, if we have a pointer I  
13 think it might be better.

14 Do we have a pointer?

15 **MR. WISNER:** Yes.

16 **MR. MILLER:** Great.

17 **THE COURT:** Actually you might want to pull it  
18 a little closer.

19 **MR. MILLER:** I think we can put it up actually  
20 on the board then. Exhibit 0105 and the Doctor could  
21 talk about it from up there.

22 **THE COURT:** I just suggest using a pointer so  
23 we're not standing in front of the material as she's  
24 talking about it.

25 **MR. MILLER:** Understand. Sure.

1 Q. This is a forest plot, Doctor?

2 A. Yes.

3 Q. And it's from -- I'll give you this, I have no  
4 idea how to use this.

5 A. Oh, boy.

6 MR. WISNER: The green dot on the top.

7 THE WITNESS: On the top, yeah.

8 THE COURT: It's fine to stand over there and  
9 point if you want. But I think going there would be a  
10 little disruptive. If you were to stand on the other  
11 side and point with or without the pointer, that's fine.

12 BY MR. MILLER:

13 Q. Is this a forest plot?

14 A. Yes.

15 Q. Okay. And is this from the Zhang article?

16 A. Yes. It's just turned around, I guess.

17 Q. Okay. So tell us what that blue line means.

18 A. So the blue line, we are at 1. That's our  
19 ratio measure. If the rate of cancer in the exposed is  
20 the same as in the unexposed, we get a ratio measure of  
21 1. Same number. Right? Dividing two numbers with each  
22 other that are the same, we get 1.

23 So this is where there is no effect.

24 Q. Okay. So every dot to the right of that blue  
25 line indicates an association?

1           **A.**    Correct.  So all of these dots indicate an  
2           increase.  And this is on a log scale so it's a little  
3           bit wider to the 2 and then it decreases to the 10.  
4           That is because the confidence intervals otherwise  
5           because we're going only from zero to 1 would be  
6           unequal, they wouldn't be the same lengths and they  
7           should be.  That's the only technical thing.

8                        Otherwise you can see here that's 2.  So most  
9           of these hover around 2.

10           **Q.**    Okay.  So I'm not an epidemiologist.  I'm  
11           looking at this, I see a lot of dots on the right, one  
12           dot on the blue line, one dot to the left.  These are  
13           all about the issue of Roundup and non-Hodgkin's  
14           lymphoma?

15           **A.**    Yes.  All of these are measures of association  
16           for Roundup and non-Hodgkin's lymphoma, and they come  
17           from the different studies.

18                        And this one was that hospital-based study in  
19           France that had very few exposed people.  Remember most  
20           of these people were from urban areas.

21           **Q.**    Orsi.

22           **A.**    Yeah, Orsi.  And you can also see that these  
23           whiskers are really wide.  So we have very little  
24           information in that study, but the little bit of  
25           information we have says there's no association.

1           **Q.**    Okay.  So what we want to ask is what are the  
2           odds of all of those dots being on the right and this  
3           association being by chance with this multiple studies  
4           the vast majority on the right?

5           **A.**    Well, we like to look at patterns.  And when  
6           we see a pattern like this across a lot of different  
7           studies from different continents, from Canada, from the  
8           U.S., from Sweden, then we start thinking, hmm, there  
9           might be something here because look at this, the  
10          pattern is pretty clear except for one type of study,  
11          and that's the one in red, that's our cohort study.

12                    In fact, we wouldn't be in a real forest plot  
13                    that we use for a meta-analysis, we're not supposed to  
14                    use all of these because they're coming from the same  
15                    data.  We have to make up our mind which one to use.

16                            But this was just to illustrate that, yes, the  
17                            Agricultural Health Study has not very wide whiskers  
18                            because it has a lot of cases, it has a lot of exposed  
19                            cases.  And these dots are very close to the 1.  This  
20                            dot is on the other side, and it's the only dot that's  
21                            on the other side of the equation.

22           **Q.**    Now, does this forest plot, showing the vast  
23           majority of those dots on the right, does it support  
24           your opinion that Roundup causes non-Hodgkin's lymphoma?

25           **A.**    Absolutely.

1           **Q.** Thank you very much. You can have a seat.  
2                   Now the jury has been very patient with me.

3           **A.** Oh, by the way. These are all the  
4 meta-analyses. So all of these people have actually  
5 used this data except out of these, they only used one  
6 estimate, and then they came up with these estimates.  
7 And you can see how powerful the meta-analysis is  
8 because all of these lower confidence intervals are now  
9 above 1 meaning it's statistically significant.

10                   So no matter who put this data together in  
11 whatever way they wanted, they always came up with a low  
12 is 27 percent increase, high is 45 percent risk increase  
13 for an ever/never, or in case of Zhang, high exposures  
14 to glyphosate and NHL risk.

15           **Q.** I almost forgot to ask you. 2016 Monsanto did  
16 fund a study. They funded the Chang and Delzell;  
17 correct?

18           **A.** Yes.

19           **Q.** And that study funded by Monsanto showed a  
20 statistically increased risk --

21           **A.** Yes.

22           **Q.** -- of non-Hodgkin's lymphoma if you're exposed  
23 to Roundup?

24           **A.** Yes. We see that because even this confidence  
25 interval is close to 1.

1 Q. 40 years after the product was on the market?

2 A. Yes.

3 Q. Now literally while I'm over introducing  
4 myself to these folks in the big building across the  
5 street, the last article we're going to talk about came  
6 out, the Leon study came out recently last couple weeks.  
7 February it was accepted. We found about it about then.

8 Okay. Tell us what on earth is the Leon  
9 study? And we'll ask permission to publish it.

10 A. Yes. So this is really the latest study and  
11 it brings in new data. It is another, they call it a  
12 pooled analysis, but it's really again one of these  
13 meta-analyses because for each study they're creating  
14 one estimate and then they're combining them.

15 But this time they're combining the  
16 Agricultural Health Study. So we know about that one.  
17 Then they're combining that with a study in France of  
18 more than 140,000 farmers and a study in Norway with  
19 about 140,000 farmers as well.

20 Q. Okay. So I want to stop you there.

21 AHS was about 50,000.

22 A. Yes.

23 Q. And they lost the 17,000 and went down to  
24 about 33,000.

25 A. Correct.

1           Q.    You're now telling me that that data, for  
2 whatever that data was worth, is combined with a French  
3 study?

4           A.    Yes.

5           Q.    And a Norwegian study. How big was the French  
6 study?

7           A.    About 140,000, I think.

8           Q.    How big was the Norwegian study?

9           A.    Also. But the Norwegian study has more weight  
10 because they had a longer follow-up time. The French  
11 study only had five years of follow-up. So we calculate  
12 the number of people times the year of follow-up.

13 That's what we call person-time. So if it's 100,000  
14 people followed for five years, we have 500,000 years of  
15 follow-up time. If it is 100,000 people followed for  
16 20 years, we have 2 million years of follow-up time.

17                    So the Norwegian study had about  
18 two-and-a-half million years of follow-up time. The  
19 French study only about 400,000. So the Norwegian study  
20 is what weighs the most.

21           Q.    And this is not an abstract thing for you,  
22 you're intimately familiar with the Norwegian  
23 database --

24           A.    Yes.

25           Q.    -- and the French database?

1           **A.**    Yes.  Because the -- yeah.  The reason is  
2 these are groups who are doing pesticide exposure  
3 assessment in farmers.  That's something I do.  So I  
4 know people who work with this data.  I know papers  
5 because I review them.  I probably have reviewed that  
6 crop exposure matrix they used.

7           **MR. MILLER:**  Permission to publish 2984,  
8 Your Honor.

9           **MR. EVANS:**  No objection.

10                                 (Exhibit published.)

11 **BY MR. MILLER:**

12           **Q.**    So this is the study.  It was in the  
13 *International Journal of Epidemiology* recently; right?

14           **A.**    Yes.

15           **Q.**    And I think you have been or are currently an  
16 editor?

17           **A.**    No, not of *International*, no.  But it's a very  
18 well-known journal.

19           **Q.**    Very well.  And it's about pesticide use and  
20 the risk of non-Hodgkin's lymphoma combining agriculture  
21 cohorts from France, Norway, and the United States.

22           **A.**    Correct.

23           **Q.**    Okay.  And it's not a dose response study,  
24 it's an ever versus never study.

25           **A.**    Correct.



1           Q.    What's the significance of that?

2           A.    So in this case, we have a combination of the  
3 U.S. data where they actually tried in the Agricultural  
4 Health Study to come up with an intensity.  And I showed  
5 you those five estimates, 1 and then .8, .8, .8, .8.  
6 Right?  They could do that because they asked the  
7 farmers how many years they used and then created this  
8 intensity measure.

9                    What I didn't tell you yet about the Ag Health  
10 Study is how they created intensity by combining the  
11 years with how these farmers applied pesticides.

12                   So in the baseline questionnaire of the  
13 Agricultural Health Study, they asked one question:  How  
14 are you applying pesticides?  And they could report with  
15 a hand sprayer, with a rig on a tractor, whatever they  
16 had.

17                   And then they also asked:  Have you used  
18 pesticide -- have you used protective equipment such as  
19 face masks or whatever to cover yourself?  But they only  
20 ask that once.

21                   They had a list of 21 pesticides they answered  
22 to, and then one question saying what did you -- how did  
23 you apply, what did you do to cover yourself?

24                   And then that information was presumed to be  
25 valid for every pesticide they reported on.  So if a

1 farmer, for example, said they used glyphosate and they  
2 used an OP, an organic phosphate pesticide, which can be  
3 acutely toxic, and said, "Yeah, I use a respirator and I  
4 spray from an enclosed cab on a tractor," then they  
5 would presume they do that for the glyphosate as well as  
6 the highly toxic OP. And we don't know that that's the  
7 case.

8 So the Ag Health Study created this intensity  
9 measure with data that they didn't really know that it  
10 applied to glyphosate.

11 Q. And because they had so many numbers, so many  
12 people in three different areas, they were able to look  
13 not only at non-Hodgkin's lymphoma but diffuse large  
14 B-cell?

15 A. Yes. So this study. The combined study.

16 Q. Yes.

17 A. Yes.

18 Q. In the Leon study?

19 A. Yes.

20 Q. Can we please turn to page 7.

21 A. But what I haven't told you yet --

22 Q. Please go ahead.

23 A. -- there was a completely different exposure  
24 assessment in the French study and the Norwegian study,  
25 and that's important to understand.

1           So the French study is a study of individuals  
2 who are all insureds through a French system of farmers  
3 insurance. The 140,000 individuals are all in that  
4 farmers insurance. They were pulled from that listing  
5 and then were asked about their pesticide use lifelong.

6           Half of the people they -- not pesticide use.  
7 Sorry. About what they farmed, what crops they farmed,  
8 what animals they farmed, how long they had farmed, and  
9 whether they had ever used pesticides but not which  
10 pesticide.

11           So 140,000 people reporting that, but they  
12 were already half of them were retired. On average they  
13 were 67 years old when they got to them.

14           So these French farmers reported that. And  
15 then the researchers went back to records about all the  
16 different crops in France and what was applied in terms  
17 of pesticides on these crops in what years that these  
18 farmers reported having farmed these crops.

19           That's called a crop exposure matrix. So  
20 you're not asking people what they are applying, you're  
21 asking them what they're farming.

22           Then you're making the best guess you can  
23 which is, oh, if you had this crop in this year and you  
24 said you used pesticides, you probably used A, B, and C  
25 pesticide. But there's no guarantee that they actually

1 did.

2 And they also didn't know how much they used  
3 and how long they used because it was presumed that by  
4 farming the crop, reporting you used pesticide, you did  
5 it. And not everybody did.

6 Q. Okay. Let's turn to page 7. And I appreciate  
7 that explanation.

8 So, and let's look at diffuse large B-cell.  
9 That's where our interest lies.

10 The cohort specific hazard ratios for every  
11 use of glyphosate and diffuse large B-cell were --

12 A. The overall.

13 Q. -- overall were 1.6. And the AGRICAN?

14 A. No, that's CNAP --

15 Q. Huh?

16 A. That's the Norwegian study.

17 Q. The Norwegian study --

18 A. The overall is above it.

19 Q. I'm sorry. Excuse me.

20 There was an elevated -- what's MHR?

21 A. That's the meta hazard ratio.

22 Q. Okay.

23 A. Again, it's just a ratio, same as an odds  
24 ratio. And meta because we're combining three studies.

25 Q. With every use of glyphosate, 1.36

1 statistically significant; right?

2 A. Yes. Confidence interval 1 to 1.85.

3 Q. Okay. So with -- although they did not find  
4 it for overall non-Hodgkin's lymphoma in this very large  
5 study, they found it for diffuse large B-cell?

6 A. They did.

7 Q. Right? And when they looked at the separate  
8 databases, the AGRICAN database showed a 1.67  
9 statistically significant for diffuse large B-cell;  
10 right?

11 A. No. No, no, no. The 1.06 in AGRICAN, that's  
12 the French one.

13 Q. Okay.

14 A. And a 1.67 in CNAP, that's the Norwegian --

15 Q. Ah, thank you.

16 A. -- one.

17 Q. That's why you're the expert and I'm not.  
18 Okay. All right.

19 A. And then interestingly, in the Agricultural  
20 Health Study, they're finding a 1.20.

21 Q. So they go back and reanalyze the Agricultural  
22 Health Study for diffuse large B-cell --

23 A. Right.

24 Q. -- and see an increased risk but not  
25 statistically significant.

1           A.    Correct.

2           Q.    All right.  Does this large study lend support  
3 to your proposition that it certainly with diffuse large  
4 B-cell, there's an increased risk of non-Hodgkin's  
5 lymphoma?

6           A.    Yes.

7           Q.    From Roundup?

8           A.    Yes.

9           Q.    All right.  This came out during the start of  
10 trial.  There's been no studies since then, has there?

11                  Okay.  All right.

12           A.    We don't know.

13           Q.    So we're kind of wrapping this up.  We've  
14 talked now about the studies that have informed your  
15 opinion?

16           A.    Yes.

17           Q.    Okay.

18           A.    So all of the studies we showed are informing  
19 my opinion, including the Agricultural Health Study, but  
20 especially now this new study that just came out that  
21 used yet another very different way of assessing  
22 glyphosate use and came to the same conclusion, and  
23 pretty much almost exactly the same estimate as we saw  
24 in the meta-analyses of all the previous studies, and  
25 statistically significant.

1           Q.    And we talked about a Bradford-Hill, I think  
2           he was a knight in England, Sir Bradford-Hill.  Why is  
3           he so famous?  And why do we use him to assess --

4           A.    So he came up with terms, criteria, guidelines  
5           for assessing scientific evidence.  And the guidelines  
6           he came up with, a lot of people have tried to improve  
7           over the last 50 years.  But nobody has.  And it really  
8           allows science to be evaluated across scientific silos  
9           and put them together.

10          Q.    Scientific silos?

11          A.    Yes.

12          Q.    All right.  And have you done a Bradford-Hill  
13          analysis in this case?

14          A.    I did.

15          Q.    Let's take a minute to look through that, and  
16          then I'm done.

17                    All right.  Consistency of association.  
18          What's that mean?

19          A.    That means that these studies that I looked at  
20          were consistent in showing an association.  And the ones  
21          that weren't consistent, I can -- I can kind of  
22          understand why that is the case.

23                    So for the Agricultural Health Study, I kind  
24          of guess why that is the case because of the huge  
25          exposure misclassification.

1           So generally, including the latest study that  
2 mostly was driven by the Norwegian results, I would say  
3 there's strong consistency across the case-control  
4 studies and then also the latest pooled analysis that  
5 includes Norway.

6           **Q.**   Strength of association, what's that? And how  
7 did you rate it in this case?

8           **A.**   So that's how high that odds ratio is. And we  
9 saw odds ratios that were 1.3 and we saw odds ratios of  
10 2 and of 3. But whenever we are looking at a higher  
11 dose, the odds ratios seem to move above 2.

12           So strengths of association, we usually say  
13 we're comfortable if we see something higher than 2. I  
14 actually, in environmental epidemiology, I'm very happy  
15 with a 1.2. And that is because the more common  
16 exposures are, the less able you're actually to see very  
17 strong effects.

18           You are able to see a 20 percent, a  
19 50 percent. It's a statistical issue. It's really  
20 complex why that is the case. But definitely here we  
21 are seeing 2.3-folds when we're going to the higher  
22 levels. That's strengths of association. But if we say  
23 ever/never, then it's moderate.

24           **Q.**   Moderate for ever/never?

25           **A.**   Because it's around 1.5.



1 Q. Moderate for ever/never, and then for longer  
2 use it's what?

3 A. It's strong.

4 Q. Is it greater than 10 days?

5 A. Yeah.

6 Q. All right. Tell us about biological  
7 plausibility.

8 A. So that is when we're starting to actually  
9 look at our colleagues' results in animals and in  
10 mechanistic studies of cells, lymphocytes in human  
11 beings. So if we see that glyphosate also has an effect  
12 in that mechanistic sense by generating genotoxicity,  
13 oxidative stress, endocrine disruption, and that makes  
14 sense with the cancer that we're looking at in humans,  
15 we say there is biologic plausibility.

16 So we're moving from the experimental  
17 mechanistic side to the humans. And, yes, there is  
18 absolutely biologic plausibility here.

19 Q. Yes.

20 A. Yes.

21 Q. All right. What is gradient?

22 A. That's our dose response. So we saw it.

23 Q. Okay. How should I characterize it?

24 A. Yes, there is a gradient.

25 Q. Gradient or dose response.

1           A.    Right.

2           Q.    Meaning more exposure, more risk?

3           A.    Right.

4           Q.    Temporality?

5           A.    Absolutely because these farmers were exposed  
6 prior to their occurrence of NHL.

7           Q.    So, yes?

8           A.    Yes.

9           Q.    Specificity?

10          A.    By the way, that's the only criterion,  
11 temporality, that is absolutely necessary to assess  
12 causality.  If we know that something came after the  
13 fact, it's not causal; right?  But with everything else,  
14 we have more leeway.  But temporality is established and  
15 has to be established.

16          Q.    Okay.  What is specificity?

17          A.    That means that it's not just causing every  
18 disease and every cancer, that it's causing a specific  
19 cancer and that there's biologic plausibility for that.  
20 So it's given since we're looking at NHL.

21          Q.    So, yes?

22          A.    Yes.  And we saw in other papers that  
23 glyphosate was not linked to other types of cancer.

24          Q.    What's coherence?

25          A.    Coherence is kind of everything taken together

1 and do I see anything that kind of rubs me wrong and is  
2 questionable in the evidence from humans, from animals,  
3 and mechanistically. So does a coherent picture emerge  
4 or not? It does.

5 Q. It does.

6 Last question. You've been very patient, as  
7 has everyone.

8 Does Roundup cause non-Hodgkin's lymphoma in  
9 real world exposures?

10 A. Yes, it does.

11 Q. Does the more you're exposed increase your  
12 risk in non-Hodgkin's lymphoma?

13 A. Yes.

14 MR. MILLER: Thanks, everyone. I'm done.

15 THE COURT: Thank you.

16 Do you need a minute to change the technology,  
17 or are you ready to go?

18 MR. EVANS: I think we can get started, and  
19 then we'll probably take a break whenever Your Honor  
20 wants, but I can probably get started without changing  
21 much.

22 THE COURT: Great.

23 We'll have cross-examination by defense  
24 counsel, Mr. Evans.

25 ///

CROSS-EXAMINATION

1  
2 **BY MR. EVANS:**

3 Q. Good afternoon, Dr. Ritz. My name is Kelly  
4 Evans. Good to talk with you.

5 **MR. EVANS:** Good afternoon, everyone, ladies  
6 and gentlemen of the jury, and Your Honor.

7 Q. I guess I just wanted to start by just making  
8 sure I have some understanding definitionally of a  
9 couple of things you talked about. And I wanted to just  
10 start --

11 **MR. EVANS:** If I can have the ELMO, please.

12 Q. This was your example; correct?

13 A. Yes, this graph, yes.

14 Q. Okay. And just trying to make sure I  
15 understand. If we are talking about an absolute risk,  
16 let's just pick a random number, say 10 in a million,  
17 okay, that's the actual absolute risk. Does that make  
18 sense?

19 A. Yes.

20 Q. Okay. And so just to make sure I understand  
21 what the -- this is, you said, an odds ratio or a  
22 confidence interval; correct?

23 A. That's an odds ratio.

24 Q. Odds ratio or relative risk.

25 A. Yes.

1           Q.    So if you have the actual risk of 1 -- or  
2   10 in a million, that's the background or baseline risk.  
3   Do you understand that?

4           A.    I'm not sure that I understand that, no.

5           Q.    Okay.  Do you -- there's such a thing that's  
6   called a baseline risk; is that fair?

7           A.    It's usually the risk in the unexposed, yes.

8           Q.    Okay.  Risk in the unexposed.

9           A.    Yes.

10          Q.    And in this example, let's assume whatever  
11   we're studying the risk is 10 in a million.

12          A.    Okay.

13          Q.    All right?  Now, if you then look at exposed  
14   group --

15          A.    Yes.

16          Q.    -- with a relative risk or odds ratio of 1.5,  
17   the number would be -- go from 10 to 15?

18          A.    Yeah, 15 in a million.

19          Q.    Okay.  And regardless of what that baseline  
20   risk is, that's what the relative risk of the odds ratio  
21   results in.  So if you had, for example, 10 in a  
22   thousand, you would just go from 10 in a thousand to  
23   15 in a thousand.

24          A.    Correct.

25          Q.    Is that right?

1           A.    Yes.

2           Q.    Okay.  And if you're looking then at a group  
3 of individuals who all were exposed to whatever you're  
4 studying, and in this case there are 15 of them who have  
5 the disease or condition you're looking at, you would  
6 say with that 15 that you have exposure and disease,  
7 that 10 of them were the background, that's what you  
8 would expect with unexposed, and then the five of them  
9 you would say that's the additional that you've got from  
10 the exposure; correct?

11          A.    That's what we usually call the excess risk  
12 due to exposure.

13          Q.    Okay.  Okay.  But that 10 that's in the  
14 background group doesn't go away, you still have to  
15 account for that 10 that originally exists; correct?

16          A.    That's why we have a reference group, yes.

17          Q.    Okay.  All right.  Thank you.

18                Now, you --

19           **MR. EVANS:**  Can I just have my boards -- where  
20 are my big boards at?  Over here?

21          Q.    So, Dr. Ritz, I'm just showing here a printout  
22 of the definition of limited evidence of carcinogenicity  
23 from the IARC preamble.  Are you familiar with that?

24          A.    Yes.

25          Q.    Okay.  And just so I --

1                   **MR. EVANS:** Let me scoot by here, sorry.

2                   Just so I make sure -- can you see okay?

3                   **Q.** The definition by IARC with respect to limited  
4 evidence of carcinogenicity says:

5                                 A positive association has been  
6                   observed between exposure to the agent and  
7                   cancer for which a causal interpretation  
8                   is considered by the working group to be  
9                   credible but chance, bias, and confounding  
10                  could not be ruled out with reasonable  
11                  confidence.

12                  Did I read that correctly?

13                  **A.** That's correct.

14                  **Q.** Okay. Now I want to just talk for a minute  
15 about those three: Chance, bias, and confounding.

16                  **A.** Yes.

17                  **Q.** Okay? And chance we talked about today. I  
18 think you talked about it with respect to statistical  
19 significant; is --

20                  **A.** Yes, that's what chance is. So by chance,  
21 would I, if I repeat this 100 times, find this estimate  
22 or something that is beyond the whiskers on either side.

23                  **Q.** Right. And IARC is using that 95 percent  
24 confidence interval --

25                  **A.** Yes.

1 Q. -- that most all these studies are using;  
2 correct?

3 A. Correct.

4 Q. Now. Bias, we talked about a little bit I  
5 think with Dr. Jameson and maybe with Dr. Portier. But  
6 could you tell the ladies and gentlemen of the jury what  
7 bias means.

8 A. Yes, actually that's my class I teach, ten  
9 weeks, six hours a week.

10 Q. Well, why don't we not take 10 weeks --

11 A. So it could take a while.

12 Q. Okay.

13 A. But basically it is confounding. Confounding  
14 is one bias, selection bias, and exposure and disease  
15 misclassification bias. So those are the three big ones  
16 in epidemiology that we need to consider.

17 Q. And where is recall bias? What is that?

18 A. That's a selection bias.

19 Q. Okay. And I think Dr. Jameson mentioned  
20 something about if, for example, you're studying --

21 A. Oh, sorry. I misspoke. It's an exposure  
22 misclassification.

23 Q. Okay.

24 A. Yeah.

25 Q. Dr. Jameson said something about if you're



1 studying individuals who have NHL and you ask them  
2 whether they've used Roundup before, the fact that  
3 you're asking that question may result in someone having  
4 an incorrect recall of what they actually were exposed  
5 to. Is that a fair example?

6 **A.** We have always worried in studies where we're  
7 starting with case status, that people who have the  
8 disease are reporting in the same way as the controls,  
9 and that's what we would call a recall bias if they are  
10 systemically reporting differently.

11 But so far that has never been shown to be the  
12 case in these farmer studies.

13 **Q.** And then confounding, again, I know you may  
14 have a class that goes on for 10 weeks, but what is  
15 the -- what's an example of confounding? What does that  
16 mean in this context?

17 **A.** So confounding bias is a bias where I'm  
18 confounding two factors' effect with each other. So I'm  
19 thinking it's one pesticide, but it's another pesticide.

20 So the first thing I need to know is: Do I  
21 know about any pesticides that are causing NHL? If I  
22 know one, then I need to be concerned because if that  
23 pesticide really truly causes NHL and my pesticide that  
24 I'm interested in is also applied whenever the other  
25 pesticide is applied, then I don't know which one of the

1 two is actually causing the effect. So it's a mixing of  
2 the effects of two different factors.

3 But for something to be a confounder, the  
4 first question always is: Is it a risk factor for the  
5 outcome? If it's not, it's not a confounder.

6 Q. All right. And the confounding issue here --  
7 and again, I think Dr. Jameson and Dr. Portier talked  
8 about that there are other pesticides that they believe  
9 are confounding, confounding with respect to the risk of  
10 NHL. Do you agree with that?

11 A. No. Because according to IARC, I don't think  
12 there is a pesticide that we are as concerned about in  
13 terms of carcinogenicity. But in order to be careful,  
14 yes, if there is even some probable cause for thinking  
15 that a pesticide could cause it, then, yes, I would want  
16 to put it in the model and see whether it changes the  
17 effect or not.

18 Q. And do you think controlling for confounding  
19 is an important part of epidemiology?

20 A. It is important, but not as important as  
21 getting the exposure assessment right. And that has  
22 often been misstated in the literature on -- and  
23 misunderstood by individuals.

24 Q. But if you have confounding, that's a separate  
25 issue from statistical significance. Agree?

1           **A.**    Yes.

2           **Q.**    Okay.  And so before you really look at  
3 whether something is occurring by chance or is  
4 statistically significant, it's important to control for  
5 confounding if you have a reason to control for it.

6           **A.**    Yeah.  So one could say chance or  
7 statistically significant testing which tests for chance  
8 occurrence, that's a random error.  So how much is there  
9 random error in my data.  While bias is systematic.  
10 Bias is what draws systematically my estimate towards  
11 the null, across the null, or sometimes away from the  
12 null.

13          **Q.**    And confounding, in a lot of the studies we  
14 looked at or at least some of the studies, they looked  
15 at trying to look at other specific pesticides and  
16 controlling for that; correct?

17          **A.**    Yes.

18          **Q.**    And some did not; correct?

19          **A.**    Well, yes and no.  Some studies put all sorts  
20 of pesticides in without considering that first element  
21 of:  Do all of these pesticides really cause NHL?  And I  
22 would say they generated confounding rather than  
23 corrected for confounding.  Because you can actually  
24 generate confounding by putting something into a model  
25 that is not a confounder, that's not a risk factor for

1 the outcome.

2 So you have to be very careful when you're  
3 saying I'm adjusting for a confounder because if it  
4 doesn't follow the rule of truly being a risk factor for  
5 the outcome, you generate confounding.

6 Q. And a good example of that I think you've  
7 talked about before is the De Roos 2003 study where it  
8 controlled logistical regression for all 47 different  
9 pesticides; right?

10 A. That one did not -- the 2.1, is that what  
11 you're talking about?

12 Q. Correct.

13 A. That controlled for all pesticides, correct.  
14 But it was not -- it wasn't -- what are you saying?  
15 They didn't compare it to a crude.

16 Q. Right. I'm just saying I believe you said  
17 before that that wouldn't be the way you would do that  
18 study.

19 A. I wouldn't do that, yes.

20 Q. Right. Because you want to look -- you said  
21 before you want to actually use your brain to figure out  
22 which ones potentially or likely will be actually  
23 confounding as opposed to just doing them all; right?

24 A. That is the preferential treatment. But you  
25 can actually -- there's a trick. You can actually

1 generate the crude estimate. You can even do it -- I  
2 could even do it here with a calculator from the numbers  
3 that De Roos gave and then compare the adjusted -- fully  
4 adjusted one to the crude, and you would see it's very  
5 close. And when you know it's very close, then there's  
6 no confounding.

7 Q. All right. Now I want to step back. I want  
8 to just get some of those definitional issues regarding  
9 the IARC statement and then making sure I understood  
10 what a relative risk is and what the background rate is.

11 Just a couple baseline things.

12 You're not here to talk specifically about  
13 Mr. and Mrs. Pilliod; correct?

14 A. No.

15 Q. You haven't looked at their medical records,  
16 you don't have specific opinions about their case;  
17 correct?

18 A. No.

19 Q. Okay. So I said "correct?" I think that's  
20 "yes."

21 A. Yes.

22 Q. Okay.

23 Now I want to go back and start by looking  
24 just a little bit at your CV. And I have a couple of  
25 questions about that. This is the exhibit that

1 Mr. Miller showed you earlier.

2 **MR. EVANS:** If I could have the ELMO back.

3 **Q.** And this is what I believe is Exhibit 3055 in  
4 your binder. Do you have that there?

5 **A.** Yes.

6 **Q.** Okay. And so this is as of January 2019 the  
7 CV you prepared; correct?

8 **A.** Yes.

9 **Q.** Okay. Now if you look at the second page, you  
10 have an entry here that Mr. Miller touched on briefly  
11 that says that from 2001 to current --

12 **A.** Oh, that's a mistake.

13 **Q.** Right.

14 **A.** Yeah. It shouldn't be "current."

15 **Q.** It says -- well, this is your CV that you  
16 prepared; correct?

17 **A.** Well, I don't go over it every month.

18 **Q.** Okay.

19 **A.** Except for adding papers.

20 **Q.** All right. Just want to make sure the ladies  
21 and gentlemen of the jury understand, though --

22 **A.** Yes.

23 **Q.** -- you have on your CV that from 2001 to  
24 current, and then you say --

25 **A.** It should state 2018.

1 Q. Okay.

2 A. Sorry.

3 Q. 2018?

4 A. Because this was January 2019. But it should  
5 say 2018. I was a member of that board until 2018.

6 Q. All right. And you -- it goes on to say that  
7 you were the chair since 2005.

8 A. Yes.

9 Q. Is that accurate or not?

10 A. That's accurate.

11 Q. Okay. And you were a member since 2001 of the  
12 External Advisory Committee for the NCI/NIEH  
13 Agricultural Health Cohort Study. That's the AHS study  
14 that we've been talking about today?

15 A. That's correct.

16 Q. Okay. Now in that role, you were able to have  
17 input into the study; correct?

18 A. Since 2001, yes. And it ended in 2000 -- I  
19 think '8 because after that they never convened an  
20 advisory panel anymore.

21 Q. Okay. But you just told us, I thought, that  
22 you were the actual chair until 2018; correct?

23 A. Yes. They never told us that they disbanded  
24 it.

25 Q. Okay.

1           A.    So, yes, they from time to time told us, "Oh,  
2 when we have money again, we'll see each other." So,  
3 yeah.

4           Q.    But it's accurate to say that until actually  
5 you were hired by plaintiffs' counsel in this case, you  
6 were the chair, in your mind, of the AHS Advisory  
7 Committee; correct?

8           A.    Of a defunct advisory panel that hadn't been  
9 meeting in almost a decade, yes.

10          Q.    Okay. Well, I'm just trying to understand  
11 what you have on your CV.

12          A.    Yes, I understand. Yeah.

13          Q.    Okay. All right.

14                   And at no time while you were in that position  
15 did you actually raise any of the criticisms that you  
16 raise today regarding the AHS study; correct?

17          A.    No. I actually mentioned that about a year  
18 and a half or two years ago to them.

19          Q.    After you were hired by plaintiffs' counsel  
20 and no longer on the -- chairperson on the committee;  
21 correct?

22          A.    Well, after the paper had come out, yes.

23          Q.    All right.

24          A.    And I had grounds to argue, yes.

25          Q.    Right. After the Andreotti paper came out in



1 2018, you were actually hired by plaintiffs' counsel in  
2 2016; correct?

3 A. That's correct.

4 Q. Just so the ladies and gentlemen of the jury  
5 are clear, though, prior to the time that you were hired  
6 by plaintiffs' counsel, you did not communicate any of  
7 the criticisms that you've talked about today to the  
8 investigators, to the people who were actually running  
9 the AHS study; correct?

10 A. I don't think this is correct because there  
11 were multiple manuscripts that were kind of in  
12 circulation before that since 2013, and I did mention  
13 criticism when they asked me to talk about those  
14 specific papers.

15 Q. Dr. Ritz, were you previously asked whether  
16 you had had any discussions with any of the agriculture  
17 health scientists regarding any study data on glyphosate  
18 and non-Hodgkin's lymphoma, and did you answer that that  
19 you had not?

20 A. It wasn't glyphosate. It was the exposure  
21 assessment I was talking about with them. It wasn't any  
22 results on glyphosate. It was generally -- because we  
23 meet at meetings and we talk, we are colleagues.

24 Q. Right.

25 A. And I asked them about their exposure

1 assessment, about their follow-up problems, about their  
2 imputation, because that's my profession, exposure  
3 assessment. Not specifically to glyphosate, but to all  
4 pesticides that they were analyzing. Yes, we discussed  
5 those.

6 Q. Now, specifically you've talked about today  
7 that you were concerned about the glyphosate exposures  
8 because of the increased use; correct?

9 A. Well, the change in use.

10 Q. Right.

11 A. The change.

12 Q. You've talked about that it went up  
13 dramatically which could result in misclassification;  
14 right?

15 A. Yes.

16 Q. Right. But you never told them, because you  
17 didn't talk about glyphosate, you never told that to any  
18 of the scientists at the committee while doing the  
19 study; correct?

20 A. Not specifically because that wasn't --  
21 glyphosate wasn't something I was very interested in.

22 Q. Right. And in fact, before you were hired in  
23 this case, you had actually never studied glyphosate or  
24 its relationship or possible relationship to NHL;  
25 correct?

1           A.    To NHL, no.

2           Q.    Right.

3           A.    However, I did study glyphosate for other  
4 outcomes in the State of California.

5           Q.    Just not NHL which is what we're talking  
6 about; correct?

7           A.    Correct.  Yes.

8           Q.    Now, you talked about that the committee  
9 didn't meet for, you said since the mid 2000s; is that  
10 what you said?

11          A.    Yeah, I think either 2008 or 2009.  That  
12 was -- I know I was chair once.

13          Q.    And the classification or misclassification  
14 issue you talked about, you didn't talk about glyphosate  
15 specifically, but did you say to them, "Hey, we ought to  
16 do another questionnaire, and let's have a more  
17 comprehensive questionnaire"?

18                Did you send any e-mails to anyone saying,  
19 "Let's" -- "We've got to do that"?

20          A.    Well, what I told them a lot of times is they  
21 should have done the study in California because we have  
22 records of pesticide, yes, and they wouldn't have had  
23 this problem because it would all be documented.

24          Q.    Okay.  Well, my question is a little  
25 different, Dr. Ritz, which was:  The studies ongoing,

1 when you joined it as the -- you became the chair of the  
2 advisory committee.

3 A. Yes.

4 Q. Right? The study is ongoing in North Carolina  
5 and Ireland. So saying you should have gone to  
6 California probably doesn't exactly help the  
7 investigators do much, does it?

8 A. Exactly. That's why we said it kind of too  
9 bad.

10 Q. Okay. But the U.S. government National Cancer  
11 Institute is spending tens of millions of dollars doing  
12 a study that you don't feel like you should tell the  
13 investigators, "Hey, there's a way we could fix this"?

14 A. We couldn't --

15 (Simultaneous colloquy.)

16 **BY MR. EVANS:**

17 Q. We --

18 A. We couldn't fix it because they had already  
19 done everything and started everything. They had done  
20 their baseline in 1993 through 1997. I came on the  
21 advisory board in 2001. They were in the middle of the  
22 follow-up. There was nothing I could do.

23 They were already doing everything they  
24 thought was the best to do at that time. And I got  
25 stuck with that. And that's when I kind of smilingly

1 said, "Well, maybe you should have done this in  
2 California because you would actually have had records,  
3 sorry."

4 Q. And so instead of suggesting, "Hey, why don't  
5 we send out another questionnaire that's more  
6 comprehensive" -- I mean, you knew what the  
7 questionnaire was; correct?

8 A. Yes.

9 Q. Right. And instead of saying, "Hey, why don't  
10 we send out another questionnaire and get the data we  
11 need to make this study that's ongoing meaningful  
12 according to Dr. Ritz," you just said, "We should do it  
13 in California"?

14 A. No. But you cannot change course in the  
15 middle of a second assessment. They already had started  
16 the follow-up in 1999. I came as an outsider in 2001.  
17 It took me a year to realize what they were doing. It  
18 was already 2002. By 2003-4, they were done. There was  
19 nothing I could do or propose anymore that would have  
20 changed what they were doing in the middle of doing.

21 Q. Is the AHS still ongoing?

22 A. I'm not really sure.

23 Q. Okay. So you don't know --

24 A. They're following, yes. What I know is that  
25 whenever they have money to link these individuals to

1 cancer registries, they do it. However, I think they  
2 gave up after the third round of trying to reach people  
3 and having lost yet another 15,000 people who didn't  
4 want to answer, they gave up sending out questionnaires  
5 and trying to reach these people.

6 Q. Now, I want to go back to you talked about the  
7 class that you teach at UCLA; right? You showed a  
8 PowerPoint that you use.

9 A. Yes.

10 Q. Okay. I just want to go back to that.

11 And the slide deck is titled "Introduction to  
12 Cohort Studies"; correct?

13 A. Yes.

14 Q. And this is in the fall of 2012; right?

15 A. Yes.

16 Q. Okay. And in this class that you teach, you  
17 actually teach -- in Table 1 -- in Table 1 you've got  
18 something called "validity for etiologic inference  
19 according to study design."

20 Did I read that correctly?

21 A. Yes.

22 Q. And you listed from your perspective, from an  
23 epidemiologic perspective, the validity of studies --  
24 and by the way "etiologic" is causation; right?

25 A. Yes.

1           Q.    Okay.  And you've listed here randomized  
2 clinical trial is the highest form of evidence; right?

3           A.    That's what this table lists.

4           Q.    Well, this is from your class?

5           A.    It's not a table I made.

6           Q.    Okay.

7           A.    It's a table from a publication, and you can  
8 see the citation at the bottom.

9           Q.    Right.  Clearly you didn't make it.  I've  
10 certainly seen this before.

11                   And the question is:  In your class, you're  
12 teaching students that this is the well understood and  
13 recognized hierarchy of epidemiology studies; correct?

14           A.    No.  Absolutely false.  This is a table that I  
15 use to dispel a myth that this is the right ranking.  
16 Okay?  That's how I use this table.

17                   Because I tell my students that what you call  
18 a prospective cohort study is maybe not really  
19 prospective.  Because the AHS study is called the  
20 prospective cohort study because we're starting in 1993  
21 to follow prospectively for the outcome.  However, we  
22 are going retrospectively assessing exposures because  
23 we're asking them to report lifetime exposures that have  
24 already happened.

25                   So is this now prospective or retrospective?

1 It's both.

2 Q. Okay.

3 A. And what I also teach them is that a nested  
4 case-control study has exactly the same validity as a  
5 cohort study and they should not make the mistake to use  
6 this ranking.

7 Q. All right. Just to be sure, whether you call  
8 the AHS either prospective -- and "prospective" meaning  
9 you start today and you just look forward; right?

10 A. Correct.

11 Q. Or if you include a retrospective component to  
12 it, either one are both higher on this ranking than a  
13 case-control study; true?

14 A. In this table. And as I said, I and others  
15 completely -- including Sander Greenland who taught me  
16 and wrote the textbook on epidemiology, completely  
17 disagree with this kind of ranking. A nested  
18 case-control study has the same validity as a cohort  
19 study if done properly. Actually it can be better than  
20 a cohort study.

21 Q. And a nested case-control study, as I  
22 understand it, is actually a case-control study that's  
23 conducted within a cohort study; right?

24 A. No, not -- that is one way. But another way,  
25 it can be nested also in a population based on a



1 population registry just like all the cancer registry  
2 studies we've seen.

3 Q. All right. And then your course goes on for  
4 several different PowerPoint slides to talk about the  
5 design of the cohort study. You go on for several  
6 slides on design, cohort study examples. Right?

7 A. Correct.

8 Q. And then you've got causal inferences in  
9 cohort studies, experimental versus observational  
10 studies.

11 A. Correct.

12 Q. And then you've got several other slides. And  
13 then you finally get down to where you talk about --  
14 after you go through the cohort studies, you talk about  
15 what you showed the jury earlier today which is just  
16 what you called the disadvantages of the cohort method;  
17 right?

18 A. Correct.

19 Q. Now, right above that, you've got a section  
20 called advantages of the cohort method; correct?

21 A. Right. Because this is my lecture on cohort  
22 studies so I have to present both.

23 Q. Right. But what you didn't do -- and then you  
24 have an example. The Agricultural Health Study.

25 A. Yes.

1           Q.    And none of your slides that talk about the  
2           AHS specifically -- and there are one, two, three, four,  
3           five, six -- and then you go the Ag Health Study topics.  
4           None of those slides actually document back in 2012  
5           before you were hired by plaintiffs' counsel the  
6           criticisms that you said today; correct?  None of those  
7           slides say that?

8           A.    I wouldn't think so.  Why would I give the  
9           answers that I ask my students to figure out?  I don't  
10          do that.  I don't put that on slides.  They have to  
11          write it down.

12                    I ask them questions, we discuss.  I give them  
13          material to discuss.  And then, you know, after that  
14          discussion, they can write the answer.

15          Q.    All right.

16                   **MR. EVANS:**  I think now is a good time to take  
17          a break, Your Honor, if it works for you.  Or should I  
18          keep going?

19                   **THE COURT:**  Can you keep going for another  
20          15 minutes?

21                   **MR. EVANS:**  Sure.

22                   **THE COURT:**  Okay.

23                   **BY MR. EVANS:**

24                   Q.    I wanted to look for a few minutes at the same  
25          data that you had on the chart that Mr. Miller used with

1 you.

2 Now, what I did here was I just -- the forest  
3 plot that was on this side. I didn't recreate because I  
4 wanted to have these numbers big enough and I wanted to  
5 have a column here to talk about whether these studies  
6 were actually adjusted for other pesticides or not.

7 Do you understand?

8 **A.** Yes.

9 **Q.** Okay. Now, with respect to whether Andreotti  
10 and De Roos, those first three were adjusted for other  
11 pesticides, which may be confounders; do you agree with  
12 me they were adjusted?

13 **A.** Yes, they were.

14 **Q.** So I'm going to put yes --

15 **A.** They were adjusted for pesticides. Whether I  
16 agree that they adjusted for the correct ones is a  
17 different question.

18 **Q.** I understand.

19 Now, De Roos 2003 --

20 **A.** Was fully adjusted.

21 **Q.** Okay. And that's the one you talked about  
22 earlier which you have the two different adjustments,  
23 one you called -- I don't know who put this up here, but  
24 you talked about the hierarchal regression.

25 **A.** Yes.

1           Q.    Bayesian.

2           A.    The Bayesian is not an adjustment.  The  
3 Bayesian brings in other knowledge.  It's a weighing  
4 scheme.  It's not -- it has nothing to do with  
5 adjustment.

6           Q.    Right.  So --

7           A.    So only the first one is the one we should use  
8 and should call fully adjusted.

9           Q.    So that's yes.

10          A.    Yes.

11          Q.    And now just to be clear, the De Roos 2003  
12 study was actually looking at, you said, three earlier  
13 studies; correct?

14          A.    It was the combination of data from three  
15 earlier studies.

16          Q.    And those -- that same data -- and I think  
17 we're going to connect the dots here in a second, but  
18 that same data from De Roos 2003 has been reanalyzed in  
19 the NAPP study you talked about; true?

20          A.    Together with McDuffie.

21          Q.    Right.  And so we're going to put down here  
22 the NAPP study just to keep track of this.

23                    But if you bring De Roos -- I'm just going to  
24 draw an arrow down here that goes into the NAPP study  
25 along with McDuffie; correct?

1           A.    Yes.

2           Q.    Here.  So those two go into there.

3                    Now, just so we can hopefully be done today,  
4 the NAPP study and the De Roos study, Dr. Weisenburger,  
5 who's going to be here tomorrow, was one of the  
6 investigator authors on those studies; correct?

7           A.    Yes.

8           Q.    Okay.  So we're going to leave the NAPP study  
9 for him to talk about.

10                   Now with respect to the Eriksson 2008 and the  
11 chart that was prepared by plaintiffs' counsel, it has  
12 two numbers here, one says most adjusted and one says is  
13 not.  Was this number here, you agree, not adjusted for  
14 pesticides?

15           A.    It wasn't.

16           Q.    Okay.  So that Eriksson -- so, I'm sorry.  So  
17 the Bayesian, you want to put "no" on that?

18           A.    No.  The Bayesian was fully adjusted, but it's  
19 not a way of adjustment.  It's a different kind of  
20 analysis.

21           Q.    All right.  So what do you want me to put  
22 there?  Yes, no, or not applicable?

23           A.    Not applicable.

24           Q.    Okay.  Now, Eriksson, this is not adjusted;  
25 correct?

1           A.    Yes.  Not adjusted for pesticides.

2           Q.    For pesticides, which is what I'm talking  
3 about.

4                    And the most adjusted, the 1.51, that was  
5 adjusted?

6           A.    Adjusted, yes.

7           Q.    Now, the 10 days number here on Eriksson, that  
8 was not adjusted; correct?

9           A.    No, because they wouldn't have had the numbers  
10 to do that.

11          Q.    And Hardell and Eriksson, I think this is just  
12 a typo.  This says 1999.  I know there were actually two  
13 Hardell studies, but I think this is actually from --  
14 I'm actually not sure what it's from --

15          A.    2002.

16          Q.    -- the 3.0, that's a 2002; right?

17          A.    Yes.

18          Q.    All right.  So I'll just correct that.  2002.  
19 And was that -- this 3.0 number not adjusted; correct?

20          A.    I don't believe so.

21          Q.    All right.  And the most adjusted, the 1.85  
22 was?

23          A.    Yes.

24          Q.    And McDuffie was not adjusted; correct?

25          A.    Yeah, the ever/never wasn't.

1 Q. Well --

2 A. And the more than two days, I don't think was  
3 either.

4 Q. Right. You talked about earlier that they did  
5 a multivariate assessment up front and decided that they  
6 were not going to adjust because it didn't need to be  
7 adjusted; correct?

8 A. Correct. And then later McDuffie made it into  
9 the NAPP, and the NAPP actually did adjust.

10 Q. Okay, right. And again --

11 A. Yes.

12 Q. -- tomorrow we're going to talk about the  
13 NAPP. I promise it's going to be riveting and very  
14 exciting, but we'll do it.

15 And Orsi I believe was not adjusted; true?

16 A. I'm not sure about that. But I would imagine  
17 they couldn't adjust because they had three cases.

18 Q. Okay. And then again the chart prepared by  
19 plaintiffs' counsel, it actually talks about the studies  
20 that make up these different meta-analyses; you see  
21 that?

22 A. Yes.

23 Q. Okay. And M here is the Orsi study which is  
24 not adjusted.

25 A. But has a very small weight, almost no weight

1 at all.

2 Q. Understand.

3 And then K here --

4 So I'm just going to put not adjusted.

5 And then K and L, those are the two McDuffie  
6 numbers which were also not adjusted; correct?

7 A. Yes.

8 Q. And then if we look at the rest of these,  
9 the -- on each of these meta-analyses use the -- either  
10 the Andreotti or the De Roos data; correct?

11 A. Yes.

12 Q. And that's adjusted.

13 Now C, it's the highest exposure, that's in  
14 Zhang.

15 D and E. D and E, those are --

16 A. Adjust.

17 Q. -- adjusted.

18 F, G, and H.

19 A. Depends on what they used.

20 Q. Right. So G was adjusted, but F was not;  
21 correct?

22 A. Looks like it.

23 Q. And then J, most adjusted, was adjusted; is  
24 that fair?

25 A. Yes.



1           **Q.**    Okay.  So putting aside the issue of chance,  
2           which again is one of the things IARC was concerned  
3           about, if you're looking at the confounding potential by  
4           pesticides, these are what these studies adjusted or did  
5           not adjust for pesticides; right?

6           **A.**    Yes.  But we have to agree that pesticides are  
7           actually risk factors for NHL and which ones are and  
8           which ones aren't.

9           **Q.**    Okay.

10          **A.**    Because, you know, if we don't, then we don't  
11          have to adjust.  And adjustment doesn't do anything.

12          **Q.**    I'm just talking about what the study did;  
13          right?

14          **A.**    Yes.

15          **MR. EVANS:**  I actually do need to set up now  
16          for the next session.

17          **THE COURT:**  That's okay.  We'll take a  
18          15-minute break.

19                    (Recess taken at 2:22 p.m.)

20                    (Proceedings resumed in open court in the  
21          presence of the jury at 2:45 p.m.)

22          **THE COURT:**  Continue, Mr. Evans.

23          **MR. EVANS:**  Thank you, Your Honor.

24          **THE COURT:**  We may need to take another quick  
25          five-minute break at some point.

1                   **MR. EVANS:** Just --

2                   **THE COURT:** We'll just have a short break in  
3 an hour.

4                   **MR. EVANS:** Okay. Sure.

5                   **Q.** So, Dr. Ritz, I got a little ahead of myself.  
6 I wanted to just make sure. You also referenced the  
7 Leon study; correct?

8                   **A.** I didn't hear you.

9                   **Q.** The Leon study.

10                  **A.** Oh, yes.

11                  **Q.** That's the last one that just came out  
12 recently; correct?

13                  **A.** Yes, correct.

14                  **Q.** Now you told the ladies and gentlemen of the  
15 jury about the DLBCL number.

16                  **A.** Yes.

17                  **Q.** This is the study that has the 130,000 or  
18 whatever from France, and this is the big --

19                  **A.** Yes.

20                  **Q.** -- big study; right?

21                  **A.** Yes.

22                  **Q.** You didn't tell the ladies and gentlemen of  
23 the jury what the actual overall NHL number is, did you?

24                  **A.** I wasn't asked.

25                  **Q.** Okay. And that's -- that number is actually

1 in this big study .95; correct?

2 A. Yes, I think so. Do you want me to look it  
3 up?

4 Q. Actually, let's put it up here on the ELMO.  
5 This is again the study you were shown,  
6 Exhibit 2984, and that's the Leon study; correct?

7 A. That's correct.

8 Q. And this is the Table 2 that you were looking  
9 at; right?

10 Confusing when I turn it around in circles.  
11 Right?

12 A. Yes, it is.

13 Q. And the .95, that is for non-Hodgkin's  
14 lymphoma; correct?

15 A. That's correct.

16 Q. Okay. And the confidence intervals there are  
17 .77 and 1.18; correct?

18 A. Correct.

19 Q. Just write that on here. .77. And what was  
20 the second one? 1.18?

21 A. Yes.

22 Q. Now, the NAPP numbers that you put up with  
23 Mr. Miller, those were from the June report; correct?

24 A. I believe so.

25 Q. Right.

1                   And you know, in fact, that there have been  
2 subsequent reports that have different numbers, lower  
3 numbers, and in fact lose statistical significance;  
4 correct?

5           **A.**    Would you want to show me those?

6           **Q.**    Well, do you know or not know?

7           **A.**    There were three different versions.

8           **Q.**    Okay.  Again I'm going to --

9           **A.**    But what numbers are you referring to?

10          **Q.**    Well, I just want to --

11          **A.**    Yeah.

12          **Q.**    Dr. Weisenburger is going to talk about NAPP.

13 I just want to make sure.  You talked about the June  
14 numbers; correct?

15          **A.**    I talked about a study that was presented in  
16 Ontario in June -- on June 3, 2015, yes.

17          **Q.**    And you know that there were subsequent  
18 reports from the same study that have different  
19 findings; correct?

20          **A.**    They don't have different findings.  They're  
21 all very consistent actually.  But they have slightly  
22 different numbers because they're doing different  
23 things, yeah.

24          **Q.**    Okay.  So I'm just going to put down here June  
25 is the Dr. Ritz report that you were using.

1                   And, again, Dr. Weisenburger tomorrow will  
2 talk about, I assume, the later numbers.

3                   (Pause in the proceedings.)

4 **BY MR. EVANS:**

5                   Q.    Now I want to talk a little bit about the AHS  
6 study. And I want to make sure I understand what your  
7 testimony is.

8                   Do you have a problem with the 2005 report?

9                   A.    Yes.

10                  Q.    And you think that that is also not a valid  
11 report, valid study?

12                  A.    The dose response analyses, no, I don't  
13 consider them valid for glyphosate. For other  
14 pesticides, that's a totally different issue, yes.

15                  Q.    And 2018, the second study, you've also  
16 expressed your criticisms; you think both of them are  
17 flawed fundamentally.

18                  A.    Yes.

19                  Q.    And when the 2005 report came out when you  
20 were the chair of the advisory committee in 2005, again,  
21 you didn't talk to any of the investigators about  
22 glyphosate and NHL; true? It's what you testified to  
23 earlier; right?

24                  A.    Yeah, yeah, yeah.

25                  Q.    So you thought it was a flawed report. And

1 you didn't bother to pick up the phone, send an e-mail,  
2 and say, "You know what, this is really mistaken,"  
3 because of the criticisms you've expressed today?

4 A. Well, I had hoped that they could actually  
5 improve upon what they were showing in 2005 with the  
6 follow-up data, and unfortunately they couldn't.

7 Q. Right. But as the chair of the committee  
8 that's advising the study, you didn't actually tell  
9 anybody about your criticisms; correct?

10 A. Well, what would have that -- what would have  
11 been changed by that? Because they had already done all  
12 of their data collection; right? And so the next step  
13 is to do the analyses and see what happens.

14 Q. Okay. My question is a little different,  
15 though; right? Which is you actually didn't tell  
16 anybody about your criticisms; correct?

17 A. I don't remember actually looking specifically  
18 at the study because that wasn't what we were asked to  
19 do when we got together at these meetings.

20 What we were asked to do at the meetings is to  
21 evaluate the whole process of what NIH was doing, not  
22 their results. Okay. And by the time in 2005, they had  
23 already done all of their process and what they mostly  
24 were presenting to us were kind of nested case-control  
25 studies, additional exposure assessment, a little

1 smaller studies.

2 I remember that one session of this meeting --  
3 or several days were just talking about studies where  
4 EPA would and NIOSH would be going out into the fields  
5 trying to actually measure pesticides and trying to find  
6 out more about application methods.

7 So these were the kinds of things that we were  
8 discussing at these meetings, not what they had already  
9 done and couldn't be changed anymore. And not results  
10 either. It was really about process.

11 Q. And my question, I think, was a little  
12 simpler. And I'm sorry --

13 A. Sorry.

14 Q. Which was: The criticisms that you've talked  
15 about today when you were chair of the advisory  
16 committee, you did not tell your colleagues then;  
17 correct? Very simple question.

18 A. No, it's not simple. Because first of all,  
19 nobody asked me to review the specific paper. And  
20 second, I wasn't sure what -- you know, what would it  
21 have helped to go there and say, well, well, well, what  
22 did you do and can't change anymore; right?

23 What I was hoping was that the second set of  
24 data collection would actually improve upon what they  
25 had done in the first round, and unfortunately it

1 didn't.

2           And they were still hoping they would actually  
3 find more farmers. Right? Every year we were told,  
4 "Oh, we're doing everything to actually get to over  
5 90 percent." But they couldn't.

6           And what do you tell people who put their  
7 blood and tears into trying to do this and, you know, in  
8 the end, end up with something that, at least for  
9 glyphosate, doesn't work. Do you pound them over the  
10 head with it when it's already done? No, you don't.  
11 Plus I wasn't asked.

12           **Q.** Are you done?

13           **A.** Yeah.

14           **Q.** Okay.

15           **A.** Thank you.

16           **Q.** So my question again, if you could answer it,  
17 is: Did you tell the investigators when you were chair  
18 of the scientific advisory panel, did you tell them the  
19 criticisms that you -- as expressed today after you are  
20 being paid \$500 an hour to give these criticisms;  
21 correct?

22           **A.** Incorrect. Because who am I supposed to tell  
23 something when a study is not even -- when I'm not even  
24 asked to actually be evaluating that specific  
25 manuscript? Anneclaire De Roos did this for her



1       dissertation work. Okay? She was a junior colleague  
2       who was partially employed by NCI who was doing this  
3       work for that kind of purpose to advance her career.  
4       And she did some really nice work generally. The 2003  
5       paper is wonderful, the 2005 paper maybe not so great.  
6       But I was hoping that she would improve upon it.

7                 Yes, and we did discuss exposure assessment,  
8       but, you know, what do you do when they already did  
9       everything that they did?

10                What I was hoping was that they could actually  
11       go in and assess these exposures in a nested  
12       case-control study in the future. But by the time that  
13       could have been said, there was no more advisory panel  
14       meeting. Because the advisory panel meetings I was at  
15       were all about the cohort, nothing else.

16                **Q.** All right. I think I understand the answer to  
17       my question which is, no, you did not tell anybody. I  
18       understand you've got lots of reasons that you  
19       articulated, but the simple answer is, no, you did not  
20       tell anybody the criticisms that you told the jury about  
21       today; correct?

22                **A.** Well, the Andreotti paper came out, when?  
23       2018? So how can I criticize a paper that hasn't come  
24       out until 2018?

25                **Q.** But you also have criticisms that you just

1 said in the 2005 paper; correct, Doctor?

2 A. For the baseline assessment, yes. But I was  
3 hoping that that could be overcome with the second --  
4 with the second repeat in the field, yes.

5 Q. You were hoping, but you weren't actually  
6 telling any of those invest --

7 A. We were all hoping. We were all hoping that  
8 we would find all of these farmers again.

9 Q. Can I finish my question, please?

10 A. Yes, go ahead.

11 Q. Right. You were hoping, but you weren't  
12 actually communicating to the investigators the  
13 criticisms that you talked about today; true?

14 A. This is really the wrong question. And  
15 there's no answer to it. They were just doing the  
16 second assessment. If they had gotten 98 percent of the  
17 people back to report what happened in the meantime,  
18 they would have been able to do a bang-up job. I  
19 couldn't know that in 2005 that that wouldn't be the  
20 case.

21 Q. All right. Let's -- let's move on.

22 Let's take a look at Exhibit 4106, which is  
23 the Andreotti paper that's been previously published.

24 A. What number?

25 Q. 4106. I've got a copy here. It's a little

1 different number than what you've got. I'll just hand  
2 it up if you'd like.

3 A. Yes.

4 Q. That might be a little bit easier.

5 MR. EVANS: May I approach, Your Honor?

6 THE COURT: Yes.

7 THE WITNESS: Thank you.

8 BY MR. EVANS:

9 Q. And I want to talk about just first with  
10 respect to the Andreotti paper, the methods. Do you see  
11 that described on the first page?

12 A. Yes.

13 Q. Okay. And it explains the AHS is a  
14 prospective cohort of licensed pesticide applicators  
15 from North Carolina and Iowa.

16 A. Yes.

17 Q. (Reading from document:)

18 Here we updated the previous  
19 evaluation of glyphosate with cancer  
20 incidence from registry linkages through  
21 2012 in North Carolina, 2013 in Iowa.

22 Lifetime days and intensity weighted  
23 lifetime days of glyphosate use were based  
24 on self-reported information from  
25 enrollment from '93 to '97.

1                   Did I read that right?

2           **A.**    Yes.

3           **Q.**    And follow-up questionnaires from '99 to 2005;  
4 right?

5           **A.**    Yes.

6           **Q.**    (Reading from document:)

7                   We estimate incidence rate ratios and  
8                   95 percent confidence intervals using  
9                   Poisson regression --

10          **A.**    Poisson.   Poisson.

11          **Q.**    -- controlling for potential confounders  
12 including use of other pesticides. All statistical  
13 tests were two-sided.

14                   Did I read that right?

15          **A.**    Yes.

16          **Q.**    And then it goes on to give the results. And  
17 it states:

18                   Among the 54,251 applicators, 44,000  
19                   used glyphosate including 5,779 incident  
20                   cancers, 79 percent of all cases. In  
21                   unlagged analyses glyphosate was not  
22                   statistically significantly associated  
23                   with cancer at any site.

24                   Did I read that correctly?

25          **A.**    Yes.

1 Q. (Reading from document:)

2 However, among applicators in the  
3 highest exposure quartile there was an  
4 increased risk of acute myeloid leukemia  
5 compared with never users though this  
6 association was not statistically  
7 significant. Results for AML were similar  
8 with a 5-year and 20-year exposure  
9 lagging.

10 Right?

11 A. Yes.

12 Q. And we're not here talking about leukemia;  
13 correct?

14 A. I don't think so.

15 Q. And one of the things that you didn't talk  
16 about today was the lagging that they actually have  
17 looked at; right? Which is they looked at 5- and  
18 20-year reported out different lagging results; correct?

19 A. Yes.

20 Q. And let's take a look at those.

21 And if you turn to Table 3 and the  
22 non-Hodgkin's lymphoma. Do you see that?

23 A. Yes.

24 Q. And there's the 5-year lag. This is on  
25 4106.0006.

1           **A.**    Yes.

2           **Q.**    Okay.  The 5-year lag of non-Hodgkin's  
3 lymphoma.  And then they've got it broken down into  
4 quartiles.  Do you see that?

5           **A.**    Yes.

6           **Q.**    And the different quartiles, I think you  
7 explained this earlier, they actually went through a  
8 process whereby they tried to group the applicators  
9 depending upon how much they actually used and were  
10 exposed to glyphosate; correct?  To Roundup?

11          **A.**    Yes.  The intensity weighted process that I  
12 described as completely faulty.

13          **Q.**    And I understand.  But the authors and  
14 investigators here reported out these numbers.  They  
15 don't think it's completely faulty; correct?

16          **A.**    They are reporting numbers.  I don't know what  
17 they think.  They are reporting what they see, yes.

18          **Q.**    Now, when you look at whether you're in the  
19 lowest exposure group or the highest exposure group,  
20 none of them show an increased risk that's statistically  
21 significant; correct?

22          **A.**    Correct.

23          **Q.**    And they don't show a protective effect that's  
24 statistically significant; correct?

25          **A.**    Nothing is statistically significant.

1           Q.    And if you look over to the right, there's  
2 actually a 20-year lag. Now what does a 5-year lag and  
3 a 20-year lag in this study mean?

4           A.    That's a good question because this is an  
5 intensity-weighted scheme so it's really hard to say  
6 what it really means. But generally it means we are  
7 taking out the last five years of exposure prior to the  
8 onset of disease because we think it's not relevant to  
9 the disease. So we're making the assumption what  
10 happened in the last five years before somebody was  
11 diagnosed is irrelevant.

12          Q.    And it has something to do with the latency of  
13 a disease; correct?

14          A.    That's correct.

15          Q.    And so if, for example, I'm exposed to  
16 something today, and I'm diagnosed with something  
17 tomorrow, depending on the latency of that condition, it  
18 may or may not be related; right?

19          A.    Yes.

20          Q.    Okay. And the -- right below that there is a  
21 B-cell lymphoma; correct?

22          A.    Yes.

23          Q.    And those also, for both the 5-year and  
24 20-year lags, do not show a statistically significant  
25 increased risk; correct?

1           **A.**    They're almost the same because they're almost  
2 the same number.

3           **Q.**    Now, if you go back to page 2 of the exhibit  
4 and it's the second column and it looks like the second  
5 sentence starting with "Using this information."

6                   And the article says:

7                           Using this information three metrics  
8 of cumulative lifetime exposure were  
9 created for each pesticide. Ever/never  
10 use, lifetime days of use (days per year  
11 times number of years), and  
12 intensity-weighted lifetime days (lifetime  
13 days times intensity score.) The  
14 intensity score was derived from an  
15 algorithm based on literature-based  
16 measurements and information provided by  
17 the applicator, specifically whether the  
18 participant mixed or applied pesticides,  
19 repaired pesticide-related equipment, used  
20 personal protective equipment, and  
21 application method used.

22                   Right?

23           **A.**    Yes.

24           **Q.**    Okay. And so they are there, at least, trying  
25 to explain, and I know it's not to your satisfaction,



1 but they're explaining the method they went to to put  
2 people in different quartiles of exposure and assess the  
3 results. Fair?

4 **A.** This is their way of explaining how they've  
5 generated these quartiles. However, what they're not  
6 saying here is how they derived this data. They're not  
7 saying that they asked for 21 pesticides one question:  
8 Did you repair? We don't know whether they repaired  
9 when they used glyphosate. We don't know whether they  
10 used personal protective equipment and which kind when  
11 they actually used glyphosate.

12 If they used more than one pesticide, it could  
13 be any pesticide. So what they are reporting is what  
14 any pesticide. We're assuming that what they're  
15 reporting in one question applies to glyphosate. A huge  
16 problem.

17 **Q.** A huge problem that until you were being paid  
18 by plaintiffs' counsel, you didn't bother to tell them  
19 with respect to glyphosate; correct?

20 **A.** We have discussed exposure assessment  
21 ad nauseam at these meetings. How they translate into  
22 papers depends on the first author.

23 **Q.** Now --

24 **A.** I would not have done these quartiles, but  
25 they like them.

1 Q. So you disagree with the authors?

2 A. I disagree with the way they analyzed this  
3 data, yes.

4 Q. All right. And then if you go to page 7,  
5 first full paragraph says "In our study." Are you with  
6 me?

7 A. Yes.

8 Q. (Reading from document:)

9 In our study, we observed no  
10 association between glyphosate use and NHL  
11 overall or any of its subtypes. This lack  
12 of association was consistent for both  
13 exposure metrics, unlagged and lagged  
14 analyses, after further adjustments for  
15 pesticides linked to NHL and previous AHS  
16 analyses, and when we excluded multiple  
17 myeloma from the NHL grouping.

18 Did I read that correctly?

19 A. Yes.

20 Q. Now, one of the criticisms you have raised  
21 today relates to the issue of imputation of data for  
22 individuals who didn't respond; correct?

23 A. That's correct.

24 Q. Okay. And if you go to page 4 of the study,  
25 the second -- I guess it's the first full paragraph on

1 the left, down below the numbers it says: To evaluate  
2 the impact -- do you see that?

3 A. Not yet.

4 Q. Second paragraph down a little bit with all  
5 those numbers. Keep going. There you go. Right there.

6 A. Okay.

7 Q. Down.

8 A. Thank you.

9 Q. "To evaluate the impact," below the numbers.  
10 There we go. Great.

11 To evaluate the impact of using  
12 imputed exposure data for participants who  
13 did not complete the follow-up  
14 questionnaire, we limited the analysis to  
15 34,698 participants who completed both  
16 questionnaires, reducing the total number  
17 of cancer cases to 4,699.

18 Right?

19 A. Yes.

20 Q. And then it says:

21 Glyphosate use was not associated  
22 with NHL.

23 Did I read that correctly?

24 A. Yes, you did.

25 But that doesn't negate my criticism of their

1 baseline questionnaire or their follow-up questionnaire.

2 **MR. EVANS:** Move to strike, Your Honor. No  
3 question pending.

4 **THE COURT:** Granted.

5 **BY MR. EVANS:**

6 **Q.** Now, Dr. Ritz, you have previously stated that  
7 you actually admire your colleagues for doing the AHS  
8 study; correct?

9 **A.** Yeah, it took a lot of courage.

10 **Q.** Right. And you think there's a lot of useful  
11 data that's resulted from this study; correct?

12 **A.** Yes, for other pesticides.

13 **Q.** I understand. You today are saying that with  
14 respect to glyphosate this study is hopelessly flawed,  
15 but, again, didn't bother to tell people that before you  
16 actually started being paid by the attorneys; correct?

17 **A.** I don't know what I should say.

18 **Q.** Okay. Well, you can answer "yes" or "no."

19 **A.** Well, if somebody had asked me about  
20 glyphosate, I would have said exactly what I'm saying  
21 today, yes.

22 **Q.** Even though you said earlier that you hadn't  
23 actually looked at NHL and glyphosate; right?

24 **A.** If somebody had asked me to look at it, I  
25 would have made exactly the same criticism and no

1 exception.

2 Q. Now, there are over 250 peer-reviewed  
3 publications based on the AHS data; correct?

4 A. Yes.

5 Q. And you would characterize that study as being  
6 a very productive study with respect to the amount of  
7 results that have been generated?

8 A. It's a very productive study, yes.

9 Q. And you also think you've said before the AHS  
10 is in fact a beautiful study; correct?

11 A. I have said that, yes.

12 Q. And in fact, Dr. Andreotti actually won an  
13 award in 2018 for the publication that you've been  
14 criticizing; correct?

15 A. I don't know.

16 Q. You don't know that she won an award by --

17 A. No.

18 Q. -- the National Cancer Institute for the very  
19 publication that you are now criticizing?

20 A. I don't know it, no.

21 Q. Have you looked for that?

22 A. Why would I?

23 Q. Well, you were questioned about it before;  
24 right?

25 A. I didn't know. I'm not looking up people for

1 their awards. That's not what I do. I have other  
2 things to do. Sorry.

3 But, yes, maybe she did. That's fine. They  
4 honor and award awards to people who do a lot of work,  
5 and she probably did a lot of work. That doesn't mean I  
6 have to agree with her results or I have to agree with  
7 how she did the work.

8 Q. And it doesn't mean that she has to agree with  
9 your criticisms; right?

10 A. What?

11 Q. It doesn't mean that she has to agree with  
12 your point of view either; correct?

13 A. But there are others there agreeing with mine  
14 as well.

15 Q. Now, just to be clear, the Agricultural Health  
16 Study has not been in any way funded by Monsanto or  
17 industry; correct?

18 A. No.

19 Q. They did not have input or control over the  
20 study; correct?

21 A. As far as I know, Monsanto criticized this  
22 study many, many, many times.

23 Q. Okay. And my question was a little different,  
24 which is: Did they have input or control?

25 A. Well, they certainly tried to exert control in

1 these meetings that I was at by criticizing this study  
2 and by publishing criticisms about the study.

3 Q. Now, the evolution of the AHS over time, you  
4 understand that there have been several papers, and we  
5 talked about 250 different papers, we talked about two  
6 of them with respect to NHL.

7 A. Yes.

8 Q. But there've been a lot of updates to the  
9 results of the AHS; correct?

10 A. I'm not sure I know what you mean.

11 Q. Well, the evolution of the understanding of  
12 the methodologies that were being used is, now in 2018,  
13 understood where it wasn't perhaps 20 years ago; right?

14 A. Those methodologies have not changed. I mean,  
15 they asked their questions in 1993 to 1997, that was  
16 their methodology and that's -- you know, there's  
17 nothing we can change about that. We would probably do  
18 it differently now if we designed another study, yes.

19 Q. Now, you talked about the 2005 results.

20 And one of the authors --

21 **MR. EVANS:** May I approach, Your Honor?

22 **THE COURT:** Yes.

23 **BY MR. EVANS:**

24 Q. You said a minute ago that Dr. De Roos  
25 apparently was not experienced when she did this, but

1 this was also Dr. Blair who we've heard a lot about who  
2 ended up being on IARC was actually on the 2005 paper;  
3 correct?

4 **A.** He was on this, yes.

5 **Q.** Okay. Let's take a look at it for a minute.  
6 And just look at the abstract at the top. It says:

7           Glyphosate is a broad spectrum  
8 herbicide that is one of the most  
9 frequently applied pesticides in the  
10 world. Although there has been little  
11 consistent evidence of genotoxicity or  
12 carcinogenicity from in vitro animal  
13 studies, a few epidemiologic reports have  
14 indicated potential health effects of  
15 glyphosate. We evaluated association  
16 between glyphosate exposure and cancer  
17 incidence in the AHS, a prospective cohort  
18 study of 57,311 licensed pesticide  
19 applicators in Iowa and North Carolina.  
20 Correct?

21 **A.** That's what it says.

22 **Q.** (Reading from document:)

23           Detailed information on pesticide use  
24 and other factors was obtained from a  
25 self-administered questionnaire completed



1 at the time of enrollment from '93 to '97.  
2 Among private and commercial applicators,  
3 75.5 percent reported having ever used  
4 glyphosate of which 97 percent were men.  
5 In this analysis, glyphosate exposure was  
6 defined as, A, ever personally mixing or  
7 applying products containing glyphosate,  
8 B, cumulative lifetime days of use or  
9 cumulative exposure days, years of use  
10 times days per year, and C,  
11 intensity-weighted cumulative exposure  
12 days, years of use times days by years  
13 times estimated intensity level.

14 Do you see that?

15 **A.** Yes, the same method Andreotti reported.

16 **Q.** Okay. So they are looking at, again, the  
17 different usage of Roundup -- of glyphosate by these  
18 different agricultural workers; correct?

19 **A.** What was that?

20 **Q.** They're looking at the use of glyphosate or  
21 Roundup by these agricultural workers, and they're  
22 trying to assess which of them are being exposed a lot,  
23 which of them are being exposed not so much, and then in  
24 between; right?

25 **A.** Well, they asked them between 1993 and 1997

1 about their lifetime use of glyphosate, and then they  
2 used that to turn it into these exposure estimates.

3 Q. And if you turn to page 4, the discussion,  
4 first sentence there says:

5 There was no association between  
6 glyphosate exposure and all cancer  
7 incidence or most of the specific cancer  
8 subtypes we evaluated, including NHL,  
9 whether the exposure metric was ever used,  
10 cumulative exposure days, or  
11 intensity-weighted cumulative exposure  
12 days.

13 Right?

14 A. Yes.

15 Q. Now, one of the benefits of a prospective  
16 cohort study is that you can measure people's use going  
17 forward; correct? You're following a group of people  
18 and you can see what they're doing going forward; right?

19 A. You wish that that's what they had done, but  
20 they didn't.

21 Q. I'm talking about in general. A cohort study,  
22 that's one of the things that you can do.

23 A. That's one of the things that studies like the  
24 Harvard Nurses' Health Study does by sending  
25 questionnaires every two years to 100-some-thousand

1 nurses who every two years report and have a follow-up  
2 of over 90 percent in these nurses. That's a different  
3 type of study.

4 This study lost in the first five years  
5 38 percent of the cohort.

6 Q. Understand. I think --

7 A. Yeah.

8 Q. -- with respect to the Andreotti paper and the  
9 analysis, I think we all understand you have some  
10 serious criticisms you're expressing today; right?

11 A. Yes.

12 Q. Okay. And the benefit of being the chairman  
13 of the Science Advisory Panel would be that you could  
14 have expressed them and hopefully have changed how it's  
15 being done; correct?

16 A. No, I couldn't.

17 MR. MILLER: Your Honor, argumentative. Asked  
18 and answered now eight times.

19 THE COURT: So I'm going to sustain the  
20 objection to the extent that it does cover ground that's  
21 been covered. But I would also ask Dr. Ritz just to  
22 answer exactly what's being answered -- asked. Not  
23 answered.

24 THE WITNESS: Yes.

25 ///

1       **BY MR. EVANS:**

2           **Q.**    Now, in contrast to the prospective cohort,  
3           you can also have a retrospective and look back;  
4           correct?

5           **A.**    Yes.

6           **Q.**    And one of the problems with the look back  
7           potentially in a cohort -- and I'm not talking about the  
8           AHS, just talking about in general --

9           **A.**    Yes.

10          **Q.**    -- is this whole issue about recall bias that  
11          we talked about earlier; right?

12          **A.**    No, that's not what is the problem with  
13          retrospective cohort studies.

14          **Q.**    Okay.  If you're looking backwards and trying  
15          to remember what you used 10 or 20 or 30 years ago, you  
16          don't think that could be a problem?

17          **A.**    That's not what we call a retrospective cohort  
18          study technically.

19                    A retrospective cohort study starts in the  
20                    past.  For example, I start with a worker cohort in 1950  
21                    for whom I have records of having worked in an industry,  
22                    and I follow them forward up to now in terms of every  
23                    exposure and their outcome.  That's what we call a  
24                    retrospective cohort study.  There's no recall.  There  
25                    are records.

1           Q.    Okay.  When does a recall bias become an issue  
2 for you in epidemiology, or does it?

3           A.    It is.  So it is an issue in case-control  
4 studies because we're asking people to remember what  
5 they did throughout their whole life.  And it's the same  
6 issue in cohort studies if you're asking people at the  
7 beginning of the study to recall everything they did  
8 during their whole life.  And then you're not following  
9 them every two years with the same question to update  
10 this.  Because you can remember much easier in a  
11 two-year period than you can your whole lifetime.

12          Q.    And if you are in a study like a case-control  
13 study and if you're someone who has the condition that  
14 is being analyzed and you're asked to look back 10 or 20  
15 or 30 years, that data may not be reliable; fair?

16          A.    It may or may not be reliable.  However, we  
17 have techniques to make it more reliable by spending a  
18 lot more time and effort on every case and every  
19 control, going over records with them, and giving them a  
20 lot of time to remember and look at their records, talk  
21 to their coworkers, talk to their wives, and then  
22 report.

23                    These workers in the AHS came to take a test  
24 and had half an hour to bubble in.  They were not able  
25 to go back and do all of the very intense records search

1 that in a case-control study you can actually afford  
2 because you have a limited number of people and you can  
3 guide them through it. You can't do that with 56,000  
4 individuals.

5 Q. Again, I wasn't even asking about the AHS. I  
6 was just asking about in general. So I move to  
7 strike --

8 A. Well, yes, but I'm trying to put this in  
9 context for you.

10 MR. MILLER: That answer is responsive. And I  
11 object to that.

12 MR. EVANS: Well, I move to strike as  
13 nonresponsive.

14 THE COURT: Well, the question was -- I'm  
15 going to strike everything regarding AHS. The question  
16 was about generally the cohort or the case study. Just  
17 the general question about the way which a case study  
18 operates. So I'm striking the response regarding the  
19 AHS study which he was not asking about.

20 So just listen very carefully to the question.

21 THE WITNESS: Okay.

22 MR. EVANS: Can I have Exhibit 6625, please.

23 Q. Now you showed the ladies and gentlemen of the  
24 jury a summary of several articles that have been  
25 written that talked about some issues regarding the AHS

1 study; correct? That was one of the things --

2 A. What was that? Which study?

3 Q. Well, there were several letters and several  
4 comments that were summarized on one sheet, and you just  
5 had like one paragraph. Do you remember that?

6 A. Yes. Yes.

7 Q. And I'm just going to show you one response to  
8 one of those letters.

9 MR. EVANS: And may I publish, Your Honor?

10 MR. MILLER: No objection.

11 THE COURT: Yes.

12 (Exhibit published.)

13 BY MR. EVANS:

14 Q. And this is the last of those criticisms was  
15 something that was written by, I think, Sheppard and  
16 Shaffer; correct? That was one of the ones you referred  
17 to.

18 A. Yes.

19 Q. And this is the response to those criticisms  
20 by Dr. Andreotti and the other investigators on the  
21 study; correct?

22 A. It looks like it, yes.

23 Q. Okay. And if you go down in the first  
24 paragraph, it looks like the third sentence, "Although  
25 we agree."

1                   It reads -- and this is, by the way, a  
2 response that's published in the National Cancer  
3 Institute Journal; correct?

4           **A.**    I believe it must be the same journal that  
5 also published the criticism.

6           **Q.**    Right.

7           **A.**    Yeah.

8           **Q.**    But again you showed the criticism, you didn't  
9 actually show this response; right?

10          **A.**    I didn't show the criticism. There was one  
11 quote on a, you know, general slide.

12          **Q.**    Okay. It says:

13                   Although we agree that this method  
14 could theoretically bias risk estimates  
15 toward the null, based on sensitivity  
16 analysis that we conducted and reported in  
17 the manuscript and described more fully  
18 below, we demonstrate that our imputation  
19 likely did not materially impact risk  
20 estimates.

21                   Did I read that correctly?

22          **A.**    Yes, you did.

23          **Q.**    And then if you look at the last paragraph  
24 right before the funding section on the next column. It  
25 says:



1 Overall we believe that these data --  
2 And that's talking about the Andreotti paper  
3 that we looked at; correct?

4 A. Yes.

5 Q. (Reading from document:)

6 -- demonstrate that not including  
7 outcome information our imputation of  
8 glyphosate exposure did not introduce  
9 meaningful bias in our cancer risk  
10 estimates associated with this pesticide.  
11 Did I read that correctly?

12 A. Yes.

13 But that wasn't about my criticism.

14 Q. I understand.

15 And on the next page, there's a table with  
16 evaluation imputation method; right?

17 A. Yes.

18 Q. And so, again, one of the criticisms you  
19 talked about today was the imputation of data. And the  
20 authors have actually responded and evaluated that  
21 criticism and said they don't believe, given their  
22 methodology, their statistical approach, that it had an  
23 impact; true?

24 A. They believe, yes. And I believe something  
25 else.

1           Q.    I think I'm done with the first binder, and I  
2           only have part of another one.  So we should be getting  
3           there.

4                   **MR. EVANS:**  Now, okay?

5                   **MR. MILLER:**  Fine, Your Honor.

6           **BY MR. EVANS:**

7           Q.    I think we're on the same page here.  I just  
8           want to make sure and so we can move through this  
9           quickly.

10                    Again, the same issue regarding adjusting.  We  
11           talked about the McDuffie and the Eriksson studies  
12           that -- numbers with respect to those response that you  
13           talked about earlier, those are unadjusted numbers,  
14           they're not adjusted for other pesticides; correct?

15                   **A.**    For other pesticides, yes.

16                   **Q.**    Okay.

17                   **A.**    In those two studies, yes.

18                   **Q.**    Right, understood.

19                    And just so I do this quickly, so the Eriksson  
20           and McDuffie numbers with respect to those response were  
21           not adjusted.

22                    Now the NAPP studies, again, we're just going  
23           to punt that till tomorrow when Dr. Weisenburger will be  
24           here.  These are actually different numbers from  
25           subsequent report that you didn't talk about so we're

1 going to leave that.

2 And then the De Roos, which is the 2005 AHS,  
3 and the Andreotti, they report out again several  
4 different analyses of the dose response issue which is  
5 they have these different quartiles and they did not see  
6 a dose response in their studies; correct?

7 A. Well, if anything, they saw a protective  
8 effect, yeah. It went to the other side of the 1. So  
9 there's no dose response there.

10 Q. All right, thank you.

11 See? That was quick.

12 MR. MILLER: No objection to publication,  
13 Your Honor.

14 THE COURT: Okay.

15 (Exhibit published.)

16 BY MR. EVANS:

17 Q. And, again, Dr. Ritz, just want to -- these,  
18 as I understand, are all the studies that you've talked  
19 about today regarding the DLBCL results; correct?

20 A. I would have to look up the Eriksson and Orsi,  
21 but I believe you.

22 Q. Okay. And, again, the Eriksson number, do you  
23 know whether that was adjusted or not?

24 A. It's probably not adjusted because it must be  
25 a very small number of cases that were exposed.

1 Q. And Orsi, I think you said earlier, was not  
2 adjusted; correct?

3 A. Did they even have more than one case? Could  
4 they do this?

5 Q. I just put out they reported --

6 A. I doubt that they have done that.

7 Q. And then, again, this is the NAPP that we're  
8 going to talk about with Dr. Weisenburger.

9 And then there are a number of different  
10 reports out, both 5-year, lifetime, and 20-year lag with  
11 respect to DLBCL in the Andreotti study. And those are  
12 adjusted numbers. And these are -- these are the  
13 numbers reported out; is that accurate?

14 A. Yeah, it looks like.

15 Q. And then Leon study you talked about here, the  
16 1.36; correct?

17 A. Yes.

18 Q. And then the Chang study 1.1 with a confidence  
19 interval between .5 and 2.3. Does that sound right?

20 A. I would have to look at that study.

21 Q. Okay. And do you know whether those were  
22 actually adjusted or not?

23 A. What? Andreotti is adjusted. Leon was also  
24 adjusted.

25 Q. And what about Chang, do you know if it is?

1           A.    No, I don't know.

2           Q.    So these are adjusted?

3           A.    Chang may or may not be because that's a  
4 meta-analysis; correct?

5           Q.    Right. I think we looked at it earlier, which  
6 is --

7           A.    And if they included studies with nonadjusted  
8 estimates, then some are adjusted, others aren't.

9           Q.    Right. Okay. And so we looked at that  
10 earlier with respect to that, including some data from  
11 unadjusted studies; correct?

12          A.    Yes.

13          Q.    And Leon is adjusted.

14          A.    Uh-huh.

15          Q.    Okay. I just wanted to confirm that.

16                I think this has been shown before so it  
17 should be okay. This is the summary of the regulatory  
18 conclusions.

19                And I just want to ask you, Dr. Ritz, first of  
20 all, have you reviewed the regulatory conclusions by  
21 these different agencies that are up there?

22          A.    I looked at the EPA one, EFSA, yes. Health  
23 Canada, I guess. Australia, no.

24          Q.    Okay. And do you agree or disagree with each  
25 of those statements?

1           A.    I certainly disagree with EPA, and I read that  
2           in detail.  And I know exactly why I disagree with them.  
3           And I certainly disagree with EFSA.  And I'm not the  
4           only one.

5           Q.    And since you're the one who's here  
6           testifying, I'm going to ask for you.  So with respect  
7           to the ECHA statement that's up here:

8                         Based on epidemiologic data as well  
9                         as the data from long-term studies in rats  
10                        and mice, taking a weight of the evidence  
11                        approach, no hazard classification for  
12                        carcinogenicity is warranted.

13                       Do you agree or disagree?

14           A.    Absolutely disagree.  And that's the chemical  
15           agency.

16           Q.    And glyphosate -- this is EFSA.

17                       Glyphosate is unlikely to pose a  
18                        carcinogenic hazard to humans.

19                       Do you agree or disagree?

20           A.    I disagree.  And what they're evaluating is  
21           diet-related to carcinogenicity.  So not farmers.

22                       **MR. EVANS:**  Move to strike.

23                       **THE COURT:**  Sustained.  Granted.

24           **BY MR. EVANS:**

25           Q.    Next question.

1                   Based on all the available data, the  
2                   weight of the evidence clearly do not  
3                   support the descriptors (carcinogenic to  
4                   humans) and, quote, likely to be  
5                   carcinogenic to humans at this time.  
6                   Do you agree or disagree?

7                   **A.** I disagree.

8                   **Q.** All right. And Health Canada:

9                                 Glyphosate is not genotoxic and is  
10                                unlikely to pose a human cancer risk.  
11                                Do you agree or disagree?

12                   **A.** Disagree.

13                   **Q.** And Australia. You say you haven't seen this  
14                   before, but I'll read it:

15                                Scientific weight of the evidence  
16                                indicates that exposure to glyphosate does  
17                                not pose a carcinogenetic or genotoxic  
18                                risk to humans.  
19                                Do you agree or disagree?

20                   **A.** Disagree.

21                   **Q.** And each of those different regulatory  
22                   agencies have reviewed epidemiology; correct?

23                   **A.** They review epidemiology, yes.

24                   **Q.** In their assessments, they're reviewing the  
25                   epidemiology that existed up to the time that they did

1 it; correct?

2 A. They do their best, yes.

3 MR. EVANS: No further questions.

4 MR. MILLER: Very brief, Your Honor.

5 REDIRECT EXAMINATION

6 BY MR. MILLER:

7 Q. If anybody else here is old enough to remember  
8 Paul Harvey.

9 MR. MILLER: It's been shown to the jury  
10 before. This is the EPA report, Your Honor,  
11 Exhibit 2112. If I might use the ELMO, please.

12 Q. Counsel for Monsanto just put up that the EPA  
13 says there's absolutely no carcinogenic risk for  
14 non-Hodgkin's lymphoma. Did you hear him ask those  
15 questions?

16 A. Yes.

17 Q. All right. Well, here is the report written  
18 by Mr. Jess Rowland and the committee in the Office of  
19 Pesticide Programs.

20 MR. MILLER: We have the ELMO on? We do. All  
21 right.

22 Q. And although he says, look, I can't say cancer  
23 all over. When it comes to non-Hodgkin's lymphoma, they  
24 just don't know.

25 MR. MILLER: Can you blow that up?



1                   Excuse me. Okay.

2                   **Q.** Due to study limitations and contradictory  
3 results across studies of at least equal quality, a  
4 conclusion regarding the association of glyphosate  
5 exposure and the risk of non-Hodgkin's lymphoma cannot  
6 be determined.

7                   So they don't say it doesn't cause cancer.  
8 They say they just don't know there's conflicting  
9 studies.

10                  **A.** Yes.

11                  **Q.** Right? That would be a more accurate  
12 statement of what Mr. Rowland and his committee had to  
13 say; right?

14                  **A.** Yes.

15                  **Q.** All right. That's that.

16                               It will take about five minutes.

17                               So let's go to the Gray study. We've already  
18 published it before. It's Exhibit 1548. Okay.

19                               Please go to page 22, the bottom paragraph.

20                               And just to put this in context, the Gray  
21 study was the federal government's Agricultural Health  
22 Study, a critical review with suggested improvements,  
23 which this was published in the year 2000; right?

24                  **A.** I don't have it in here but --

25                  **Q.** Well, let's go back to the front page.

1                   **MR. MILLER:** Your Honor, if I could approach?

2                   **THE COURT:** Sure.

3                   **MR. EVANS:** Your Honor, may I look at it  
4 before they publish it?

5                   **MR. MILLER:** We'll take it down. Sure.

6                                 (Pause in the proceedings.)

7                   **MR. EVANS:** No objection.

8 **BY MR. MILLER:**

9                   **Q.** Okay. So we'll put that back up on the  
10 screen.

11                                 Counsel repeatedly asked you why didn't  
12 somebody tell them that they were off on the wrong  
13 course with this one-shot application thing. And the  
14 federal government here --

15                                 Let's go to the front page. Let's put this in  
16 context.

17                                 Okay. So this is year 2000, right, federal  
18 government, Agricultural Health Study, A Critical Review  
19 with Suggested Improvements, by Gray and others; right?

20                   **A.** Yes.

21                   **Q.** Now Gray is at Harvard; right?

22                   **A.** Yes.

23                   **Q.** Okay. So he's telling them in the year 2000  
24 what kind of problems they have.

25                                 Let's look at page 22.

1           A.    Actually, he's not alone.  He has very  
2 illustrious occupational epidemiologists with him on  
3 this.

4           Q.    These are people with a lot of gravitas?

5           A.    Yes.

6           Q.    And it's those environmental epidemiologists  
7 that understand exposure --

8           A.    Occupational epidemiologists, yes.

9           Q.    Occupational.  All right.

10           **MR. MILLER:**  So if we can blow up that bottom  
11 paragraph.

12           Q.    Exploring the reliability and validity of  
13 pesticide use data.

14                         Since pesticide use data will be the  
15 basis of categorizing potential pesticide  
16 exposure in the AHS, the validity of these  
17 data is also critical.

18                         That's true, isn't it?

19           A.    Yes.

20           Q.    They've got to be valid data?

21           A.    Yes.

22           Q.    (Reading from document:)

23                         A simple and pertinent step would be  
24 to re-administer the questionnaire to a  
25 sample of respondents to see how much the

1                   answers change.

2           **A.**    Correct.

3           **Q.**    That was the recommendation in the year 2000;  
4 right?

5           **A.**    Yes.

6           **Q.**    And they never did that, did they?

7           **A.**    Well, they re-administered between 1999 and  
8 2004 to 62 percent.

9           **Q.**    Okay.

10          **A.**    But not -- they didn't ask the same question.  
11 They asked a different question.

12          **Q.**    It says:

13                    Other studies to validate reported  
14 pesticide use, for example, by comparison  
15 with purchase records, are also essential.

16          **A.**    Yes.

17          **Q.**    That's the recommendation from Dr. Gray at  
18 Harvard and others. Did they do that?

19          **A.**    No.

20          **Q.**    Dr. Gray and his fellow scientists go on to  
21 say:

22                    A relatively simple check would  
23 consist of questions about number of acres  
24 for each specific crop for which a  
25 specific pesticide was used.

1                   Did they do that?

2                   **A.**   Not in the papers that we looked at.

3                   **Q.**   So all these recommendations that were made  
4                   19 years ago to hopefully try to make this data more  
5                   valid, none of them were followed?

6                   **A.**   Not in these papers, no.

7                   **Q.**   Counsel asked you about whether IARC said even  
8                   though it was a probable human carcinogen it couldn't  
9                   completely rule out chance or bias. Do you remember  
10                  that line of questioning?

11                  **A.**   Yes.

12                  **Q.**   Since we have the Zhang study now and the Leon  
13                  study, are you comfortable ruling out chance or bias?

14                  **A.**   Actually, the Leon study, the Norwegian  
15                  results really do make me more comfortable, yes.  
16                  Because these people did not recall pesticide use in  
17                  Norway. They actually reported to their agriculture  
18                  census every five years while they were farming, what  
19                  they were farming, whether they were using pesticide  
20                  equipment, and what crops they were farming. And in  
21                  Norway, that's a very limited number. It's potatoes,  
22                  grains, fruits and vegetables and meadows. And only on  
23                  grains and maybe sometimes on meadows they actually  
24                  apply glyphosate.

25                                   And then they looked when glyphosate was

1 registered, and they assigned these exposures according  
2 to what these farmers reported every five years between  
3 1969 and 1989. And I think that's a pretty good  
4 exposure assessment.

5 Q. Very good. You've been very patient, and I  
6 thank you for your time. The last series of questions.

7 Back that up a little bit.

8 Anything that you were asked on  
9 cross-examination, or shown, did it in any way change  
10 your opinion that Roundup causes tumors in mammals?

11 A. No.

12 Q. Did anything that Monsanto's lawyer showed you  
13 change your opinion that Roundup causes malignant  
14 lymphoma in mice?

15 A. No.

16 Q. Anything that he showed you change your  
17 opinion that Roundup causes genetic damage in human  
18 lymphocytes?

19 A. No.

20 Q. Same question. Anything he showed you or  
21 discussed with you change your opinion, Dr. Ritz, that  
22 Roundup causes oxidative stress in human cells?

23 A. No.

24 Q. Last question, and I'll sit down. Anything  
25 that he showed you change your opinion that

1 non-Hodgkin's lymphoma in humans at real world exposure  
2 can cause non-Hodgkin's lymphoma?

3 A. In my professional opinion, yes, real world  
4 exposures can cause non-Hodgkin's lymphoma.

5 Q. And if I was a graduate student in your class  
6 and I asked you the same question, would I get a  
7 different answer because you've been retained by a  
8 lawyer?

9 A. No.

10 MR. MILLER: I have nothing further.

11 THE COURT: Is that it?

12 MR. EVANS: Just one question.

13 RECROSS-EXAMINATION

14 BY MR. EVANS:

15 Q. The Leon study that just came out actually  
16 showed no increase risk of .95 for NHL; correct?

17 A. Well, what we didn't discuss for --

18 Q. Could you answer the question?

19 A. Yes.

20 Q. Thank you.

21 THE COURT: Are we done?

22 FURTHER REDIRECT EXAMINATION

23 BY MR. MILLER:

24 Q. Just last question. But it does tell us that  
25 in diffuse large B-cell, there's a statistically

1 increased risk for non-Hodgkin's lymphoma?

2 A. Yes, absolutely.

3 MR. MILLER: Thank you for your time,  
4 Dr. Ritz.

5 THE COURT: Are we done?

6 MR. EVANS: Yes.

7 MR. MILLER: Yes, Your Honor.

8 (Witness excused.)

9 THE COURT: We're going to take a five-minute  
10 break. That's to the bathroom and back.

11 (Recess taken at 3:45 p.m.)

12 (Proceedings resumed in open court in the  
13 presence of the jury at 3:52 p.m.)

14 THE COURT: All right. So, ladies and  
15 gentlemen, we're going to resume.

16 And what you're going to see next is the  
17 videotaped deposition of a witness who will not be here  
18 live, but his testimony on the videotape is as though he  
19 were sitting here. So the evidence that he presents  
20 will have the same quality of any other type of evidence  
21 that you will consider when you begin deliberating.

22 MR. WISNER: Your Honor, just before the  
23 video, I'm going to read two admissions into the record.  
24 Counsel has seen them.

25 THE COURT: Okay. That's fine.



1                   **MR. WISNER:** Admission number 8. Request:  
2 Admit that in 1999 Monsanto hired Dr. James Parry to  
3 evaluate studies on the genotoxicity of glyphosate and  
4 provide a report on those studies.

5                   Response: Monsanto admits that in 1999  
6 Monsanto entered into a consulting relationship with  
7 Dr. James Parry to review research evaluating whether  
8 glyphosate and glyphosate-based products were genotoxic.

9                   Admission number 9. Request: Admit that  
10 Dr. James Parry was a recognized genotox expert in 1999.

11                   Response: Monsanto admits that Dr. Parry was  
12 recognized as having significant experience in the area  
13 of genotoxicity in 1999. To the extent that plaintiffs  
14 suggest Dr. Parry was retained as an expert for purposes  
15 of this or any other litigation, Monsanto otherwise  
16 denies this request.

17                   At this time, Your Honor, we call Dr. Mark  
18 Martens by video deposition.

19                   The deposition was taken on April 7th, 2017,  
20 in Washington, D.C. The total run time is two hours and  
21 22 minutes. I don't expect to finish that today. Of  
22 that, approximately two hours of that is the plaintiffs'  
23 and half an hour of it is Monsanto's.

24                   **THE COURT:** So we will break at 4:30. So just  
25 find a natural breaking point at or around 4:30.

1                   Mr. Wright, will you turn off a couple of the  
2 lights so we can see the screen. I think that's  
3 probably fine.

4                   (Video excerpts from the deposition testimony  
5 of Mark Martens played in open court; not reported  
6 herein.)

7                   **THE COURT:** Thank you. All right.

8                   So, ladies and gentlemen, it's 4:30. We are  
9 done for the day.

10                  Thank you for your time and attention today.  
11 Please do not read, talk about, or otherwise communicate  
12 about this case with anyone. And I want you to forget  
13 you're a juror when you go home. Have a good evening  
14 and we'll see you here tomorrow at 9:00 a.m.

15                  **MR. ISMAIL:** Your Honor, do you want to give  
16 the jury a heads-up about Wednesday's schedule?

17                  **THE COURT:** There's no change.

18                  9:00 o'clock tomorrow morning. We'll be here  
19 tomorrow, Wednesday, and Thursday. So we'll have a full  
20 week of evidence.

21                  (Jury excused for the evening recess.)

22                  (Proceedings continued out of the presence of  
23 the jury:)

24                  **THE COURT:** Dr. Weisenburger is up tomorrow.

25                  **MR. WISNER:** Yes, Your Honor.

1                   **THE COURT:** Is he all day?

2                   **MR. WISNER:** All day. And probably into the  
3 next day as well.

4                   **THE COURT:** Okay.

5                   **MR. MILLER:** Your Honor, if I could,  
6 Judge Chhabria wanted me on a phone call tomorrow at  
7 1:00 o'clock. I don't think it will be a long phone  
8 call, but I think he set it at 1:00 o'clock so I could  
9 be on it. With the Court's permission, if we could do  
10 lunch so I could do that, that would be great.

11                   **THE COURT:** Sure. How long do you anticipate  
12 that conversation might take?

13                   **MR. MILLER:** 15 minutes or less.

14                   **THE COURT:** Okay, we'll figure it out. Remind  
15 me tomorrow as we're going through the morning so I can  
16 time our breaks and break for lunch.

17                   **MR. WISNER:** By stipulation, Your Honor, we  
18 move Exhibits 25, 26, 27, and 34 into evidence, and I  
19 have a copy for the clerk.

20                   **THE COURT:** Okay. That's fine. And those  
21 were all attached to -- were those all attached to --

22                   **MR. WISNER:** No, this is from a different  
23 depo.

24                   **THE COURT:** This is from something else?

25                   **MR. WISNER:** Yes.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**THE COURT:** Because we haven't started that process yet so as long as you guys agree, that's fine.

**MR. WISNER:** This is from Reeves.

**THE COURT:** That's fine.

(Plaintiffs' Exhibits 25, 26, 27, 34 were received in evidence.)

**THE COURT:** All right. So I'll see you tomorrow at 9:00 a.m.

(Proceedings adjourned at 4:30 p.m.)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

State of California )  
County of Alameda )

We, Kelly L. Shainline and Lori Stokes, Court Reporters at the Superior Court of California, County of Alameda, do hereby certify:

That we were present at the time of the above proceedings;

That we took down in machine shorthand notes all proceedings had and testimony given;

That we thereafter transcribed said shorthand notes with the aid of a computer;

That the above and foregoing is a full, true, and correct transcription of said shorthand notes, and a full, true and correct transcript of all proceedings had and testimony taken;

That we are not a party to the action or related to a party or counsel;

That we have no financial or other interest in the outcome of the action.

Dated: April 8, 2019

Kelly Shainline

Lori Stokes

Kelly L. Shainline  
CSR No. 13476, CRR

Lori Stokes  
CSR No. 12732, RPR