

# Raj, Kavitha 2019-01-08 Played in Court

---

Raj, Kavitha 01-08-2019

---

[REDACTED]

[REDACTED]

Total Time 02:00:29



Page/Line	Source	ID
7:19 - 7:24	<b>Raj, Kavitha 01-08-2019 (00:00:08)</b> 7:19 Q. Hi. My name is Brent Wisner. I'm an 7:20 attorney for Alva and Alberta Pilliod. 7:21 Do you know them? 7:22 A. Yes, I do. 7:23 Q. How do you know them? 7:24 A. I have treated them.	RajFINAL.1
8:2 - 8:7	<b>Raj, Kavitha 01-08-2019 (00:00:15)</b> 8:2 How long have you treated Alberta? 8:3 A. I'd probably have to look at my notes to 8:4 see when exactly I started treating her. For a few 8:5 years now, a couple years. But I don't know the 8:6 exact date, like maybe 2015 or '16. Since then, 8:7 yeah.	RajFINAL.2
12:19 - 12:25	<b>Raj, Kavitha 01-08-2019 (00:00:11)</b> 12:19 What sort of doctor are you? 12:20 A. I am a hematologist and medical 12:21 oncologist. 12:22 Q. And what does that mean? 12:23 A. I treat cancers and blood disorders. 12:24 Q. How long have you been doing that for? 12:25 A. I've been doing that since 2010.	RajFINAL.4
13:1 - 13:3	<b>Raj, Kavitha 01-08-2019 (00:00:04)</b> 13:1 Q. Okay. And are you -- is there any board 13:2 certification associated -- 13:3 A. Correct. Uh-huh. There is.	RajFINAL.3
13:17 - 13:25	<b>Raj, Kavitha 01-08-2019 (00:00:19)</b> 13:17 Q. And what is that certification called? 13:18 A. It is American Board of Internal Medicine. 13:19 They hold exams. Those are the board certifications 13:20 for both hematology and medical oncology. 13:21 Q. And have you specialized in that type of 13:22 medicine your entire career? 13:23 A. Correct. 13:24 Q. Okay. Did you go to medical school? 13:25 A. Yes.	RajFINAL.5
14:1 - 14:23	<b>Raj, Kavitha 01-08-2019 (00:00:58)</b> 14:1 Q. Where did you go to medical school? 14:2 A. In India. 14:3 Q. Okay. And then did you -- after medical	RajFINAL.6

14:4 school there's a residency; is that right?  
 14:5 A. Right.  
 14:6 Q. Did you do a residency in the States or in  
 14:7 India?  
 14:8 A. I did both.  
 14:9 Q. Okay. How does that work?  
 14:10 A. So I finished my medical school in India  
 14:11 and did a residency for one year where you are  
 14:12 licensed to practice medicine, and then I came here.  
 14:13 You have to do certain exams, multiple  
 14:14 exams to apply for residency. And then I finished  
 14:15 my residency in internal medicine for three years.  
 14:16 I worked as a faculty in internal medicine  
 14:17 for two years. And then you apply for fellowship.  
 14:18 And it's a very comprehensive process.  
 14:19 And then I did a fellowship in hematology  
 14:20 and oncology for another three years at University  
 14:21 of California, Irvine. And then I finished that in  
 14:22 2010. And since then I have been practicing  
 14:23 hematology and oncology.

16:3 - 16:10

**Raj, Kavitha 01-08-2019 (00:00:17)**

RajFINAL.7

16:3 Have you ever heard of something called a  
 16:4 differential etiology?  
 16:5 A. Of course.  
 16:6 Q. What is that?  
 16:7 A. Meaning that's something basic in medical  
 16:8 school we go through. It's essentially what could  
 16:9 be the possible causes. That's what differential  
 16:10 etiology for a condition.

16:20 - 17:17

**Raj, Kavitha 01-08-2019 (00:01:17)**

RajFINAL.8

16:20 Q. And with regards to Mrs. Pilliod, do you  
 16:21 recall if you've ever engaged in a differential  
 16:22 etiology about her cancer?  
 16:23 A. I think they -- we -- after -- yes. So I  
 16:24 treated the husband. So when the wife came up with  
 16:25 a same diagnosis of lymphoma they did ask me the  
 17:1 question -- because they live in the same household  
 17:2 and they had been diagnosed with similar cancers.  
 17:3 And they asked me, well, what could be the cause of  
 17:4 it. So we did have a conversation about a possible

Page/Line	Source	ID
	17:5 environmental exposure to some toxins. 17:6 And I did tell them that we can't know 17:7 that for sure because it's not like lung cancer 17:8 where we know that probable etiology is smoking. 17:9 For lymphomas, we have possible theories, 17:10 but we don't know for sure. 17:11 Q. And when they asked you that question did 17:12 you do any research to see about whether or not any 17:13 of their exposures may have led to their lymphoma? 17:14 A. That's not what I do typically in 17:15 practice. I'm not an expert in, you know, finding 17:16 out the etiology of cancers. I don't do that type 17:17 of research. I treat cancers. So, no, I have not.	
17:24 - 18:2	<b>Raj, Kavitha 01-08-2019 (00:00:09)</b>	RajFINAL.9
	17:24 So would it by fair to say, then, that you 17:25 haven't formed any opinion about the cause of 18:1 Mrs. Pilliod or Mr. Pilliod's lymphoma? 18:2 A. Correct.	
19:12 - 19:13	<b>Raj, Kavitha 01-08-2019</b>	RajFINAL.10
	19:12 (Whereupon, Exhibit 3 was marked for 19:13 identification.)	
19:18 - 20:8	<b>Raj, Kavitha 01-08-2019 (00:00:30)</b>	RajFINAL.11
	19:18 Q. I have handed you Exhibit 3. It is a 19:19 document printed from the American Cancer Society. 19:20 It's titled "Key Statistics for Non-Hodgkin's 19:21 Lymphoma." 19:22 Do you see that, Doctor? 19:23 A. Yes, I do. 19:24 Q. Okay. And if you look at the paragraph 19:25 following the two bullet points, the first sentence 20:1 reads, "The average." 20:2 Do you see that? 20:3 A. Yes, I do. 20:4 Q. Okay. It reads, "The average American's 20:5 risk of developing NHL during his or her lifetime is 20:6 about one in 47." 20:7 Did I read that correctly? 20:8 A. Yes, you did.	RK3.1 RK3.1.1
22:25 - 23:4	<b>Raj, Kavitha 01-08-2019 (00:00:18)</b>	RajFINAL.12
	22:25 Q. Okay. During your treatment of either of	

Page/Line

Source

ID

23:14 - 23:16	<p>23:1 the Pilliods, were you aware that the average  23:2 American's risk of developing NHL during his or her  23:3 lifetime was about one in 47?  23:4 A. Yes, those are known statistics.</p>	RajFINAL.13 clear
23:19 - 24:1	<p><b>Raj, Kavitha 01-08-2019 (00:00:04)</b>  23:14 Mrs. Pilliod was diagnosed with diffuse  23:15 B-cell lymphoma, correct?  23:16 A. Correct.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:20)</b>  23:19 Q. What was Mr. Pilliod diagnosed with?  23:20 A. The same, diffuse large B-cell lymphoma.  23:21 Q. Okay. And during your treatment of the  23:22 Pilliods and when they asked you that question about  23:23 maybe what could have caused their cancer, did you  23:24 consider the likelihood of -- of two different  23:25 individuals living together getting the same type of  24:1 lymphoma?</p>	RajFINAL.14
24:3 - 24:4	<p><b>Raj, Kavitha 01-08-2019 (00:00:02)</b>  24:3 THE WITNESS: We did consider that  24:4 possibility, yes.</p>	RajFINAL.15
24:18 - 24:25	<p><b>Raj, Kavitha 01-08-2019 (00:00:16)</b>  24:18 Q. Did you specifically discuss during that  24:19 conversation Roundup or a chemical called  24:20 glyphosate?  24:21 A. I don't remember discussing a particular  24:22 chemical.  24:23 Q. Are you familiar with either of those  24:24 chemicals?  24:25 A. Roundup, yes.</p>	RajFINAL.230
25:25 - 26:12	<p><b>Raj, Kavitha 01-08-2019 (00:00:40)</b>  25:25 Q. And when you were treating Mr. and  26:1 Mrs. Pilliod, were you familiar with whether or not,  26:2 generally, pesticides are associated with lymphoma?  26:3 A. Yes.  26:4 Q. And what was your understanding at the  26:5 time?  26:6 A. I don't think we had talked about their  26:7 exposure to pesticides. I think very -- one  26:8 conversation we have had, if I remember correct, we  26:9 have had only one conversation about discussing the</p>	RajFINAL.231

Page/Line	Source	ID
29:3 - 29:9	<p>26:10 causality of their lymphoma. But I mean, any  26:11 chemicals, including pesticides, are known to cause  26:12 any form of cancers, including lymphoma.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:16)</b></p> <p>29:3 Q. Okay. So if I said IARC, that wouldn't  29:4 ring any bells?  29:5 A. No.  29:6 Q. Okay. And I assume, then, since it  29:7 doesn't ring any bells, in your medical training and  29:8 as part of your work as an oncologist, you have not  29:9 come across any IARC monographs; is that fair?</p>	RajFINAL.17
29:11 - 29:11	<p><b>Raj, Kavitha 01-08-2019 (00:00:00)</b></p> <p>29:11 THE WITNESS: Correct.</p>	RajFINAL.18
29:13 - 29:17	<p><b>Raj, Kavitha 01-08-2019 (00:00:10)</b></p> <p>29:13 Q. Have you -- okay. So I know the answer to  29:14 this, but I'm just going to make sure.  29:15 You've never looked at a monograph by IARC  29:16 specifically related to glyphosate?  29:17 A. Correct.</p>	RajFINAL.19
30:2 - 30:3	<p><b>Raj, Kavitha 01-08-2019 (00:00:04)</b></p> <p>30:2 Q. All right, Doctor. I'm handing you what  30:3 I'm marking as Exhibit 4.</p>	RajFINAL.21 RK4.1
30:4 - 30:5	<p><b>Raj, Kavitha 01-08-2019</b></p> <p>30:4 (Whereupon, Exhibit 4 was marked for  30:5 identification.)</p>	RajFINAL.22
30:9 - 30:19	<p><b>Raj, Kavitha 01-08-2019 (00:00:25)</b></p> <p>30:9 Q. Do you recognize this document?  30:10 A. It looks like it's my document. I signed  30:11 it. Uh-huh.  30:12 Q. What is it?  30:13 A. It is my initial consult visit for  30:14 Mrs. Pilliod, yeah.  30:15 Q. Okay. And this is dated May 22nd, 2015?  30:16 A. Correct.  30:17 Q. All right. And if you look at the last  30:18 page that's your digital signature?  30:19 A. Correct, it is mine.</p>	RajFINAL.24 RK4.1.1
32:4 - 32:6	<p><b>Raj, Kavitha 01-08-2019 (00:00:05)</b></p> <p>32:4 Q. Okay. And so, you know, going through  32:5 this record here, the first section is History of</p>	RajFINAL.25 RK4.1.2

Page/Line	Source	ID
32:9 - 32:16	<p>32:6 Present Illness.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:17)</b></p> <p>32:9 Q. What is that section generally supposed to capture?</p> <p>32:10 capture?</p> <p>32:11 A. It's supposed to capture how someone presented, what kind of symptoms that they had preceding the diagnosis of their cancer. And if they've had any treatment so far, we usually summarize that treatment and that history of presenting illness.</p>	RajFINAL.20
33:22 - 34:10	<p><b>Raj, Kavitha 01-08-2019 (00:00:36)</b></p> <p>33:22 Q. Okay. And if we turn the page, there's a section titled Family History.</p> <p>33:23 section titled Family History.</p> <p>33:24 Do you see that?</p> <p>33:25 A. Yes.</p> <p>34:1 Q. What does that reflect?</p> <p>34:2 A. There are certain cancers that are related, like meaning inherited, like genetical, genetic predisposition. So we usually ask for family history in every cancer patient that we see. So that's what it lists there.</p> <p>34:3 related, like meaning inherited, like genetical,</p> <p>34:4 genetic predisposition. So we usually ask for</p> <p>34:5 family history in every cancer patient that we see.</p> <p>34:6 So that's what it lists there.</p> <p>34:7 Q. And do you see anything in your record related to Ms. Pilliod that shows a predisposition for lymphoma?</p> <p>34:8 related to Ms. Pilliod that shows a predisposition</p> <p>34:9 for lymphoma?</p> <p>34:10 A. No.</p>	RajFINAL.27 RK4.2.8
37:21 - 38:8	<p><b>Raj, Kavitha 01-08-2019 (00:00:39)</b></p> <p>37:21 Q. All right. It says, "This is an initial oncology consultation for Alberta Pilliod, a 71-year-old female. She has a history of diffuse large B-cell primary CNS lymphoma."</p> <p>37:22 oncology consultation for Alberta Pilliod, a</p> <p>37:23 71-year-old female. She has a history of diffuse</p> <p>37:24 large B-cell primary CNS lymphoma."</p> <p>37:25 Did I read that right?</p> <p>38:1 A. Yes.</p> <p>38:2 Q. What is, to the best of your knowledge, B-cell primary CNS lymphoma?</p> <p>38:3 B-cell primary CNS lymphoma?</p> <p>38:4 A. So she has a lymphoma called diffuse large B-cell lymphoma. There's B-cells, T-cells. It's a B-cell lymphoma. And for her it was involving her brain. And that's why it's called the primary. CNS stands for central nervous system.</p>	RajFINAL.28 RK4.1.3
38:9 - 38:15	<p><b>Raj, Kavitha 01-08-2019 (00:00:11)</b></p>	RajFINAL.20

38:9 Q. Okay. And I understand that lymphoma  
38:10 starts in the bones, but it can ultimately manifest  
38:11 at different parts of the body; is that right?

38:12 A. Correct.

38:13 Q. And so in her case it manifested in the  
38:14 central nervous system?

38:15 A. Correct.

38:16 - 41:17

**Raj, Kavitha 01-08-2019 (00:03:33)**

RajFINAL.30

38:16 Q. Is diffuse large B-cell primary CNS  
38:17 lymphoma an indolent or aggressive type of cancer?

38:18 A. It is a very aggressive type of cancer.

38:19 Q. And is that based on your experience as an  
38:20 oncologist?

38:21 A. Yes.

38:22 Q. Okay. It goes on.

RK4.1.4

38:23 "She initially presented in March 2015  
38:24 with vertigo, gait instability, intermittent..."

38:25 A. Diplopia.

39:1 Q. "... diplopia and headaches that developed  
39:2 ten days prior."

39:3 Did I read that right?

39:4 A. Yes.

39:5 Q. Just for the jury's benefit, what is  
39:6 diplopia?

39:7 A. It's double vision.

39:8 Q. Okay. And these sort of symptoms that are  
39:9 reported here in this record, are those consistent  
39:10 with someone suffering from cancer in the central  
39:11 nervous system?

39:12 A. Yes.

39:13 Q. Okay. It goes on.

RK4.1.5

39:14 "She had a" -- is that a CT scan?

39:15 A. Correct.

39:16 Q. -- "of the head on March 12th of 2015,  
39:17 which was negative for any acute abnormality. Two  
39:18 days later she suffered a fall and hit her head on  
39:19 the bathroom floor.

39:20 "A repeat CT scan on March 14th, 2015,  
39:21 showed a stable hyperdense region within the right  
39:22 inferior anterior cerebellum and mid brain."



39:23 Did I read that right?

39:24 A. Yes.

39:25 Q. I'm actually proud of myself now.

40:1 Can you explain to me, to the best of your

40:2 ability, in layman's terms what I just read?

40:3 A. Yeah.

40:4 So the CT scan of her brain showed an

40:5 abnormal area in the back of her brain. That's the

40:6 cerebellum. And also the mid brain. That's --

40:7 that's what it means.

40:8 Q. And in your experience treating this type

40:9 of CNS lymphoma, is this a common occurrence where

40:10 the first scan doesn't catch it but a later one

40:11 does?

40:12 A. It is such a subtle abnormality. So CT

40:13 scan may not catch it. So that's why we'll end up

40:14 doing an MRI. So it is -- it is -- it happens, yes.

40:15 Q. Okay. It went on to say, "Follow up CT

40:16 scan of the chest, abdomen and pelvis did not reveal

40:17 any primary malignancy."

40:18 What does that mean?

40:19 A. So sometimes, lymphoma presents as primary

40:20 CNS lymphoma where there's lymphoma involving just

40:21 the brain. Sometimes it presents all over the body,

40:22 and the brain involvement is a part of it. We treat

40:23 it very differently. So we do a CAT scan of the

40:24 body to differentiate that. So she has -- this

40:25 confirms that she has the primary CNS lymphoma.

41:1 Q. And why is diffuse large B-cell CNS

41:2 lymphoma treated differently than large B-cell

41:3 lymphoma in other parts of the body?

41:4 A. Because the general chemotherapy drugs

41:5 that we give do not penetrate the blood brain

41:6 barrier to go into the brain. So we'll have to

41:7 treat it very differently.

41:8 Q. Okay. So you have to use a sort of

41:9 special blood brain barrier penetrating drug?

41:10 A. Correct.

41:11 Q. Okay. Which one do you use that's

41:12 typically used?

RK4.1.0

clear

41:13 A. We usually use the high-dose methotrexate.  
 41:14 Q. Okay. And that's -- and if I recall,  
 41:15 that's because the low dose hasn't been proven to  
 41:16 effectively penetrate the blood brain barrier?  
 41:17 A. Correct.

41:25 - 42:3

**Raj, Kavitha 01-08-2019 (00:00:07)**

RajFINAL.31

41:25 Q. With regards to Mrs. Pilliod, do you  
 42:1 recall if there was any additional drugs used to  
 42:2 help get to her CNS lymphoma?

42:3 A. Yes.

42:4 - 42:8

**Raj, Kavitha 01-08-2019 (00:00:16)**

RajFINAL.32

42:4 Q. What other drugs were used?  
 42:5 A. She got Temodar. She also received  
 42:6 Rituxan. And I think -- I have to confirm this. I  
 42:7 think she also received Revlimid when I saw her last  
 42:8 time.

42:10 - 44:22

**Raj, Kavitha 01-08-2019 (00:03:04)**

RajFINAL.33

42:10 A. I have to look it up to be sure. Yeah.  
 42:11 Q. And are those three drugs you just  
 42:12 mentioned, are -- are they known to penetrate the  
 42:13 blood brain barrier or no?  
 42:14 A. They are known to be effective, but we  
 42:15 have to use it in a different way.  
 42:16 Q. Okay.  
 42:17 A. She received it intrathecally into the  
 42:18 spine, some injections.  
 42:19 Q. Okay.  
 42:20 A. To directly go into the brain.  
 42:21 Q. Okay. So instead of doing it through the  
 42:22 blood you just do it straight into the central  
 42:23 nerve?  
 42:24 A. Correct.  
 42:25 Q. Okay. Makes sense. All right.  
 43:1 "She underwent a lumbar puncture on  
 43:2 March 14, 2015, and cytopsin preparation revealed a  
 43:3 predominance of small lymphocytes and monocytes  
 43:4 without atypical features. No evidence of malignant  
 43:5 cells in the CSF."  
 43:6 Did I read that right?  
 43:7 A. Yes.

RK4.1.7

43:8 Q. What does that -- what does that mean?

43:9 A. So the sheath -- that means the fluid that

43:10 was removed, the cerebrospinal fluid -- showed a

43:11 predominantly small lymphocytes and monocytes.

43:12 Those are -- we saw that in excess. And we did not

43:13 see any other type of cancer cells. We only saw

43:14 those lymphocytes. That's what it means.

43:15 Q. Okay. And all this -- this history, this

43:16 is before she ever arrived in your office, right?

43:17 A. Correct.

43:18 Q. Okay. It goes on.

RK4.1.8

43:19 "She underwent an MRI of the brain on

43:20 April 6th, 2015, which showed changes favoring a

43:21 cellular process such as lymphoma given below ADC

43:22 values and mild increased perfusion surrounding the

43:23 fourth ventricle. No evidence of supra-" -- you

43:24 know what, maybe I should have you read this since

43:25 you're the doctor.

44:1 Why don't you read just the rest of that

44:2 paragraph, and I'll be able to ask you questions

44:3 about it. Out loud.

RK4.1.9

44:4 A. Okay.

44:5 "No evidence of supratentorial

44:6 leptomenigeal disease. Increased size of the

44:7 ventricle is consistent with a component of

44:8 obstructive hydrocephalus likely secondary to

44:9 increasing mass effect upon cerebral aqueduct.

44:10 "She underwent staging PET scan on

44:11 April 8, 2015, which confirmed a FDG-avid

44:12 multilobulated mass lesion in the right cerebellar

44:13 vermis crossing the midline and also another

44:14 hypermetabolic lesion on the floor cerebral

44:15 aqueduct. The lesion correlated with the brain MRI

44:16 findings consistent with CNS lymphoma.

44:17 "She underwent a brain biopsy of the

44:18 posterior fossa on April 9, 2015. Pathology results

44:19 revealed abnormal lambda monotypic B-cell population

44:20 expressing CD19, CD20, CD23, and CD38. Negative for

44:21 CD10. FISH was negative for BCL2, BCL6, and MYC.

44:22 All consistent with diffuse large B-cell lymphoma."

45:1 - 45:24

**Raj, Kavitha 01-08-2019 (00:01:12)**

RajFINAL.34

45:1 So I want to ask you a few questions about  
45:2 that paragraph. Can you just generally tell me what  
45:3 this paragraph is conveying.

45:4 A. Right.

45:5 So the earlier paragraph showed some vague  
45:6 findings on the CT of the brain, and the CSF  
45:7 finding's somewhat vague. It showed lymphocytes.  
45:8 So we couldn't come to a definitive diagnosis there.  
45:9 So we went again -- went ahead and ordered more  
45:10 testing.

clear

45:11 And the MRI now shows a large mass pushing  
45:12 her brain to the other side and causing some  
45:13 swelling of her ventricles. And also, another mass  
45:14 close to the mass that we already saw.  
45:15 And since we couldn't diagnose her with  
45:16 the CSF analysis she went ahead and had a brain  
45:17 biopsy, which showed abnormal lymphoma cells. And  
45:18 we do certain special staining to see whether it's a  
45:19 B-cell lymphoma, large B-cell lymphoma, or low --  
45:20 low grade lymphoma and all that. It was all  
45:21 consistent with diffuse large B-cell lymphoma.

45:22 Q. What is a brain biopsy?

45:23 A. Getting a tissue from the brain. I --

45:24 I -- it can be done open, closed.

47:25 - 48:22

**Raj, Kavitha 01-08-2019 (00:01:05)**

RajFINAL.35

RK4.1.10

47:25 "She started on April 14, 2015, repeat MRI  
48:1 on May 14th, 2015. After completion of cycle  
48:2 number 2, showed significant improvement of the  
48:3 enhancing brain lesions and most recently started  
48:4 cycle number 3 of Rituxan, methotrexate on May 15th,  
48:5 2015.

48:6 "However, despite radiologic improvement,  
48:7 clinically her symptoms of diplopia and hearing loss  
48:8 have not improved."

48:9 What does that mean?

48:10 A. So she has had, I think, two cycles of the  
48:11 chemotherapy and she had a repeat scan which showed  
48:12 that the mass was shrinking but her symptoms, we  
48:13 have not made any difference in the way she felt.

Page/Line

Source

ID

48:14 Her double vision, her hearing loss was the same.

48:15 That's what it means.

48:16 Q. When you have a mass developing in the  
48:17 human brain like Ms. Pilliod, can that cause brain  
48:18 damage?

48:19 A. Yes.

48:20 Q. And is it possible for it to cause brain  
48:21 damage that can't repair itself?

48:22 A. Correct.

49:10 - 49:19

**Raj, Kavitha 01-08-2019 (00:00:22)**

RajFINAL.30

49:10 Q. Okay. All right. So let's -- I want to  
49:11 say -- just quickly down here at the last paragraph  
49:12 in that section.

RK4.1.11

49:13 "She also has a history of bladder cancer  
49:14 diagnosed in 2010."

49:15 Did you have any -- any part -- play any  
49:16 part in her treatment of her bladder cancer?

49:17 A. No.

49:18 Q. Okay. Is this type of bladder cancer in  
49:19 any way associated with CNS lymphoma?

49:21 - 49:21

**Raj, Kavitha 01-08-2019 (00:00:01)**

RajFINAL.37

49:21 THE WITNESS: Not that I know of.

49:23 - 50:17

**Raj, Kavitha 01-08-2019 (00:00:44)**

RajFINAL.38

49:23 Q. Okay.

49:24 It goes on to say, "SP."

RK4.1.12

49:25 What does that mean?

50:1 A. Status post.

50:2 Q. Okay.

50:3 "Resection and also a reexcision. She  
50:4 denies receiving adjuvant chemotherapy or radiation  
50:5 therapy and is followed by Dr. Schmidt."

50:6 What is adjuvant chemotherapy or radiation  
50:7 there?

50:8 A. There are some people with a bladder  
50:9 cancer, after a cancer is removed, they may need  
50:10 chemotherapy to prevent it from coming back or  
50:11 radiation therapy. She did not require it. That  
50:12 tells me that was an early stage bladder cancer.

50:13 Q. Okay. And is this -- is one of the  
50:14 reasons why you included this is because if she had

Page/Line

Source

ID

50:19 - 50:20	<p>50:15 been exposed to radiation or chemotherapy that might 50:16 have actually been something that could have caused 50:17 the lymphoma? <b>Raj, Kavitha 01-08-2019 (00:00:02)</b></p>	RajFINAL.39
51:3 - 51:6	<p>50:19 THE WITNESS: Yes. Chemotherapy and 50:20 radiation can cause lymphoma. <b>Raj, Kavitha 01-08-2019 (00:00:07)</b></p>	RajFINAL.40
51:15 - 52:17	<p>51:3 Q. Okay. So if we turn the -- trust me, I'm 51:4 not going to go through this much detail with each 51:5 medical record. I just wanted to sort of get a 51:6 framework. <b>Raj, Kavitha 01-08-2019 (00:01:26)</b> 51:15 Q. Okay. And then it goes on, 51:16 "Unfortunately, she is not able to tolerate 51:17 high-dose methotrexate despite 50 percent dose at 51:18 4.5 g. And she went into acute renal failure with a 51:19 creatinine of 2.3, and it took almost ten days of 51:20 hospitalization on leucovorin" -- did I say that 51:21 right? 51:22 A. Leucovorin. 51:23 Q. Okay. Leucovorin. 51:24 -- "to clear her methotrexate." 51:25 What does that mean, Doctor? 52:1 A. So she had three rounds of chemotherapy 52:2 with these three chemo drugs. She did have a very 52:3 good response. But unfortunately, methotrexate 52:4 causes kidney damage. That's a side effect. 52:5 So we gave her one 50 percent dose of 52:6 methotrexate. Instead of full eight-gram she has 52:7 gotten only 4 or 4 and a half gram. Despite that, 52:8 her creatinine, meaning her -- she went into kidney 52:9 failure and it took -- so after the methotrexate is 52:10 done we usually give an antidote for a few days to 52:11 prevent this from happening. 52:12 In her case -- typically people receive it 52:13 for about three to five days at the most. In her 52:14 case, she had to be hospitalized for ten days. And 52:15 we kept giving her the leucovorin to clear the 52:16 methotrexate. Despite that, her creatinine -- 52:17 kidney numbers stayed very high.</p>	RajFINAL.41 RK4.2.9

clear

Page/Line	Source	ID
52:24 - 53:6	<p><b>Raj, Kavitha 01-08-2019 (00:00:26)</b></p> <p>52:24 Just for the jury's sake, what is your 52:25 understanding of acute renal failure? 53:1 A. Acute renal failure means any significant 53:2 effect on the kidney function. When someone's 53:3 creatinine goes from one, which is normal, to two 53:4 that means they have lost more than 50 percent of 53:5 their kidney function. So that is a significant 53:6 impairment of kidney function.</p>	RajFINAL.42
53:25 - 54:19	<p><b>Raj, Kavitha 01-08-2019 (00:00:52)</b></p> <p>53:25 Q. Okay. It goes on to, "Discuss with her 54:1 neurologist at Stanford. Clearly she is not a 54:2 candidate for further high-dose methotrexate." 54:3 I'll stop right there. 54:4 Why did -- why did you say that? 54:5 A. Because she had acute renal failure from 54:6 getting methotrexate. And once someone double up 54:7 that, they can't continue to get the same treatment 54:8 that will make it worse, which will make them go on 54:9 dialysis. So we had to stop that treatment. 54:10 Q. Okay. 54:11 "We will plan to continue Temodar and 54:12 Rituxan with a close follow-up MRI. I will also 54:13 refer her to UCSF for a clinical trial using 54:14 intrathecal Rituxan." 54:15 Did I say that right? 54:16 A. Yeah. Intrathecal Rituxan. 54:17 Q. Okay. And is that the injection directly 54:18 into the spinal? 54:19 A. Yes.</p>	RajFINAL.43 RK4.2.10
54:25 - 55:2	<p><b>Raj, Kavitha 01-08-2019 (00:00:07)</b></p> <p>54:25 Q. Okay. With regards to the intrathecal 55:1 Rituxan injection with Ms. Pilliod, how -- how would 55:2 that be done?</p>	RajFINAL.44
55:5 - 55:15	<p><b>Raj, Kavitha 01-08-2019 (00:00:32)</b></p> <p>55:5 THE WITNESS: So she would go into the 55:6 hospital and an interventional radiologist who is 55:7 experienced in doing this type of procedures will 55:8 find a space between the spine and go into the 55:9 spinal area, the space where they're -- and then we</p>	RajFINAL.45 clear

55:10 inject that Rituxan. And so that the Rituxan can go  
 55:11 all through the spinal cord as well as the area, the  
 55:12 space that's coating the brain.  
 55:13 So it is given through an injection into  
 55:14 the -- it is like a -- it's called a lumbar  
 55:15 puncture.

55:18 - 55:19

**Raj, Kavitha 01-08-2019 (00:00:03)**

RajFINAL.225

55:18 The idea of having a needle go into your  
 55:19 spine, is that a painful process?

55:21 - 55:21

**Raj, Kavitha 01-08-2019 (00:00:01)**

RajFINAL.226

55:21 THE WITNESS: It does involve some pain.

55:23 - 55:25

**Raj, Kavitha 01-08-2019 (00:00:03)**

RajFINAL.47

55:23 Q. Okay. Different than a regular injection  
 55:24 in your blood?

55:25 A. Correct.

56:5 - 57:12

**Raj, Kavitha 01-08-2019 (00:01:23)**

RajFINAL.48

56:5 And it goes on to say, "Lethargy/balance  
 56:6 issues. She's been taken off steroids for several  
 56:7 weeks giving her worsening neurological symptoms.  
 56:8 There is concern for vasogenic edema."  
 56:9 A. Uh-huh.  
 56:10 Q. What is that?  
 56:11 A. So when there is a tumor pushing on the  
 56:12 brain, the surrounding structure of the brain, we  
 56:13 see swelling. That's called a vasogenic edema. And  
 56:14 there was a concern whether she could be developing  
 56:15 swelling around the mass.  
 56:16 So that's what it means.  
 56:17 Q. And does that sort of conflate the problem  
 56:18 in the skull when you have a mass and then swelling  
 56:19 in addition to that?  
 56:20 A. Yes, it causes the problems worse. Yeah,  
 56:21 it makes it worse.  
 56:22 Q. The next one says, "3. Depression.  
 56:23 Clearly patient is very depressed from her  
 56:24 diagnosis. We discussed about poor prognosis today.  
 56:25 Will plan to start her on Effexor."  
 57:1 Did I read that right?  
 57:2 A. Uh-huh.  
 57:3 Q. Did you, in your clinical capacity,

RK4.2.12

RK4.2.0



57:4 diagnose Mrs. Pilliod with depression?

57:5 A. Yes.

57:6 Q. And you said "very depressed." How --

57:7 what does that mean?

57:8 A. If someone is very depressed, that means

57:9 that they have a very obviously flat affect and

57:10 their mood has been affected and their general

57:11 well-being is affected. Their appetite could be

57:12 affected.

57:15 - 57:25

**Raj, Kavitha 01-08-2019 (00:00:26)**

RajFINAL.40

57:15 Q. And you said, "We discussed about poor

57:16 prognosis today."

57:17 What does that mean? Or do you recall

57:18 what you discussed?

57:19 A. Primary CNS lymphoma is one of the most

57:20 aggressive cancers to have. And it is typically not

57:21 curable. So we discussed about that when I met her

57:22 in the office that day.

57:23 Q. And did you convey that -- those facts to

57:24 her?

57:25 A. Uh-huh.

58:7 - 59:6

**Raj, Kavitha 01-08-2019 (00:01:02)**

RajFINAL.50

58:7 Q. Okay. Did you -- do you recall if you did

58:8 start her on Effexor?

58:9 A. Yes.

58:10 Q. What is Effexor?

58:11 A. Effexor is an antidepressant.

58:12 Q. And is it an SNRI?

58:13 A. SSRI, yes, uh-huh.

58:14 Q. All right. Number 4, "AKI." What is

58:15 that?

58:16 A. Acute kidney failure or kidney

58:17 insufficiency.

58:18 Q. Okay. And that's related to the high-dose

58:19 methotrexate?

58:20 A. Correct.

58:21 Q. Okay. And number 5, Hypertension.

58:22 Do you recall anything about that?

58:23 A. I don't recall. But looking at my

58:24 notes -- obviously I can't recall what happened in

RK4.2.13

Page/Line

Source

ID

58:25 2015. But looking at my notes looks like I have  
59:1 given her clonidine and norvasc. Those are two very  
59:2 highly effective antihypertensive medications. And  
59:3 I see that her blood pressure was high on that day,  
59:4 175 over 85. Yeah.

59:5 Q. Is high blood pressure one of the side  
59:6 effects of a drug like Rituxan?  
59:8 - 59:9 **Raj, Kavitha 01-08-2019 (00:00:05)** RajFINAL.51

59:8 THE WITNESS: High blood pressure is very  
59:9 likely due to the acute kidney failure.

59:11 - 59:18 **Raj, Kavitha 01-08-2019 (00:00:20)** RajFINAL.52

59:11 Q. That makes sense. Okay. Okay. Great,  
59:12 Doctor. I appreciate you going -- step through  
59:13 there. I'm just going to go through the next ones  
59:14 much quicker. I just wanted to get through all the  
59:15 initial meeting.

59:16 So I'm going to hand you Exhibit Number 5.

RK5.1

59:17 (Whereupon, Exhibit 5 was marked for  
59:18 identification.)

60:24 - 61:16 **Raj, Kavitha 01-08-2019 (00:00:47)** RajFINAL.53

60:24 So the record we're looking at, Exhibit 5,

RK5.1.1

60:25 it's dated May 26, 2015.

61:1 Do you see that?

61:2 A. Uh-huh.

61:3 Q. And if you look at your previous record  
61:4 this appears to be a follow-up from just a few days  
61:5 prior when you first met with Mrs. Pilliod, right?

61:6 A. Yes.

61:7 Q. Okay. And the information here's largely  
61:8 the same. I just wanted to turn to the second page  
61:9 under the assessment. Well, I'll back up.

61:10 Based on what you can see in this record,  
61:11 do you know what the purpose of this follow-up was?

61:12 A. It was probably to see her, looks like  
61:13 within a week, to check her labs to see where her  
61:14 kidney numbers are, where her symptoms are, and what  
61:15 her blood numbers are. Just as a follow-up to make  
61:16 sure that things are not getting worse.

61:17 - 62:2 **Raj, Kavitha 01-08-2019 (00:00:17)** RajFINAL.54

61:17 Q. Okay. And if you turn to the assessment

RK5.2.1

Page/Line

Source

ID

61:18 on page 2, there's a new one that's been added,

61:19 anemia.

61:20 Do you see that?

61:21 A. Uh-huh.

61:22 Q. What is anemia?

61:23 A. Anemia is low red blood cell count. It's

61:24 commonly seen as a side effect of chemotherapy.

61:25 Q. And that's what it says right here, "Due

62:1 to chemo." Was that your assessment?

62:2 A. Correct. Uh-huh.

62:13 - 63:6

**Raj, Kavitha 01-08-2019 (00:00:57)**

RajFINAL.55

62:13 And then on the last page, number 8,

RK5.3.1

62:14 "Consider for intrathecal Rituxan study UCSF."

62:15 A. Uh-huh.

62:16 Q. Do you recall what that study was?

62:17 A. I think it was done by Dr. Rubenstein.

clear

62:18 He's a very -- he's an expert neuro-oncologist.

62:19 Intrathecal -- Rituxan is given

62:20 intravenously. That has been known for years. But

62:21 to give any large molecule into the brain, it

62:22 carries a risk of dying from it. So that was

62:23 actually done as a study in people with high risk

62:24 disease who cannot tolerate standard of care therapy

62:25 or who don't do well or progressive on standard of

63:1 care therapy.

63:2 So that's a study that was ongoing at that

63:3 time at UCSF.

63:4 Q. Do you know why injecting straight into

63:5 the spinal or the nervous system can cause death?

63:6 A. Well, I mean --

63:8 - 63:13

**Raj, Kavitha 01-08-2019 (00:00:17)**

RajFINAL.56

63:8 THE WITNESS: -- if you inject a foreign

63:9 body into the most important part of our system, it

63:10 can cause problems like any swelling, inflammation,

63:11 people can go into seizures. Brain is a part which

63:12 controls our breathing. Any kind of, you know,

63:13 problems there could make someone stop breathing.

63:15 - 64:5

**Raj, Kavitha 01-08-2019 (00:00:39)**

RajFINAL.57

63:15 Q. At this time, in 2015, when Mrs. Pilliod

63:16 was presenting to you, was this the standard of

Page/Line

Source

ID

63:17 care, this direct injection into the nervous system?

63:18 A. No.

63:19 Q. Was it experimental?

63:20 A. Yes.

63:21 Q. And why at that time were you recommending

63:22 this experimental treatment for Mrs. Pilliod?

63:23 A. Two things. One, she had a very -- she

63:24 has a very high risk disease. And we were not able

63:25 to deliver the standard of care treatment, which was

64:1 the high-dose methotrexate. Even at lower doses she

64:2 was having kidney failure.

64:3 Q. So essentially, we're talking about life

64:4 or death here, why not go for it?

64:5 A. Correct.

64:13 - 64:15

**Raj, Kavitha 01-08-2019 (00:00:01)**

RajFINAL.58

64:13 I'm handing you Exhibit 6.

RK0.1

64:14 (Whereupon, Exhibit 6 was marked for

64:15 identification.)

64:17 - 64:18

**Raj, Kavitha 01-08-2019 (00:00:02)**

RajFINAL.59

64:17 Q. This is another one of your medical

64:18 records, right, Doctor?

64:22 - 64:22

**Raj, Kavitha 01-08-2019 (00:00:00)**

RajFINAL.00

64:22 A. Yeah. Yes, it is.

64:24 - 66:22

**Raj, Kavitha 01-08-2019 (00:02:08)**

RajFINAL.01

64:24 This is dated June 8, 2015, right?

RK0.1.1

64:25 A. Yes.

65:1 Q. And this is -- it says it's another

65:2 follow-up, right?

65:3 A. Yes.

65:4 Q. All right. If you turn to the last page,

RK0.2.1

65:5 under Assessment, it has the same sort of problems

65:6 that we've identified in the previous medical

65:7 records.

65:8 A. Yes.

65:9 Q. Okay. And then it says, "Recommendation

RK0.2.2

65:10 plan," and it says, "Proceed with dose reduced MTX."

65:11 Do you see that?

65:12 A. Yes.

65:13 Q. What was that?

65:14 A. Excuse me.

Page/Line

Source

ID

65:15 Q. Sure.

65:16 A. So between May to now, which is June 8th,  
65:17 the decision has been made to go ahead and try the  
65:18 dose reduced methotrexate again.

65:19 So that's what it means.

65:20 Q. Okay. And why did you want to do that, if  
65:21 you can recall?

clear

65:22 A. I think more like we had more chances for  
65:23 her to safely receive the high-dose methotrexate and  
65:24 we had knowledge, medical knowledge that it would  
65:25 work. So we were trying to give her one more chance  
66:1 because the kidney numbers improved from 2 point  
66:2 something to 1.8. So we were kind of desperate to  
66:3 give something effective.

66:4 Q. Now, does -- generally speaking, does a  
66:5 reduced MTX dose, does it get into the CNS?

66:6 A. Usually it's a high dose that has more  
66:7 penetration. But hypothetically, we think once we  
66:8 give high dose, we have made some dent in the blood  
66:9 brain barrier and maybe there's more chance for even  
66:10 a lower dose to penetrate.

66:11 So that's a hypothetical, you know,  
66:12 understanding. Yeah.

66:13 Q. And are you doing this because you're  
66:14 really sort of -- don't have any options at this  
66:15 point?

66:16 A. Correct. No other choice, yeah.

66:17 Q. And then it says, number 2, "Continue more  
66:18 days of the" -- can you say that word?

RK0.2.3

66:19 A. Leucovorin.

66:20 Q. Leucovorin. And that's the stuff that  
66:21 helps -- sort of antidote to that?

66:22 A. Correct. Yes.

66:24 - 67:1

**Raj, Kavitha 01-08-2019 (00:00:02)**

RajFINAL.227

66:24 I'm handing you Exhibit 7.

RK7.1

66:25 (Whereupon, Exhibit 7 was marked for  
67:1 identification.)

67:4 - 68:1

**Raj, Kavitha 01-08-2019 (00:00:59)**

RajFINAL.02

67:4 Q. This is another one of your medical  
67:5 records, right, Doctor?

RK7.1.1

67:6 A. Yes.

67:7 Q. Okay. And this is dated September 21st,  
67:8 2015. Do you see that?

67:9 A. Yes.

67:10 Q. Okay. And if you look under the History  
67:11 of Present Illness, the second paragraph on the  
67:12 first page, it says, "After cycle number 3 of MTX  
67:13 Rituxan/Temodar, MTX is discontinued since she could  
67:14 not tolerate high-dose methotrexate due to elevated  
67:15 creatinine.

RK7.1.2

67:16 "But now that her creatinine has  
67:17 normalized since June 2015 dose reduced methotrexate  
67:18 was restarted on July 27, 2015. She last received  
67:19 methotrexate on September 14th, 2015, and is SP  
67:20 cycle number 8."

67:21 Did I read that right?

67:22 A. Yes.

67:23 Q. Okay. So this is sort of a flash forward  
67:24 in time as to how she's doing even with this low  
67:25 dose methotrexate?

68:1 A. Yes.

68:2 - 69:17

**Raj, Kavitha 01-08-2019 (00:01:53)**

RajFINAL.03

68:2 Q. Okay. And just looking at this document,  
68:3 to the extent that you can, Doctor, how did she  
68:4 present at this time?

68:5 A. I mean, I can't recall, but I have to go  
68:6 with what I have written in my note here.

68:7 Q. Sure.

68:8 A. I see that from my notes, that she has  
68:9 completed eight cycles of her treatment and she had  
68:10 continued trouble with her balance and blurred  
68:11 vision. I remember her wearing an eye patch for her  
68:12 double vision for the longest time. And she -- I  
68:13 have written here saying that she is getting her  
68:14 eyeglasses tomorrow. But otherwise, looks like --  
68:15 other than that, she was doing fair.

clear

68:16 Q. And then if you look at -- on -- on the  
68:17 assessment number 2 --

RK7.2.1

68:18 A. Uh-huh.

68:19 Q. -- under the "lethargy/balance issues."

Page/Line

Source

ID

68:20 A. Uh-huh.

68:21 Q. It says -- after the first part, it says,

68:22 "She was started on dexamethasone."

68:23 A. Uh-huh.

68:24 Q. "Four milligrams twice a day."

68:25 Or is that once a day? What is BID?

69:1 A. BID is twice a day.

69:2 Q. Okay.

69:3 "However, there has not been any changes

69:4 in her symptoms."

69:5 What is that drug?

69:6 A. So we talked about the swelling around the

69:7 brain, and this drug can decrease the swelling if

69:8 the symptoms are due to the swelling. But if the

69:9 symptoms are due to the cancer or, like we

69:10 discussed, the brain, permanent brain damage caused

69:11 by the cancer, then their symptoms wouldn't change.

69:12 So we started her on steroids, but her

69:13 symptoms did not change. So we discontinued the

69:14 dexamethasone because the MRI showed an improvement

69:15 in the cancer, no swelling.

69:16 And then she was receiving physical

69:17 therapy.

70:1 - 70:9

**Raj, Kavitha 01-08-2019 (00:00:24)**

RajFINAL.04

70:1 Q. Okay. And I see here that you still have

RK7.3.1

70:2 her continuing with Effexor for depression; is that

70:3 right?

70:4 A. Looks like it.

70:5 Q. Okay. Great. All right.

70:6 I'm handing you another document,

RK8.1

70:7 Exhibit 8 to your deposition.

70:8 (Whereupon, Exhibit 8 was marked for

70:9 identification.)

70:11 - 70:13

**Raj, Kavitha 01-08-2019 (00:00:06)**

RajFINAL.05

70:11 Q. Do you recognize this document, Doctor?

70:12 A. Yes. Looks like I have -- this is my

70:13 document, yes.

71:10 - 72:19

**Raj, Kavitha 01-08-2019 (00:01:33)**

RajFINAL.00

71:10 Q. Okay. I'm just going to read you the

71:11 first paragraph and hopefully not butcher it too

RK8.1.3

71:12 much.

71:13 "This is a 72-year-old-female with a  
71:14 history of bladder cancer and history of diffuse  
71:15 large B-cell CNS lymphoma here for follow-up. I was  
71:16 asked to see her to discuss treatment options while  
71:17 waiting for UCSF consultant for evaluation for  
71:18 clinical trial due to disease progression on recent  
71:19 MRI.

71:20 "At this time, she reports they are unable  
71:21 to see her for four weeks and she is concerned about  
71:22 disease progression. She completed last dose of  
71:23 Temodar on February 1st, 2016.

71:24 "She has ongoing trouble with balance and  
71:25 blurred vision which is stable but no longer  
72:1 requires the assistance of a walker. She continues  
72:2 to have some dizziness unchanged from before. No  
72:3 headaches or nausea. Otherwise, she feels well  
72:4 today. Her energy level is improving. No shortness  
72:5 of breath or chest pain. No abdominal pain. No  
72:6 lower extremity swelling. She is accompanied by her  
72:7 husband."

72:8 Did I read that right?

72:9 A. Yes.

72:10 Q. Based on this record, what did you  
72:11 understand she was presenting with on August 11,  
72:12 2016?

72:13 A. So based on this, it looks like she had  
72:14 been off of treatment for about six months but looks  
72:15 like the MRI showed that the cancer was getting  
72:16 worse. And she was waiting to be seen by the UCSF  
72:17 expert doctor and looks like she called in and  
72:18 asked -- asked us to see her -- to see what could be  
72:19 done in between.

73:8 - 74:19

**Raj, Kavitha 01-08-2019 (00:01:43)**

73:8 And it says here in this first paragraph  
73:9 that, "She's still presenting with dizziness and  
73:10 blurred vision."

73:11 Do you see that?

73:12 A. Yes.

73:13 Q. And this is over a year from the

RajFINAL.07

RK6.1.4



73:14 previous -- the initial consult?

73:15 A. Right.

73:16 Q. And is it your understanding that her

73:17 neurological problems didn't -- were still present

73:18 as of August 11, 2016?

73:19 A. Yes.

73:20 Q. Okay. At the time that you were treating

73:21 her in 2016, did you have any opinions about the

73:22 cause of those neurological problems?

73:23 A. Or the cause that we -- we concluded, the

73:24 cause of the neurologic problems were due to

73:25 permanent damage to the brain because of the cancer

74:1 that she had in those areas.

74:2 Q. Okay. If you turn to the second page

74:3 under Assessment, "Diffuse large B-cell CNS

74:4 lymphoma. Repeat MRI brain July 31st, 2016. Shows

74:5 two new abnormal enhancing lesions in the right

74:6 lateral ventricle, 5 by 5 by 6 millimeters and right

74:7 periventricular white matter, 6 by 8 by 9

74:8 millimeters. Concerning for disease recurrence in

74:9 this patient with prior history of CNS lymphoma."

74:10 What does that mean?

74:11 A. That means the MRI shows two new areas of

74:12 cancer growth that are concerning obviously for

74:13 cancer to have come back.

74:14 Q. Okay. If you look at the bottom, it still

74:15 mentions lethargy and balance issues.

74:16 Do you see that?

74:17 A. Yes.

74:18 Q. And it also still has depression there.

74:19 A. Yes.

75:5 - 75:7

**Raj, Kavitha 01-08-2019 (00:00:01)**

RajFINAL.00

75:5 Q. Okay. I'm handing you another document.

RK0.1

75:6 (Whereupon, Exhibit 9 was marked for

75:7 identification.)

76:4 - 76:19

**Raj, Kavitha 01-08-2019 (00:00:39)**

RajFINAL.70

76:4 Q. All right. So this is a follow-up, and

RK0.1.3

76:5 this is now March 9th, 2017.

76:6 Do you see that?

76:7 A. Uh-huh. Uh-huh.

76:8 Q. I'm sorry, I need a "yes."

76:9 A. Yes. Sorry.

76:10 Q. Yeah. All right.

76:11 History of Present Illness. "This is a

76:12 17-" -- "72-year-old-female with a history of

76:13 bladder cancer and history of diffuse large B-cell

76:14 CNS lymphoma here for a follow-up. She was treated

76:15 at UCSF with MTR in October of 2016. She was

76:16 started on consolidation with" -- do you know what

76:17 that is?

76:18 A. Yeah. Etoposide and cytarabine. Those

76:19 are chemotherapy drugs.

RK9.1.4

76:20 - 76:24

**Raj, Kavitha 01-08-2019 (00:00:15)**

RajFINAL.71

76:20 Q. Okay. So February 4th, 2017, she reports

76:21 that, "MRI in 2017 was normal. Upon completion of

76:22 treatment, she was hospitalized due to severe

76:23 neutropenia."

76:24 A. Neutropenia.

76:25 - 77:21

**Raj, Kavitha 01-08-2019 (00:00:42)**

RajFINAL.72

76:25 Q. Neutropenia.

77:1 What are those -- the second one?

77:2 A. Pyelonephritis. That's kidney infection.

77:3 Q. And pneumonia?

77:4 A. Yes.

77:5 Q. Are all three of those types of infection?

77:6 A. Neutropenia is low blood count, severely

77:7 low blood count that puts people at a very

77:8 immunocompromised state due to the chemotherapy that

77:9 has resulted in the kidney infection and pneumonia

77:10 likely.

77:11 Q. Okay. So based on this, she had these

77:12 infections and these low blood counts and that's why

77:13 she was hospitalized; is that right?

77:14 A. Yes.

77:15 Q. And that was in response to the -- the

77:16 chemotherapy she was receiving?

77:17 A. Yes.

77:18 Q. Based on your experience, was the

77:19 chemotherapy she was receiving, was that pretty

77:20 intense chemotherapy?

Page/Line

Source

ID

78:16 - 79:3

77:21 A. Very intense.

**Raj, Kavitha 01-08-2019 (00:00:47)**

RajFINAL.73

78:16 Q. Okay. So based on what you reviewed here,  
78:17 how did she present on March 9th, 2017?

clear

78:18 A. So she was mostly treated at UCSF because  
78:19 she looks like, from the notes that we have reviewed  
78:20 earlier, she ended up having recurrent disease and  
78:21 she ended up requiring a very heavy duty, high dose  
78:22 chemotherapy drug and that resulted in some  
78:23 complications and infections and then hospitalized  
78:24 for that.78:25 And she was weak so she went to a rehab  
79:1 facility to get stronger. And then she looks like  
79:2 she was discharged from that and recovering from all  
79:3 of that hospitalization and illness.

79:9 - 80:2

**Raj, Kavitha 01-08-2019 (00:00:47)**

RajFINAL.74

79:9 Q. And again, she still has depression.

79:10 Do you see that?

79:11 A. Yes.

79:12 Q. On the next page.

RK9.3.1

79:13 Doctor, the depression, do you know -- do  
79:14 you have a -- since you're the one who diagnosed  
79:15 her, do you believe you know what the cause of her  
79:16 depression was?79:17 A. The cause of the depression is very likely  
79:18 having a very serious cancer. In addition to going  
79:19 through all of this very aggressive treatment  
79:20 that's -- that's making her feel, you know, tired  
79:21 and have a lot of other side effects and  
79:22 complications. So it's all multifactorial together.79:23 Q. Do you know or have any opinion whether  
79:24 the depression in any way was associated with any  
79:25 brain damage she may have received?

clear

80:1 A. Brain damage does increase the risk of  
80:2 depression in people.

80:23 - 81:7

**Raj, Kavitha 01-08-2019 (00:00:19)**

RajFINAL.75

80:23 Q. And did -- are you still treating  
80:24 Mrs. Pilliod?

80:25 A. I am not the primary treating physician.

81:1 Q. Okay. Do you still see her, though?

81:2 A. I can't remember when was the last time I  
81:3 had seen her. I -- it feels like it's been a while,  
81:4 but I have seen her, yes.

81:5 Q. Okay. And you understand Mrs. Pilliod's  
81:6 alive right now?

81:7 A. Yes, I know that.

81:8 - 81:11

**Raj, Kavitha 01-08-2019 (00:00:07)**

RajFINAL.229

81:8 Q. When she first presented with her CNS  
81:9 lymphoma in 2015, did you think she'd be alive  
81:10 today?

81:11 A. No.

83:6 - 84:17

**Raj, Kavitha 01-08-2019 (00:01:23)**

RajFINAL.70

83:6 But starting with an overview, it's my  
83:7 understanding that you, yourself, did not actually  
83:8 diagnose Ms. Pilliod with her CNS lymphoma; is that  
83:9 right?

83:10 A. Correct.

83:11 Q. She was originally diagnosed at Stanford  
83:12 in Palo Alto and then came to you when she was  
83:13 already started treatment; is that right?

83:14 A. Correct.

83:15 Q. And I understand that you started treating  
83:16 her, from the records, in about May of 2015; is that  
83:17 right?

83:18 A. Correct.

83:19 Q. And she was already receiving some  
83:20 high-dose methotrexate and other chemotherapy drugs  
83:21 at the time she came to you?

83:22 A. Yes, she looks like she had received two  
83:23 cycles of high-dose methotrexate before I had seen  
83:24 her.

83:25 Q. And you were treating, based on your  
84:1 records, Ms. Pilliod in conjunction with other  
84:2 physicians at both Stanford and UCSF; is that right?

84:3 A. Correct.

84:4 Q. All right. And my understanding is  
84:5 Dr. Gupta is at Stanford, yes?

84:6 A. Yes.

84:7 Q. And Dr. Rubenstein is at UCSF?

84:8 A. Correct.

Page/Line

Source

ID

84:9 Q. All right. And is it your understanding  
84:10 that both of those gentlemen have specialties in the  
84:11 type of cancer that she actually had, which is the  
84:12 CNS lymphoma?

84:13 A. Correct.

84:14 Q. And is it your understanding that she is  
84:15 still; that is, Ms. Pilliod, is still followed by  
84:16 Dr. Rubenstein at UCSF?

84:17 A. Correct.

85:1 - 85:3

**Raj, Kavitha 01-08-2019 (00:00:04)**

RajFINAL.77

85:1 Q. But in terms of the imaging, her brain had  
85:2 normalized?

85:3 A. Correct.

87:8 - 87:12

**Raj, Kavitha 01-08-2019 (00:00:11)**

RajFINAL.78

87:8 Q. All right. And in terms of where you  
87:9 practice now, where we are today, it's Valley  
87:10 Medical Oncology Consultants that's affiliated with  
87:11 Stanford Health; is that right?

87:12 A. Correct.

89:12 - 89:14

**Raj, Kavitha 01-08-2019 (00:00:07)**

RajFINAL.79

89:12 Q. All right. And are there benefits to your  
89:13 patients here in Pleasanton of being affiliated with  
89:14 a larger network of Stanford Health Care?

89:16 - 90:2

**Raj, Kavitha 01-08-2019 (00:00:34)**

RajFINAL.80

89:16 THE WITNESS: Of course, yes.

89:17 BY MR. TOMASELLI:

89:18 Q. And what are those, in your mind, in your  
89:19 own mind, as you treat patients?

89:20 A. Well, most of the patients in this country  
89:21 are treated in a community facility, community  
89:22 oncology facility like this, about 85 percent of  
89:23 patients. Not everybody can access university care  
89:24 directly. So we are very excited to provide the  
89:25 academic level care in our community and able to  
90:1 communicate better with people who have some special  
90:2 expertise and access to clinical trials.

90:11 - 91:5

**Raj, Kavitha 01-08-2019 (00:01:03)**

RajFINAL.81

90:11 Q. Okay. And how many patients do you think  
90:12 you've treated in your time for non-Hodgkin's  
90:13 lymphoma? And that's all the different subtypes.

90:14 A. I don't think I can give a number, but it  
 90:15 is a pretty common type of cancer. It's not that  
 90:16 rare. So it is -- it is a common type of cancer.  
 90:17 Q. Hundreds maybe?  
 90:18 A. Like several hundreds. Definitely more  
 90:19 than a hundred.  
 90:20 Q. Okay. And -- and in your treatment of  
 90:21 your patients, do you consider non-Hodgkin's  
 90:22 lymphoma just one disease and treat them all the  
 90:23 same?  
 90:24 A. Not really.  
 90:25 Q. And approximately how many patients have  
 91:1 you treated for primary CNS lymphoma?  
 91:2 A. Handful.  
 91:3 Q. And is that because that's a relatively  
 91:4 rare type of non-Hodgkin's lymphoma?  
 91:5 A. Yes, it is a very rare type.

96:13 - 96:18

**Raj, Kavitha 01-08-2019 (00:00:18)**

RajFINAL.82

96:13 Q. But in terms of your care and treatment of  
 96:14 Mrs. Pilliod, did you agree and did you recognize  
 96:15 that -- that a risk factor for non-Hodgkin's  
 96:16 lymphoma is when the immune system is weakened  
 96:17 because of something or because of a various  
 96:18 treatment, like it says here in the website?

96:20 - 96:23

**Raj, Kavitha 01-08-2019 (00:00:11)**

RajFINAL.83

96:20 THE WITNESS: I -- I don't think this  
 96:21 applies to her because I don't think she had any  
 96:22 kind of an immune disease that weakened her immune  
 96:23 system that predisposed her to get the lymphoma.

97:2 - 97:4

**Raj, Kavitha 01-08-2019 (00:00:04)**

RajFINAL.84

97:2 Q. At least she didn't -- you're not aware of  
 97:3 any autoimmune disease that she had?  
 97:4 A. Correct.

98:5 - 98:6

**Raj, Kavitha 01-08-2019 (00:00:04)**

RajFINAL.85

98:5 I'm going to hand you what I've marked as  
 98:6 Exhibit Number 13.

RK13.1

98:10 - 99:6

**Raj, Kavitha 01-08-2019 (00:00:54)**

RajFINAL.86

98:10 Q. And does that appear to you to be one of  
 98:11 your records related to Mrs. Pilliod?

RK13.1.1

98:12 A. Yes.

Page/Line

Source

ID

98:13 Q. And the date on this is December the 20th  
98:14 of 2017, right?

98:15 A. Yes.

98:16 Q. And so that's about a year ago?

98:17 A. Yes.

98:18 Q. And I think this is your last note related  
98:19 to Mrs. Pilliod in your records. But do you recall  
98:20 seeing her as a patient anytime since December of  
98:21 last year, or even two years ago now?

98:22 A. I -- I mean, if this is the last note,  
98:23 then that's the last time I have seen her. I don't  
98:24 remember seeing her in the recent past.

98:25 Q. Okay. And just to, again, follow up on a  
99:1 few things, in the History of Present Illness at  
99:2 the -- at the top of the page, it talks about the  
99:3 fact that she's 73 and she had a history of bladder  
99:4 cancer.

99:5 Do you see that?

99:6 A. Yes.

99:16 - 100:3

**Raj, Kavitha 01-08-2019 (00:00:26)**

RK13.1.2

RajFINAL.87

99:16 Q. So she had a personal history of cancer,  
99:17 of bladder cancer prior to you ever seeing her; is  
99:18 that right?

99:19 A. Correct.

99:20 Q. "Yes"?

99:21 A. Yes.

99:22 Q. Okay. And is that an important -- is that  
99:23 an important thing to know for your care and  
99:24 treatment?

99:25 A. It is important to know the history,  
100:1 especially if they had any exposure to radiation and  
100:2 chemotherapy, like stated in that risk factor.  
100:3 So it is important, yes.

100:4 - 100:12

**Raj, Kavitha 01-08-2019 (00:00:16)**

clear

RajFINAL.88

100:4 Q. And do you know what -- how she was  
100:5 treated for that bladder cancer in 2010?

100:6 A. From looking through my previous note that  
100:7 we had reviewed earlier, it looks like she had  
100:8 surgery for that.

100:9 Q. Were you aware that she also had BCG

Page/Line	Source	ID
100:13 - 100:18	<p>100:10 therapy?</p> <p>100:11 A. That's a very typical way of treating,</p> <p>100:12 yes.</p>	RajFINAL.89
101:9 - 101:13	<p><b>Raj, Kavitha 01-08-2019 (00:00:19)</b></p> <p>100:13 Q. Is BCG therapy a type of immunotherapy?</p> <p>100:14 A. I'm not the doctor who usually administers</p> <p>100:15 the therapy so I don't want to answer a lot of</p> <p>100:16 questions on that. It is -- it is kind of a</p> <p>100:17 vaccination type of therapy. It's similar to that,</p> <p>100:18 yes.</p>	RajFINAL.90
101:18 - 102:3	<p><b>Raj, Kavitha 01-08-2019 (00:00:13)</b></p> <p>101:9 Q. And in terms of the neurologic symptoms of</p> <p>101:10 primary CNS lymphoma, are those generally different</p> <p>101:11 from the symptoms you might expect with a systemic</p> <p>101:12 diffuse large B-cell lymphoma?</p> <p>101:13 A. Yes.</p>	RajFINAL.91 RK13.1.3
102:13 - 102:24	<p><b>Raj, Kavitha 01-08-2019 (00:00:20)</b></p> <p>101:18 Q. And I -- I thought we established that she</p> <p>101:19 had some neurologic symptoms when she presented,</p> <p>101:20 like walking instability and double vision and some</p> <p>101:21 vertigo and some headaches.</p> <p>101:22 Do you recall that?</p> <p>101:23 A. Yes.</p> <p>101:24 Q. And my question to you is, in -- in the</p> <p>101:25 care and treatment of her with those neurologic</p> <p>102:1 symptoms, those are related to the primary CNS</p> <p>102:2 lymphoma; is that right?</p> <p>102:3 A. Correct.</p>	RajFINAL.92 clear





104:15 A. Yes. Uh-huh.

104:16 Q. Okay. Was there anything in the biopsy or  
104:17 the slides, the histopathology, that suggested to  
104:18 you that any use of Roundup or a pesticide was  
104:19 related to her cancer in any way?

104:20 A. I don't think you can actually know that  
104:21 by testing the lymphoma cells or cancer cells. You  
104:22 can't say that.

104:23 Q. Okay. So they're -- so truly, there's  
104:24 nothing in that pathology or histopathology that  
104:25 would lead you to that conclusion?

105:1 A. I don't think we -- I don't think it's  
105:2 possible to know that just based on looking at the  
105:3 cancer cells under the microscope that -- what  
105:4 caused it. We can only diagnose what it is. I  
105:5 don't think we can tell from looking at the cells  
105:6 that this is what had caused their cancer.

105:7 Q. Okay. And is that also true for the  
105:8 imaging and testing that she received, the -- the  
105:9 CTs and the MRIs and the PET scan, that there's  
105:10 nothing in any of those imaging tests that would  
105:11 suggest to you that Roundup, any use of Roundup or a  
105:12 pesticide was the specific cause of her cancer?

105:13 A. So even if someone had received  
105:14 chemotherapy or radiation and they developed  
105:15 lymphoma we can only say that it is a possible  
105:16 factor. We cannot say for sure.

105:17 Q. Right. The imaging, the biopsies, the  
105:18 histopathology, there's no pathological marker in  
105:19 any of those for the cause of a disease, or the  
105:20 cause of a particular primary CNS lymphoma; is that  
105:21 right?

105:22 A. Correct, not that I know of.

105:23 Q. All right. And likewise, you performed  
105:24 physical exams on Mrs. Pilliod, right?

105:25 A. Yes.

106:1 Q. Every time you saw her, true?

106:2 A. Yes.

106:3 Q. All right. And that's very typical,  
106:4 right?

Page/Line	Source	ID
106:6 - 106:15	<p>106:5 A. Yes.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:31)</b></p> <p>106:6 Q. And was there anything in any physical 106:7 exam that you ever did that suggested to you an 106:8 exact cause of her primary CNS lymphoma? 106:9 A. So I was treating her cancer. I was not 106:10 trying to find a cause of her cancer. And I really 106:11 don't think there are any physical exams pertinent 106:12 or I'm not like a toxicologist or chemist to look at 106:13 all that. So I wasn't doing any tests to look at 106:14 that. Other than that's when I -- I was doing 106:15 general physical exam, yes.</p>	RajFINAL.95
106:21 - 107:12	<p><b>Raj, Kavitha 01-08-2019 (00:00:51)</b></p> <p>106:21 Q. And I just wanted to confirm that there 106:22 was knowing in all those 13 exams that you did 106:23 repeatedly with a physical exam that told you or led 106:24 you in any way to believe exactly what may have 106:25 contributed to her primary CNS lymphoma, right? 107:1 A. Correct. 107:2 Q. All right. And you talked about the fact 107:3 that the first time she was treated in the summer of 107:4 2015 she was treated with a high-dose methotrexate? 107:5 A. Yes. 107:6 Q. Along with a couple of other chemotherapy 107:7 drugs; is that right? 107:8 A. Yes. 107:9 Q. And is high-dose methotrexate, is that -- 107:10 like for Mrs. Pilliod, is that the standard 107:11 chemotherapy for primary CNS lymphoma? 107:12 A. Yes, it is.</p>	RajFINAL.96
107:13 - 107:21	<p><b>Raj, Kavitha 01-08-2019 (00:00:29)</b></p> <p>107:13 Q. And did you consider, when she came to you 107:14 in May of 2015, prescribing a different chemotherapy 107:15 regimen of any sort? 107:16 A. This is a rare cancer, and we do not have 107:17 a lot of treatment options. It's essentially the 107:18 only treatment option that we have. So other than 107:19 experimental clinical trial approaches, there's not 107:20 like any standard of care treatment that's available 107:21 for primary CNS lymphoma.</p>	RajFINAL.97

Page/Line	Source	ID
107:22 - 108:2	<p><b>Raj, Kavitha 01-08-2019 (00:00:13)</b></p> <p>107:22 Q. And the note goes on to say -- and you  107:23 talked to plaintiffs' counsel about the fact that  107:24 during that treatment of the first time with the  107:25 high-dose methotrexate that she had some acute  108:1 kidney injury; is that right?  108:2 A. Yes.</p>	RajFINAL.98
108:3 - 108:10	<p><b>Raj, Kavitha 01-08-2019 (00:00:19)</b></p> <p>108:3 Q. All right. And you were able to see that  108:4 and the doctors were able to see that based on her  108:5 increase in her creatinine level?  108:6 A. Correct.  108:7 Q. And is an increase in creatinine, is that  108:8 a well understood potential side effect of the  108:9 high-dose methotrexate therapy?  108:10 A. Yes, it is.</p>	RajFINAL.99
109:9 - 109:23	<p><b>Raj, Kavitha 01-08-2019 (00:00:35)</b></p> <p>109:9 Q. Okay. And in terms of what you said,  109:10 today her kidney function is normal, true?  109:11 A. At least when I saw her December 2017.  109:12 Q. The last time you saw her, about a year  109:13 ago, her kidney function was normal?  109:14 A. Correct.  109:15 Q. And the -- the notes that you have don't  109:16 indicate any permanent kidney damage as a result of  109:17 her acute -- her acute injury in 2015; is that  109:18 right?  109:19 A. Correct.  109:20 Q. And I think you may have discussed this  109:21 with counsel, but you're not aware that she ever had  109:22 to get dialysis or anything like that, right?  109:23 A. I don't think so.</p>	RajFINAL.100
110:16 - 112:1	<p><b>Raj, Kavitha 01-08-2019 (00:01:36)</b></p> <p>110:16 Q. At the bottom of your note from December  110:17 the 20th, 2017 -- which is Exhibit 13; is that  110:18 right?  110:19 A. Uh-huh. Yes.  110:20 Q. -- you have a summary of your care and  110:21 treatment of Mrs. Pilliod that you worked with the  110:22 other physicians at Stanford; is that right?</p>	RajFINAL.102

Page/Line	Source	ID
110:23	A. Yes.	
110:24 Q. And it starts off with being diagnosed 110:25 with CNS lymphoma, starting the high-dose 111:1 methotrexate, pausing because of the acute renal 111:2 failure, like you just said, restarting that 111:3 high-dose methotrexate, and then completing the 111:4 treatment, that first treatment in -- around 111:5 September of 2015; is that right?		RK13.1.5
111:6	A. Yes.	
111:7 Q. All right. And the third line there in 111:8 the summary section that's bolded, it says, "Five 111:9 additional cycles with methotrexate, with dose 111:10 reduction, plus Rituxan and Temodar."		RK13.1.6
111:11	Do you see that?	
111:12	A. Yes.	
111:13 Q. And then it says, "CR."		
111:14	Do you see that?	
111:15	A. Yes.	
111:16 Q. What is CR?		
111:17 A. Meaning she went into complete remission.		
111:18 Q. Okay. And is that complete remission that 111:19 she went into in September of '15, is that based on 111:20 her brain imaging?		
111:21	A. Correct.	
111:22 Q. All right. And describe for me, when you 111:23 take an MRI of the brain, those abnormalities that 111:24 you talked about with counsel in April, they were 111:25 gone?		clear
112:10 - 112:17	<b>Raj, Kavitha 01-08-2019 (00:00:15)</b>	RajFINAL.103
112:10 Q. And as you discussed with counsel, she had 112:11 a recurrence in --		
112:12	A. Uh-huh.	
112:13 Q. -- July of 2016; is that right?		
112:14	A. Yes.	
112:15 Q. That was about -- about ten months or so 112:16 after her complete remission?		
112:17	A. Correct.	
112:25 - 113:24	<b>Raj, Kavitha 01-08-2019 (00:00:57)</b>	RajFINAL.104
112:25 Q. Is it uncommon for primary CNS lymphoma		

113:1 patients to have a recurrence?

113:2 A. It's --

113:3 Q. In your experience?

113:4 A. It's not uncommon. It's very common.

113:5 Q. Your notes then go on at the summary to

113:6 say that she was further treated at UCSF with the

RK13.1.7

113:7 methotrexate in about October of 2016.

113:8 Do you see that?

113:9 A. Uh-huh.

113:10 Q. Is that a "yes"?

113:11 A. I think so. It says, "MTR." I wonder

113:12 whether it's -- it's methotrexate or something else.

113:13 But she did receive something at UCSF followed by

113:14 the consolidation chemotherapy.

113:15 Q. And MTR could be methotrexate, Temodar,

113:16 and Rituxan?

113:17 A. Correct.

113:18 Q. Okay. And that would be consistent with

113:19 what she was treated with the first time that put

113:20 her into complete remission?

113:21 A. Right.

113:22 Q. All right. And in terms of the UCF,

113:23 that's the treatment with Dr. Rubenstein?

113:24 A. Yes.

113:25 - 114:6

**Raj, Kavitha 01-08-2019 (00:00:14)**

RajFINAL.105

113:25 Q. And I think you said to counsel previously

114:1 that Dr. Rubenstein is an expert in this?

114:2 A. Neuro-oncology.

114:3 Q. All right. And is he an expert in this

114:4 type of lymphoma?

114:5 A. He just treats brain cancers and brain

114:6 lymphomas.

115:1 - 115:8

**Raj, Kavitha 01-08-2019 (00:00:19)**

RajFINAL.106

115:1 And in any event, she was treated at UCSF

115:2 and then that methotrexate was -- was continued

115:3 until about January of 2017; is that right?

115:4 A. That's what it looks like, from looking

115:5 through the notes.

RK13.1.8

115:6 Q. And then she had the consolidation therapy

115:7 for the high dose chemotherapy for another month?

Page/Line	Source	ID
115:20 - 116:16	<p>115:8 A. Correct.</p> <p><b>Raj, Kavitha 01-08-2019 (00:01:07)</b></p> <p>115:20 Q. So the fact that she was on consolidation 115:21 therapy, you would conclude that she had complete 115:22 remission and response prior to that time? 115:23 A. Yes. 115:24 Q. All right. And so that would have been in 115:25 February or so of 2017? 116:1 A. Possible, yeah. 116:2 Q. And I think you were just looking at -- on 116:3 page 2, your assessment number 1; is that right? 116:4 A. Okay. 116:5 Q. And here, in the assessment, about the 116:6 third sentence, it says, "The most recent MRI in 116:7 October of 2017 shows no evidence of disease." 116:8 A. Yes. 116:9 Q. And what does it mean that, again, in 116:10 October of 2017, she's showing no evidence of 116:11 disease? 116:12 A. So she had received that methotrexate, 116:13 Temodar, Rituxan followed by consolidation. And at 116:14 that point, she had been maintained on Revlimid 116:15 maintenance therapy and her MRI brain did not show 116:16 any evidence of active cancer.</p>	RajFINAL.107
117:11 - 117:15	<p><b>Raj, Kavitha 01-08-2019 (00:00:16)</b></p> <p>117:11 Q. Okay. And then, as we sit here today, in 117:12 January of 2019, we're almost two years from the 117:13 time that she ended her methotrexate for the second 117:14 time and her consolidation therapy; is that right? 117:15 A. Correct.</p>	RajFINAL.108 clear
118:2 - 119:1	<p><b>Raj, Kavitha 01-08-2019 (00:01:09)</b></p> <p>118:2 Q. As of the last time you saw Mrs. Pilliod 118:3 in December of 2017 as a patient, was the longer 118:4 time that she went out without a recurrence, would 118:5 that decrease -- that chance of recurrence decrease 118:6 with -- with the time from treatment? 118:7 A. So before I answer that question, I don't 118:8 think she would be alive today. That's how bad this 118:9 cancer was. 118:10 Q. Right.</p>	RajFINAL.109

118:11 A. I was actually surprised that she did  
 118:12 well, although she had a lot of problems and  
 118:13 complications with the chemotherapy. She has done  
 118:14 very well, no doubt about it.  
 118:15 Anytime when patients go through cancer  
 118:16 treatment, the longer they are disease free, their  
 118:17 risk of relapse does go down in terms of very  
 118:18 aggressive cancers because intuitively we think  
 118:19 aggressive cancers, even if there's one cell left  
 118:20 behind, they tend to grow back quickly. So if  
 118:21 someone has not had any cancer for more than a year  
 118:22 we think that the risk of cancer is lower.  
 118:23 Q. And so as of the last time you saw  
 118:24 Mrs. Pilliod that was a great thing, that she had  
 118:25 not had a recurrence since?  
 119:1 A. Yes, of course.

119:2 - 119:15

**Raj, Kavitha 01-08-2019 (00:00:43)**

RajFINAL.110

RK13.3.1

119:2 Q. And in terms of your note here, where it  
 119:3 says, "To return to the clinic as needed," I think  
 119:4 that's toward the -- the bottom of your  
 119:5 recommendation and plan, do you have any plans, as  
 119:6 you sit here today, to see Mrs. Pilliod again as a  
 119:7 patient?

119:8 A. I don't think so because she was  
 119:9 followed -- because, you know, she needed that  
 119:10 expert -- expertise at UCSF with Dr. Rubenstein so  
 119:11 she's being followed by him. And so I didn't feel  
 119:12 like it was important for her to follow up with me  
 119:13 as well. So that's why I said return to clinic as  
 119:14 needed, assuming and knowing that she's being  
 119:15 followed very closely at UCSF.

119:16 - 120:23

**Raj, Kavitha 01-08-2019 (00:01:22)**

RajFINAL.232

119:16 Q. In terms of the first time that you saw  
 119:17 Mrs. Pilliod in -- in May of 2015, did you ever ask  
 119:18 her whether she had used Roundup at any time in  
 119:19 her -- in -- in her life? Did you ask her that at  
 119:20 the time that you saw her?

119:21 A. Typically, I would ask someone else, like  
 119:22 if I'm seeing as an initial oncology consult for  
 119:23 lymphoma, you know, exposure to toxins, chemicals.



119:24 In her situation, I think the thing is I  
 119:25 saw her at a time of distress where she's already  
 120:1 gotten treatment and had some complications. She  
 120:2 was there to seek my help to get her through more  
 120:3 treatment. So I really don't think we actually  
 120:4 discussed about it at that point.  
 120:5 Q. And you talked a little bit previously  
 120:6 with counsel about the potential for environmental  
 120:7 exposures, and I think you may have even talked  
 120:8 about pesticides generally.  
 120:9 Do you remember that?  
 120:10 A. Yes.  
 120:11 Q. Would you consider yourself an expert in  
 120:12 terms of the -- all the data and scientific articles  
 120:13 and all the publications, peer-reviewed publications  
 120:14 out there regarding whether pesticides are  
 120:15 associated with certain types of cancer?  
 120:16 A. No.  
 120:17 Q. That's not something you've spent your  
 120:18 days and weeks studying?  
 120:19 A. No.  
 120:20 Q. You're more concerned about caring for  
 120:21 Mrs. Pilliod and your patients and trying to make  
 120:22 them better?  
 120:23 A. Correct.

121:5 - 122:22

**Raj, Kavitha 01-08-2019 (00:02:11)**

RajFINAL.228

121:5 Q. And during your care and treatment of  
 121:6 Mrs. Pilliod, did you ever publish a case report  
 121:7 related to her care and treatment or -- and/or the  
 121:8 cause or what you think may have contributed to her  
 121:9 primary CNS lymphoma?  
 121:10 Did you publish anything like that?  
 121:11 A. I don't think so.  
 121:12 Q. And in your care and treatment of  
 121:13 Mrs. Pilliod, did you document anywhere that you had  
 121:14 found something that had caused or contributed to  
 121:15 her primary CNS lymphoma?  
 121:16 A. I really don't know. I have to look at  
 121:17 all of my notes to see that I have said anything.  
 121:18 But I do remember my conversation with her, like I'd

121:19 mentioned earlier, that's the only time I remember  
121:20 talking about it.

121:21 Q. Okay. And in terms of your conversation  
121:22 with Mrs. Pilliod, can you tell me a little bit  
121:23 about that conversation and when it occurred?

121:24 A. I don't know the exact time or date. I --  
121:25 I remember seeing both of them and they've asked  
122:1 about what could have caused their cancer.  
122:2 Typically, I get that question as the first question  
122:3 when I see somebody. But I -- you know, we talked  
122:4 about it a little later and I -- we talked about  
122:5 possible environmental exposure given both of them  
122:6 live in the same household and both of them being  
122:7 diagnosed with a similar type of cancer back to  
122:8 back. They were concerned.

122:9 And I did tell them it is a possibility  
122:10 that chemical exposure could cause lymphoma, and  
122:11 they brought up this exposure to some pesticides.  
122:12 And they asked me, do I think that that could have  
122:13 caused their cancer. I said it's possible but I  
122:14 can't tell that for 100 percent sure. That was my  
122:15 response to them. But I did tell them that it is  
122:16 possible.

122:17 Q. And when you said that chemicals may  
122:18 increase the risk of lymphoma, what chemicals were  
122:19 you talking about?

122:20 A. Any -- any chemical exposure on a  
122:21 consistent basis that could cause cell, you know,  
122:22 damage, DNA damage.

122:23 - 123:2

**Raj, Kavitha 01-08-2019 (00:00:10)**

RajFINAL.112

122:23 Q. And are you -- do you consider yourself an  
122:24 expert in whether any of -- any particular chemical  
122:25 is related to that or not? Have you looked into any  
123:1 of that?

clear

123:2 A. No, I'm not an expert in that.

123:3 - 123:20

**Raj, Kavitha 01-08-2019 (00:00:56)**

RajFINAL.233

123:3 Q. And so it was just your general statement  
123:4 of, there are environmental exposures. There could  
123:5 be other things that increase the risk of lymphoma?

123:6 A. There are studies that shows that exposure

123:7 to chemicals could cause cancer. These are  
123:8 epidemiologic studies. So that's the base for my,  
123:9 you know, opinion on that, that it's a possibility.

123:10 Q. Right.

123:11 And at any time did you tell Mr. and

123:12 Mrs. Pilliod during this conversation that it was  
123:13 your opinion that -- what exactly contributed or  
123:14 caused their lymphoma?

123:15 A. I did tell them that I thought it was a  
123:16 possibility if they had exposed -- if they had been  
123:17 exposed -- if they had been exposed to some  
123:18 chemicals on a consistent basis. But I did tell  
123:19 them that I can't conclude that's what had caused  
123:20 their lymphoma.

123:21 - 124:20

**Raj, Kavitha 01-08-2019 (00:00:56)**

RajFINAL.114

123:21 Q. Okay. Sort of anything -- anything's  
123:22 possible, but you did not come to any opinion as to  
123:23 a reasonable degree of medical probability as to  
123:24 what -- what exactly may have happened, right?

123:25 A. Can you repeat that?

124:1 Q. Yeah. Sure.

124:2 When we talk about possibilities in  
124:3 medicine, lots of things are possible, right?

124:4 A. Yes.

124:5 Q. Okay. But what I'm asking you is, did you  
124:6 ever come to a conclusion to a reasonable degree of  
124:7 medical certainty or probability as to what may  
124:8 have -- what may have contributed to their -- to  
124:9 their lymphomas?

124:10 A. So when it comes to cancer, I think we are  
124:11 always interested in knowing the etiology so that we  
124:12 can prevent it. Right? But there are certain  
124:13 cancers we know for sure what is the probable cause  
124:14 of that cancer. But most of the cancers, we don't  
124:15 know the probable cause. We only know the possible  
124:16 etiology. This falls in one of that.

124:17 Q. Lymphoma falls into the possibilities, not  
124:18 one of the cancers where we know what may have  
124:19 caused it?

124:20 A. For sure, correct.

126:19 - 128:16

**Raj, Kavitha 01-08-2019 (00:01:51)**

RajFINAL.115

126:19 Q. All right. Doctor, turning your  
126:20 exhibit -- attention to Exhibit 13, which is the  
126:21 last medical record, at least the last one that  
126:22 we've seen today.  
126:23 Do you recall that?  
126:24 A. Yes.  
126:25 Q. Okay. I -- I want to turn to the last  
127:1 page. And -- and it talks about the -- that -- I'm  
127:2 trying to find out where it said it.  
127:3 Do you recall discussing that she was  
127:4 taking proactively -- prophylactically Revlimid?  
127:5 A. Revlimid.  
127:6 Q. Revlimid?  
127:7 A. Yes.  
127:8 Q. And she was taking that even though she  
127:9 was in complete remission?  
127:10 A. Correct.  
127:11 Q. Why would -- why would that -- why would a  
127:12 doctor do that?  
127:13 A. Because there's a very high risk for  
127:14 cancer to come back. So that's why she was placed  
127:15 on that maintenance treatment with Revlimid.  
127:16 Q. Are there any side effects to the drug?  
127:17 A. It's an oral chemotherapy. It can cause a  
127:18 fatigue, low blood count issues.  
127:19 Q. Do you know if Dr. Rubenstein has -- has  
127:20 continued to treat her with that indefinitely?  
127:21 A. I don't know. But at the time when I saw  
127:22 her she was on it.  
127:23 Q. Even though she had been in remission for  
127:24 several months?  
127:25 A. Correct. And at -- and at that time the  
128:1 plan was to continue it as long as she was doing  
128:2 well on it.  
128:3 Q. Okay. I understand that in your last  
128:4 visit you also have her continued on Effexor.  
128:5 Do you see that?  
128:6 A. Yes.  
128:7 Q. So it'd be fair to say that she was still

Page/Line	Source	ID
	128:8 clinically depressed at that time?	
	128:9 A. Her depression was treated with Effexor	
	128:10 so --	
	128:11 Q. Fair enough. She was still being treated	
	128:12 for depression?	
	128:13 A. Right.	
	128:14 Q. Okay. And -- and to the best of your	
	128:15 knowledge, is that ingestion of that -- that	
	128:16 medication going to be indefinite?	
128:18 - 128:20	<b>Raj, Kavitha 01-08-2019 (00:00:06)</b>	RajFINAL.116
	128:18 THE WITNESS: Very likely. We keep people	
	128:19 on the drug if they are doing well. We don't try to	
	128:20 wean them off of it.	
128:22 - 129:4	<b>Raj, Kavitha 01-08-2019 (00:00:15)</b>	RajFINAL.117
	128:22 Q. Do you know if Effexor has any side	
	128:23 effects?	
	128:24 A. Yes, it does.	
	128:25 Q. And, in fact, one of the side effects of	
	129:1 Effexor is actually depression, isn't it?	
	129:2 A. Yeah, a tendency to commit suicide.	
	129:3 Q. And are you also aware that Effexor is a	
	129:4 very difficult drug to come off of?	
129:6 - 129:6	<b>Raj, Kavitha 01-08-2019 (00:00:01)</b>	RajFINAL.118
	129:6 THE WITNESS: In some people, yes.	
129:17 - 129:24	<b>Raj, Kavitha 01-08-2019 (00:00:17)</b>	RajFINAL.119
	129:17 I guess my -- my last question for you,	
	129:18 Doctor -- and that's always a terrible thing to say	
	129:19 because your last question is never your last	
	129:20 question, right?	
	129:21 But would it be fair to say, based on your	
	129:22 treatment of Mrs. Pilliod, that she has a fair -- or	
	129:23 significant chance of having a recurrence in the	
	129:24 future?	
130:1 - 130:2	<b>Raj, Kavitha 01-08-2019 (00:00:02)</b>	RajFINAL.120
	130:1 THE WITNESS: Yes, she does have a high	
	130:2 risk of recurrence.	
130:9 - 130:13	<b>Raj, Kavitha 01-08-2019 (00:00:11)</b>	RajFINAL.121
	130:9 Q. And -- and the -- and the fact that she's	
	130:10 already had one recurrence, from a clinical	
	130:11 perspective, does that increase her likelihood of	

Page/Line	Source	ID
130:23 - 130:25	<p>130:12 having another?</p> <p>130:13 A. Yes, it does.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:01)</b></p> <p>130:23 BY MR. WISNER:</p> <p>130:24 Q. Good afternoon, Doctor.</p> <p>130:25 A. Hi.</p>	RajFINAL.122
131:1 - 131:7	<p><b>Raj, Kavitha 01-08-2019 (00:00:10)</b></p> <p>131:1 Q. So I want to talk to you a little bit</p> <p>131:2 about Alva Pilliod.</p> <p>131:3 Do you recall Mr. Pilliod?</p> <p>131:4 A. Yes.</p> <p>131:5 Q. Do you recall treating him prior to a</p> <p>131:6 cancer diagnosis?</p> <p>131:7 A. Yes.</p>	RajFINAL.123
131:8 - 131:18	<p><b>Raj, Kavitha 01-08-2019 (00:00:29)</b></p> <p>131:8 Q. What do you recall?</p> <p>131:9 A. I think I was treating him for</p> <p>131:10 hemochromatosis, which is a blood disorder. And</p> <p>131:11 then he developed lymphoma.</p> <p>131:12 Q. What is hemochromatosis?</p> <p>131:13 A. Hemochromatosis is a genetic disorder</p> <p>131:14 where someone cannot metabolize the iron very well</p> <p>131:15 so they accumulated. So we have to do phlebotomy,</p> <p>131:16 meaning removing blood to eliminate the iron from</p> <p>131:17 the blood. And that's the kind of a condition that</p> <p>131:18 he had.</p>	RajFINAL.124
132:1 - 132:9	<p><b>Raj, Kavitha 01-08-2019 (00:00:22)</b></p> <p>132:1 So you're treating him for this condition.</p> <p>132:2 And at some point, did he present with non-Hodgkin's</p> <p>132:3 lymphoma?</p> <p>132:4 A. I mean, like I mentioned this morning, I</p> <p>132:5 have not looked at the records recently, but I</p> <p>132:6 remember him very well because he -- he presented</p> <p>132:7 with significant pain issues and bone involvement of</p> <p>132:8 lymphoma, if I recall correctly. And that's how he</p> <p>132:9 presented.</p>	RajFINAL.125
132:10 - 132:18	<p><b>Raj, Kavitha 01-08-2019 (00:00:22)</b></p> <p>132:10 Q. Okay. What do you mean by "pain issues"?</p> <p>132:11 A. Like, he -- I -- I mean, I have to look at</p> <p>132:12 my first consult.</p>	RajFINAL.127

Page/Line	Source	ID
132:24 - 133:1	<p>132:13 Q. Just your recollection. I'm going to show 132:14 you the record.</p> <p>132:15 A. Right. I think he -- he had involvement 132:16 of lymphoma in his spine resulting in pain. And he 132:17 probably had a scan, it showed something, and I 132:18 think that's how he probably came to see me.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:02)</b></p> <p>132:24 Q. I'm handing you Exhibit 14. 132:25 (Whereupon, Exhibit 14 was marked for 133:1 identification.)</p>	RajFINAL.128 RK14.1
133:3 - 133:4	<p><b>Raj, Kavitha 01-08-2019 (00:00:04)</b></p> <p>133:3 Q. Do you recognize this document, Doctor? 133:4 A. Yes, it's a dictation done by me.</p>	RajFINAL.129
133:15 - 133:25	<p><b>Raj, Kavitha 01-08-2019 (00:00:25)</b></p> <p>133:15 Q. Okay. And the date of this is June 9th, 133:16 2011. Do you see that? 133:17 A. Yes. 133:18 Q. Okay. So I'm going to go through this 133:19 History of Present Illness with you and kind of stop 133:20 and ask questions. 133:21 "This is a 69-old-male who is known to me 133:22 from outpatient services who was sent to the 133:23 emergency room after being evaluated by me in the 133:24 office for worsening right hip pain and new onset 133:25 upper back pain."</p>	RajFINAL.130 RK14.1.1 RK14.1.2
134:1 - 134:5	<p><b>Raj, Kavitha 01-08-2019 (00:00:03)</b></p> <p>134:1 Did I read that right? 134:2 A. Yes. 134:3 Q. Is that what you were referring to 134:4 earlier? 134:5 A. Yes.</p>	RajFINAL.132
134:6 - 134:19	<p><b>Raj, Kavitha 01-08-2019 (00:00:32)</b></p> <p>134:6 Q. Okay. And what do you recall, if 134:7 anything, about how he presented with with regards 134:8 to this pain? 134:9 A. He was very uncomfortable. That -- that I 134:10 remember very well. Because somebody has to be in a 134:11 lot of pain for me to send them to the hospital 134:12 for -- for their evaluation. Usually this is an 134:13 outpatient evaluation and management. For me to</p>	RajFINAL.133

134:14 send him to the hospital, he was in severe pain.

134:15 Q. Okay. So to be clear, then, he presented

134:16 and you actually said go to the hospital?

134:17 A. Correct.

134:18 Q. The emergency room specifically?

134:19 A. Correct.

136:6 - 137:16

**Raj, Kavitha 01-08-2019 (00:01:34)**

RajFINAL.134

RK14.1.3

136:6 "During this time, he also started

136:7 complaining of pain for which an MRI of the lumbar

136:8 spine was done at outside imaging which revealed

136:9 questionable" -- "a questionable process involving

136:10 the L5 vertebral body."

136:11 Did I read that right?

136:12 A. Yes.

136:13 Q. What is a "questionable process"?

136:14 A. Meaning some infiltrative process,

136:15 something occupying the L5 vertebral body. That

136:16 they were questioning, could there be something on

136:17 the L5 vertebral body on the MRI.

136:18 Q. And just for our -- well, for my sake,

136:19 what is the L5 vertebral body?

136:20 A. So L5 is the lumbar spine 5. T12 comes at

136:21 the level of the nipple and 1, 2, 3, 4, 5. So it's

136:22 like right around the kind of lower back. That's

136:23 the L5. Yeah.

136:24 Q. Okay.

136:25 "This was followed by a CT chest, abdomen,

137:1 and pelvis which was done on March 18th, 2011, which

137:2 revealed multiple lytic and blastic lesions

137:3 throughout the bony skeleton concerning for

137:4 metastatic disease."

137:5 Did I read that right?

137:6 A. Yes.

137:7 Q. What does that mean?

137:8 A. That means he had a full body CT scan

137:9 which showed multiple spots in the bone. Lytic and

137:10 blastic are different ways of lymphoma eating the

137:11 bone, causing damage to the bone. And that's what

137:12 we saw on that CT scan.

137:13 Q. Would it be fair to say, then, in sort of

RK14.1.4



Page/Line	Source	ID
137:17 - 137:22	<p>137:14 layman's terms, the full body CT scan showed  137:15 abnormalities throughout his skeletal body?  137:16 A. Yes.</p>	RajFINAL.136
	<p><b>Raj, Kavitha 01-08-2019 (00:00:10)</b>  137:17 Q. Okay. It says, "He was also found to have  137:18 additional lytic abnormalities throughout the  137:19 spine."  137:20 Do you see that?  137:21 A. Yes, throughout the bony skeleton.  137:22 Q. Yeah.</p>	RK14.1.5
138:4 - 139:16	<p><b>Raj, Kavitha 01-08-2019 (00:01:31)</b>  138:4 Q. "The largest lytic abnormality was found  138:5 in the right iliac bone measuring 1.8 by 4.3  138:6 centimeters in diameter with a prominent soft tissue  138:7 component measuring 3.1 by 4.6 centimeters."  138:8 Did I read that right?  138:9 A. Yes.  138:10 Q. What does that mean?  138:11 A. That means that he had a large bone lesion  138:12 in the right hip bone. In addition to that, there  138:13 was a tumor growth, like a lymphoma mass, attached  138:14 to it.  138:15 Q. And 1.8 by 4.3 centimeters, that's almost  138:16 like a square inch; is that right?  138:17 A. Yeah. 2.5 centimeter is an inch. So 4.3  138:18 is almost, like, two inches. Yeah.  138:19 Q. Okay. So we're talking about like a  138:20 two-inch by one-inch sort of block in his -- in his  138:21 hip?  138:22 A. Correct.  138:23 Q. Okay. At that time did you think that  138:24 that mass might have contributed to the pain he was  138:25 experiencing?  139:1 A. Yes, certainly.  139:2 Q. Okay.  139:3 "He was also found to have additional  139:4 lytic abnormalities throughout the spine."  139:5 What does that mean?  139:6 A. That means he had additional bone lesions  139:7 throughout the spine.</p>	RajFINAL.137
		RK14.1.6

139:8 Q. Now, this sort of -- sort of having bone  
139:9 lesions throughout the body and the spine, is that  
139:10 something that you've seen before in your practice?

139:11 A. Yes.

139:12 Q. Is that indicative of anything to you as a  
139:13 physician?

139:14 A. It means it's a pretty aggressive form of  
139:15 disease that is involving multiple areas of the  
139:16 bone.

139:17 - 140:10

**Raj, Kavitha 01-08-2019 (00:00:38)**

RajFINAL.138

139:17 Q. Okay.

RK14.1.7

139:18 "He also underwent a bone scan which  
139:19 revealed essentially the same results. A bone scan  
139:20 done on the same day also revealed diffuse bony mets  
139:21 as seen on the CT."

139:22 What is a "bony met"?

139:23 A. Metastasis is if there is a cancer  
139:24 involvement outside, say, if it's a lymphoma, we  
139:25 think it is a lymph node organ, bone is outside the  
140:1 lymphoma node. So it is a metastasis. And it's  
140:2 essentially saying it's diffuse bone involvement.

140:3 Q. Okay.

140:4 A. That's what the bone scan showed.

140:5 Q. Okay.

RK14.1.8

140:6 "From the above studies, I was not clear  
140:7 what is his primary."

140:8 Does that mean you weren't sure what the  
140:9 cause of all those lesions were?

140:10 A. Correct.

140:12 - 141:4

**Raj, Kavitha 01-08-2019 (00:00:55)**

RajFINAL.139

140:12 "He also underwent additional laboratory  
140:13 workup, including CEA, PSA, and workup of multiple  
140:14 myeloma and serum free light chain assay, serum  
140:15 protein electrophoresis, which were all within  
140:16 normal limits. He underwent a biopsy of the right  
140:17 iliac soft tissue mass which was entirely necrotic  
140:18 tissue."

RK14.1.9

140:19 What -- what does that mean?

140:20 A. So he had workup, including all the blood  
140:21 tests that we discussed there, and that two

140:22 sentences, to see where -- whether this could be a  
140:23 colon cancer, prostate cancer, myeloma. That all  
140:24 came back negative.

140:25 So we biopsied that mass that had attached  
141:1 to that right hip bone, but it showed dead tissue.  
141:2 That means that -- that if the cell is dividing very  
141:3 fast sometimes we can get to that cancer cell. It  
141:4 shows just dead tissue. That's what we saw.

141:5 - 141:13

**Raj, Kavitha 01-08-2019 (00:00:17)**

RajFINAL.140

141:5 Q. So based on this, you couldn't tell when  
141:6 that mass appeared in his -- in his hip?

141:7 A. Yeah, I can't tell.

141:8 Q. Okay. So it could have been there for a  
141:9 year or so?

141:10 A. For sure for months. I don't know for  
141:11 years.

141:12 Q. Okay. He probably would have felt it?

141:13 A. Yeah.

142:1 - 142:24

**Raj, Kavitha 01-08-2019 (00:00:51)**

RajFINAL.141

RK14.1.10

142:1 Q. Okay.

142:2 "Meanwhile in the last week, his symptoms  
142:3 have been worse. He" -- "he was started on  
142:4 MS contin."

142:5 What is that?

142:6 A. That's a longer acting morphine sulfate.

142:7 Q. Okay. Is that a narcotic?

142:8 A. It is.

142:9 Q. Okay.

142:10 "With dose adjustments. Despite that, his  
142:11 pain involving the right hip got worse. The patient  
142:12 also had lost five pounds of weight in the last two  
142:13 weeks with poor appetite."

142:14 Did I read that right?

142:15 A. Yes.

142:16 Q. So what, if any, significance is there to  
142:17 the fact that his pain was persisting through a  
142:18 narcotic and he was losing weight?

142:19 A. Well, that means that he was in severe  
142:20 pain and when there's anytime cancer destroying the  
142:21 bone, there's always a tremendous amount of pain.

Page/Line

Source

ID

143:1 - 143:8	<p>142:22 That's probably what he was experiencing. And  142:23 weight loss and loss of appetite are very common  142:24 symptoms of cancer.</p>	RajFINAL.142
	<p><b>Raj, Kavitha 01-08-2019 (00:00:24)</b></p>	RK14.1.11
	<p>143:1 "He was also started on antibiotics with  143:2 Augmentin since the right hip mass was enlarged and  143:3 warm. Suspicious for underlying superimposed  143:4 infection versus tumor necrosis."  143:5 What does that mean?  143:6 A. That means maybe I was somewhat concerned  143:7 whether there could be an infection on top of the  143:8 mass so I treated him with antibiotics.</p>	
143:9 - 144:4	<p><b>Raj, Kavitha 01-08-2019 (00:00:39)</b></p>	RajFINAL.143
	<p>143:9 Q. Okay.  143:10 "To note, his mass did improve in size  143:11 after taking Augmentin for the last three days.  143:12 Today he presented with acute upper back pain and  143:13 worsening hip pain and symptoms of radio" -- how do  143:14 you say that?  143:15 A. Radiculopathy.  143:16 Q. -- "radiculopathy, which he was sent to  143:17 the ER."  143:18 What is radiculopathy?  143:19 A. If there is any nerve impingement from the  143:20 tumor mass involving the spine, it causes radiating  143:21 pain down the legs and nerve symptoms.  143:22 Q. Okay. And so he's presenting here both  143:23 with upper back pain, hip pain, and this sort of  143:24 radiating pain through his body?  143:25 A. Yes.  144:1 Q. Okay. It was so severe, in fact, that you  144:2 had to send him to the ER?  144:3 A. Yes.  144:4 Q. Okay.</p>	RK14.1.12
144:5 - 144:17	<p><b>Raj, Kavitha 01-08-2019 (00:00:45)</b></p>	RajFINAL.144
	<p>144:5 "An MRI of the thoracic spine revealed a  144:6 TF fracture and increased bone marrow signal  144:7 heterogeneity with areas of abnormal bony edema  144:8 particularly in T2, T4, T8, T10, T11, and T12, but  144:9 no evidence of cord compression or spinal canal</p>	RK14.1.13

144:10 stenosis."

144:11 What does that mean?

144:12 A. So he had a thoracic spine 7, T7 fracture

144:13 because of the cancer. And he also had cancer

144:14 involvement essentially in all his thoracic spine.

144:15 But the cancer is not impinging so the spinal cord

144:16 is going inside the spine but not impinging the

144:17 cord.

144:18 - 145:5

**Raj, Kavitha 01-08-2019 (00:00:29)**

RajFINAL.146

144:18 So that's what it means.

144:19 Q. Okay. And the thoracic part of the spine,

144:20 where is that?

144:21 A. Oh, it's in the upper -- upper back.

144:22 Q. Okay. And so -- so what you're saying

144:23 here is the mass in the -- sorry, the cancer had

144:24 actually caused a fracture in one of his -- what do

144:25 you call it?

145:1 A. Thoracic spine 7, T7.

145:2 Q. Okay. And then there was evidence of

145:3 cancer throughout the -- essentially the rest of his

145:4 thoracic spine?

145:5 A. Yes.

145:20 - 146:8

**Raj, Kavitha 01-08-2019 (00:00:37)**

RajFINAL.147

145:20 Q. His past medical history was that

145:21 hemochromatosis. That's the -- the iron disorder we

145:22 talked about?

145:23 A. Uh-huh.

145:24 Q. And then history of recurrent viral

145:25 meningitis for which he's on Valtrex

146:1 prophylactically.

146:2 What is that?

146:3 A. He's had history of brain fever, like an

146:4 infection, viral infection from long time ago. And

146:5 he has had seizures from that and his neurologist

146:6 has kept him on this antiviral medication to prevent

146:7 this from flaring-up and causing more seizure

146:8 issues.

146:9 - 146:12

**Raj, Kavitha 01-08-2019 (00:00:09)**

RajFINAL.148

146:9 Q. Okay. And are you aware of any studies

146:10 showing that this sort of treatment, this Valtrex

146:13 - 148:4

146:11 increases the risk of non-Hodgkin's lymphoma?

146:12 A. Valtrex, no.

**Raj, Kavitha 01-08-2019 (00:01:36)**

RajFINAL.149

RK14.2.1

146:13 Q. And then the next one refers to that

146:14 seizure disorder you mentioned before.

146:15 A. Uh-huh.

146:16 Q. What is Dilantin?

146:17 A. Dilantin is an anti-seizure medication.

146:18 Q. Okay. And then number 4 is benign

146:19 prostatic hypertrophy?

146:20 A. Uh-huh.

146:21 Q. What is that?

146:22 A. Prostate enlargement.

146:23 Q. Is that -- there's no -- there's no issue

146:24 with cancer there?

146:25 A. No, it's a very common thing noted in men

147:1 in their 70s.

147:2 Q. Okay. And an ulcerative -- that's ulcers?

147:3 A. It is an autoimmune condition involving

147:4 the colon.

147:5 Q. Okay. Okay. And was he receiving any

147:6 treatment for that?

147:7 A. I don't recall, but I don't say -- see

147:8 anything there -- or looks like he is on some

147:9 medication right in the medication list for that.

147:10 Q. Okay. What medication here would be

147:11 associated with the ulcerative colitis?

147:12 A. Asacol.

147:13 Q. Okay. And is that an immunosuppressant

147:14 drug?

147:15 A. I don't think so but I'm not very sure

147:16 because I don't treat that condition.

147:17 Q. Okay. To the best of your knowledge as an

147:18 oncologist, is having this condition associated with

147:19 non-Hodgkin's lymphoma?

147:20 A. Any autoimmune conditions can increase the

147:21 risk of non-Hodgkin's lymphoma.

147:22 Q. Okay. Number 6, the history of

147:23 superficial skin melanoma resected a few months ago,

147:24 do you see that?

Page/Line	Source	ID
148:5 - 148:7	<p>147:25 A. Yes.</p> <p>148:1 Q. What does that refer to?</p> <p>148:2 A. That means that he had a skin cancer in</p> <p>148:3 the form of melanoma and he had surgery for that a</p> <p>148:4 few months before I saw him.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:05)</b></p> <p>148:5 Q. Okay. And is there any reason to believe</p> <p>148:6 that that might be associated with NHL?</p> <p>148:7 A. No.</p>	RajFINAL.152
148:17 - 149:4	<p><b>Raj, Kavitha 01-08-2019 (00:00:31)</b></p> <p>148:17 Q. So what we have here is Impression and</p> <p>148:18 Discussion. "A 69-year-old male with a newly</p> <p>148:19 diagnosed possible metastatic disease pending</p> <p>148:20 biopsy."</p> <p>148:21 What does that mean?</p> <p>148:22 A. Meaning that the scan shows that he has</p> <p>148:23 cancer involvement in multiple parts of the body.</p> <p>148:24 We don't know what cancer it is. So that's why I</p> <p>148:25 said "possible metastatic disease pending biopsy."</p> <p>149:1 Q. So this is the first sort of serious</p> <p>149:2 indication that he might be suffering from some sort</p> <p>149:3 of lymphoma?</p> <p>149:4 A. Cancer.</p>	RajFINAL.153 RK14.3.1
149:5 - 149:9	<p><b>Raj, Kavitha 01-08-2019 (00:00:05)</b></p> <p>149:5 Q. Cancer, I should say.</p> <p>149:6 A. Right.</p> <p>149:7 Q. And you are waiting on the biopsy to</p> <p>149:8 presumably see what type?</p> <p>149:9 A. Correct.</p>	RajFINAL.154
149:10 - 149:21	<p><b>Raj, Kavitha 01-08-2019 (00:00:21)</b></p> <p>149:10 Q. Okay. And it says you have -- you want to</p> <p>149:11 follow up on the biopsy and in, what, about four</p> <p>149:12 days from the date of this record; is that right?</p> <p>149:13 A. I'm sorry?</p> <p>149:14 Q. It says, "Recommendations." "Await biopsy</p> <p>149:15 on June 13th, 2011." At the very bottom of</p> <p>149:16 Recommendations.</p> <p>149:17 A. Okay.</p> <p>149:18 Q. So you're -- you're going to follow up</p> <p>149:19 with him in a few days once you have the results of</p>	RajFINAL.155 RK14.3.2

Page/Line	Source	ID
149:25 - 150:3	<p>149:20 the biopsy.</p> <p>149:21 A. Probably, yes.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:07)</b></p> <p>149:25 So to the best of your knowledge, what --</p> <p>150:1 what was he ultimately diagnosed with?</p> <p>150:2 A. He was ultimately diagnosed with diffuse</p> <p>150:3 large B-cell lymphoma, stage IV.</p>	RajFINAL.156 clear
150:4 - 150:6	<p><b>Raj, Kavitha 01-08-2019 (00:00:05)</b></p> <p>150:4 Q. And that is the same type of cancer that</p> <p>150:5 his wife was diagnosed with; is that right?</p> <p>150:6 A. Correct.</p>	RajFINAL.157
150:9 - 150:9	<p><b>Raj, Kavitha 01-08-2019 (00:00:01)</b></p> <p>150:9 THE WITNESS: But she had a CNS form.</p>	RajFINAL.158
150:11 - 150:20	<p><b>Raj, Kavitha 01-08-2019 (00:00:17)</b></p> <p>150:11 Q. That's right. And so they're both diffuse</p> <p>150:12 B-cell lymphoma, but hers manifested inside the CNS,</p> <p>150:13 right?</p> <p>150:14 A. Correct.</p> <p>150:15 Q. Whereas, his manifested in, I guess, his</p> <p>150:16 bones?</p> <p>150:17 A. Bones.</p> <p>150:18 Q. And if -- even though it was in his spine,</p> <p>150:19 it was not in the nervous system?</p> <p>150:20 A. Correct.</p>	RajFINAL.159
150:21 - 151:1	<p><b>Raj, Kavitha 01-08-2019 (00:00:11)</b></p> <p>150:21 Q. Okay. Diffuse B-cell lymphoma is one of</p> <p>150:22 the more common types of non-Hodgkin's lymphoma?</p> <p>150:23 A. Correct.</p> <p>150:24 Q. Is it generally considered an aggressive</p> <p>150:25 or indolent type of cancer?</p> <p>151:1 A. It's an aggressive cancer.</p>	RajFINAL.160
151:2 - 151:7	<p><b>Raj, Kavitha 01-08-2019 (00:00:11)</b></p> <p>151:2 Q. And what is the sort of -- well, with</p> <p>151:3 regards to Mr. Pilliod, what -- what sort of</p> <p>151:4 treatment did -- would you have prescribed -- did</p> <p>151:5 you prescribe for him to treat his cancer?</p> <p>151:6 A. Essentially it's treated with</p> <p>151:7 chemotherapy.</p>	RajFINAL.161
151:17 - 151:18	<p><b>Raj, Kavitha 01-08-2019</b></p> <p>151:17 (Whereupon, Exhibit 15 was marked for</p>	RajFINAL.162



Page/Line

Source

ID

152:3 - 153:6	<p>151:18 identification.)</p> <p><b>Raj, Kavitha 01-08-2019 (00:01:03)</b></p> <p>152:3 Q. All right. So, Doctor, this is one of</p> <p>152:4 your records; is that right?</p> <p>152:5 A. Yes.</p> <p>152:6 Q. All right. And this is dated 7/11/2013?</p> <p>152:7 A. Yes.</p> <p>152:8 Q. Okay. And if we read here History of</p> <p>152:9 Present Illness.</p> <p>152:10 "I had the pleasure of seeing Mr. Pilliod</p> <p>152:11 on a follow-up visit today. He is a very pleasant</p> <p>152:12 71-year-old gentleman."</p> <p>152:13 So the last record, he was 69, so this is</p> <p>152:14 two years later?</p> <p>152:15 A. Yes.</p> <p>152:16 Q. "Who has a history of stage IV diffuse</p> <p>152:17 B-cell lymphoma when he presented with extensive</p> <p>152:18 metastasis to his bones and diffuse</p> <p>152:19 hypermetabolic" -- how do you say that?</p> <p>152:20 A. Lymphadenopathy.</p> <p>152:21 Q. Okay.</p> <p>152:22 -- "in 2011. He underwent six cycles of</p> <p>152:23 R-CHOP from June '11 through October 2011 with</p> <p>152:24 complete metabolic response."</p> <p>152:25 Did I read that right?</p> <p>153:1 A. Yes.</p> <p>153:2 Q. What is R-CHOP?</p> <p>153:3 A. R-CHOP is a combination of multiple</p> <p>153:4 chemotherapy drugs, including R for Rituxan, C for</p> <p>153:5 Cytoxan, H is actually the adriamycin, O is for</p> <p>153:6 vincristine, and P for prednisone.</p>	<p>RajFINAL.163</p> <p>RK15.1.1</p> <p>RK15.1.2</p> <p>clear</p>
153:7 - 153:18	<p><b>Raj, Kavitha 01-08-2019 (00:00:30)</b></p> <p>153:7 Q. What are some of the side effects</p> <p>153:8 associated with that combination of chemotherapy?</p> <p>153:9 A. Like any other chemotherapy, people can</p> <p>153:10 experience low energy, nausea, vomiting, hair loss,</p> <p>153:11 low blood count. Those are very common side</p> <p>153:12 effects.</p> <p>153:13 Rare side effects could be cardiomyopathy,</p> <p>153:14 meaning heart muscle damage, or risk of Leukemia,</p>	<p>RajFINAL.164</p>

Page/Line	Source	ID
157:18 - 157:20	<p>153:15 things like that.  153:16 Q. Have you ever heard of something called  153:17 chemo brain?  153:18 A. Yes.  <b>Raj, Kavitha 01-08-2019 (00:00:03)</b></p>	RajFINAL.170
158:22 - 159:3	<p>157:18 Q. Is that something you would defer to a  157:19 neurologist on?  157:20 A. Yes.  <b>Raj, Kavitha 01-08-2019 (00:00:12)</b>  158:22 Q. Okay. And under Recommendation Plan, it  158:23 says, "PET/PT shows clinical remission."  158:24 Do you see that?  158:25 A. Yes.  159:1 Q. Was it your understanding that at this  159:2 time, at least in 2013, he was in remission?  159:3 A. Yes.</p>	RajFINAL.171 RK15.1.3
159:22 - 160:12	<p><b>Raj, Kavitha 01-08-2019 (00:00:29)</b>  159:22 Q. Okay. And are you currently treating  159:23 Mr. Pilliod?  159:24 A. Yes, I am.  159:25 Q. Okay. Do you recall the last time you  160:1 gave him a scan?  160:2 A. I -- I think the last time I saw him was a  160:3 scan follow-up, if I remember right. Because he was  160:4 having some symptoms that were -- we were not sure  160:5 entirely what was causing those. So I think he did  160:6 have a scan. I don't remember, though --  160:7 Q. Okay.  160:8 A. -- for sure.  160:9 Q. Do you recall if he's had any relapse or  160:10 recurrence?  160:11 A. For sure, he did not have any relapse. I  160:12 know that he is in remission.</p>	RajFINAL.172 clear
162:5 - 162:8	<p><b>Raj, Kavitha 01-08-2019 (00:00:08)</b>  162:5 Q. And again, I may be going over a few  162:6 documents that we have gone over previously and --  162:7 but hopefully, I won't be too repetitive. Okay?  162:8 A. Okay.</p>	RajFINAL.173
162:14 - 162:20	<p><b>Raj, Kavitha 01-08-2019 (00:00:16)</b>  162:14 Q. I'm going to mark as Exhibit 16 a note</p>	RajFINAL.174 RK16.1.1

162:15 from March the 15th, 2011, which I believe is your  
162:16 first consultation with Mr. Pilliod.

162:17 Do you recognize this exhibit?

162:18 A. Yes.

162:19 Q. And this is your note and your signature?

162:20 A. Yes.

163:11 - 164:9

**Raj, Kavitha 01-08-2019 (00:01:01)**

RajFINAL.175

163:11 Q. And so this first time that you saw him in  
163:12 March of 2011 was really just to get to the bottom  
163:13 of this high iron issue?

163:14 A. Correct.

163:15 Q. All right. And under the Past Medical  
163:16 History, it appears that you noted that he had a  
163:17 history of ulcerative colitis; is that right?

163:18 A. Yes.

163:19 Q. And this history of ulcerative colitis for  
163:20 Mr. Pilliod obviously predates his diffuse large  
163:21 B-cell lymphoma diagnosis; is that right?

163:22 A. Correct.

163:23 Q. And if we say "diffuse large B-cell  
163:24 lymphoma," if we say "DLBCL," are we on the same  
163:25 page there?

164:1 A. Yes.

164:2 Q. Okay. And I think you told counsel before  
164:3 that ulcerative colitis is an autoimmune disease?

164:4 A. Correct.

164:5 Q. And did you also say that -- if I heard  
164:6 you right, that -- that any autoimmune condition can  
164:7 increase the risk of non-Hodgkin's lymphoma like  
164:8 DLBCL?

164:9 A. It's possible.

164:14 - 165:4

**Raj, Kavitha 01-08-2019 (00:00:37)**

RajFINAL.176

164:14 Q. All right. You next note in his past  
164:15 medical history a seizure disorder since 1978.  
164:16 Do you see that?

164:17 A. Yes.

164:18 Q. And obviously, his seizure disorder would  
164:19 predate his cancer diagnosis, right?

164:20 A. Correct.

164:21 Q. And at this time did you have any

clear

164:22 understanding at this time what the -- what the  
164:23 etiology or what the cause of his seizure disorder  
164:24 was?

164:25 A. So at that time, from what he told me that  
165:1 he -- he was diagnosed with a viral meningitis  
165:2 around the same time, and that's when he was  
165:3 diagnosed with seizure disorder. So he told me that  
165:4 was kind of related to each other.

165:16 - 166:4

**Raj, Kavitha 01-08-2019 (00:00:30)**

RajFINAL.177

165:16 Q. Okay. And you did not diagnose him with  
165:17 lymphoma or any type of cancer at this first  
165:18 meeting, correct?

165:19 A. Correct.

165:20 Q. And why is it that if you're not treating  
165:21 him for cancer and you're not diagnosing him with  
165:22 cancer at this time why he would be coming to you  
165:23 for this high iron level?

165:24 A. Because I'm a hematologist so I take care  
165:25 of high iron levels. That's a hematology problem.

166:1 Q. Okay. So your -- your specialty includes  
166:2 not only treating people for cancer but other blood  
166:3 disorders as well?

166:4 A. Correct.

171:6 - 174:24

**Raj, Kavitha 01-08-2019 (00:03:45)**

RajFINAL.178

171:6 Q. All right. And then if we come back to  
171:7 Exhibit 14.

171:8 A. Uh-huh.

171:9 Q. Which is the June -- June 2011 note.

171:10 Are you there?

171:11 A. Me? Yes.

171:12 Q. Yes.

171:13 And so we can see that toward the top of  
171:14 this note, it talks about the fact, oh, six lines  
171:15 down or so, that he started complaining of pain for  
171:16 which an MRI was done.

171:17 A. Uh-huh.

171:18 Q. And then this was followed. You ordered a  
171:19 CT of the chest, the abdomen, and the pelvis, and  
171:20 that was done about a week later.

171:21 Do you see that?

RK14.1.15

171:22 A. Yes.

171:23 Q. And all of those testings on Mr. Pilliod

171:24 gave you a heightened concern that there was some

171:25 other process going on?

172:1 A. Correct.

172:2 Q. Okay. And -- and that -- that heightened

172:3 process that you were concerned about was a

172:4 metastatic cancer?

172:5 A. Correct.

172:6 Q. And you state below, and you talked with

172:7 counsel, that from those MRIs and all of that

172:8 additional investigation, you were not clear on,

172:9 quote, what his primary was.

172:10 Do you --

172:11 A. Yes.

172:12 Q. Do you remember writing that?

172:13 A. Yes.

172:14 Q. And what does that mean?

172:15 A. What that means is there is cancer

172:16 involving multiple areas of the bone. But the

172:17 question is, where is it coming from? Is it a colon

172:18 cancer going to the bone, prostate cancer, or is it

172:19 a lymphoma?

172:20 So that's what it means.

172:21 Q. And from those imaging, like you said, you

172:22 could not tell, number one, what type of cancer he

172:23 had; is that right?

172:24 A. Correct.

172:25 Q. Nor could you tell what the potential

173:1 cause or contributing factors to that cancer were;

173:2 is that right?

173:3 A. Correct.

173:4 Q. And it looks like that you recommended

173:5 a -- a biopsy of his right hip?

173:6 A. Looks like, yes, I did.

173:7 Q. And unfortunately, the cells and the

173:8 pathology, et cetera, that you got back for that

173:9 didn't -- didn't lead to any further conclusions as

173:10 to what his issues were, right?

173:11 A. Correct.

RK14.1.16

clear

173:12 Q. And so you went to the next step of, okay,  
 173:13 I'm going to biopsy a different area, and you went  
 173:14 to his left side and that was scheduled for a few  
 173:15 days from -- from then?

173:16 A. Maybe in one of the vertebral bodies, yes.

173:17 A. few days from then, yes.

173:18 Q. All right. So you were going to continue

173:19 to investigate to try to figure out what the -- what

173:20 type of cancer he might have because that was your

173:21 concern?

173:22 A. Yes.

173:23 Q. And then you went through and did another

173:24 past medical history, which is kind of where we

173:25 started, and you said you had a little bit more

174:1 information here than you had in March when you

174:2 first saw him.

174:3 Do you remember that?

174:4 A. Looks -- I mean, just from looking through

174:5 the notes, I have more information on the past

174:6 medical history here.

174:7 Q. And the first part of the past medical

174:8 history you talk about his hemochromatosis, right?

174:9 A. Yes.

174:10 Q. And the second part, which you went over

174:11 with counsel, said that he had a history of

174:12 recurrent viral meningitis for which he is on

174:13 Valtrex prophylactically.

174:14 Do you see that?

174:15 A. Yes.

174:16 Q. And -- and what was your understanding

174:17 when you write "recurrent viral meningitis?" What

174:18 is that, for the jury?

174:19 A. This is by history, so I don't have any

174:20 documentation to say what exactly his symptoms were.

174:21 He told me that he would get these

174:22 episodes of seizures or severe headaches, and he was

174:23 treated with the antiviral medication to prevent

174:24 this, from having these attacks.

175:4 - 175:11

**Raj, Kavitha 01-08-2019 (00:00:20)**

RK14.1.14

RajFINAL.179

175:4 Q. Was this history important to you in -- in

clear

175:5 his care and treatment in any particular way?  
 175:6 A. Not really. But we -- we asked questions  
 175:7 about any chronic viral infections and lymphomas.  
 175:8 So that's maybe pertinent.  
 175:9 Q. Can that suggest to you that there may be  
 175:10 some weakened immune system that he has?  
 175:11 A. Possibly.

176:4 - 176:20

**Raj, Kavitha 01-08-2019 (00:00:54)**

RajFINAL.180

176:4 Q. In connection with your treatment of  
 176:5 Mr. Pilliod today, is it relevant to you that he had  
 176:6 a long history of recurrent viral meningitis?  
 176:7 A. So any type of chronic viral infections  
 176:8 can predispose someone to get a lymphoma. But  
 176:9 there -- there are certain viral infections, not  
 176:10 like the one that causes meningitis, something  
 176:11 called Epstein-Barr virus would cause it. I would  
 176:12 be more interested in that. But you always wonder  
 176:13 when someone has any type of chronic inflammation,  
 176:14 anything like that that -- could that be a possible  
 176:15 factor in?  
 176:16 Q. Okay. And when you go on to his past  
 176:17 medical history to the next page, you again note  
 176:18 that he has a history of seizure disorder and he's  
 176:19 being treated with Dilantin?  
 176:20 A. Yes.

RK14.2.2

176:25 - 176:25

**Raj, Kavitha 01-08-2019 (00:00:02)**

RajFINAL.181

176:25 Q. And then we -- if we go down to number 5,

RK14.2.3

177:1 - 178:23

**Raj, Kavitha 01-08-2019 (00:01:51)**

RajFINAL.182

177:1 is the history of ulcerative colitis; is that right?  
 177:2 A. Yes.  
 177:3 Q. And we talked about that just -- just a  
 177:4 minute ago?  
 177:5 A. Yes.  
 177:6 Q. And then number 6 is a history of  
 177:7 superficial skin melanoma resected a few months ago.  
 177:8 Do you see that?  
 177:9 A. Yes.  
 177:10 Q. And what -- is -- is melanoma a type of  
 177:11 cancer?  
 177:12 A. Yes, it is.

RK14.2.4

177:13 Q. And what -- can you explain what type of  
177:14 cancer it is?

177:15 A. It is a skin cancer mostly due to  
177:16 excessive skin -- sun exposure.

177:17 Q. And so at the time that you were  
177:18 investigating the -- the issues with -- that you  
177:19 were seeing on the imaging, back in June of 2011,  
177:20 you understood that several months ago he had at  
177:21 least a prior history of some type of cancer?

177:22 A. Yes.

177:23 Q. And was that information, this prior  
177:24 history of melanoma, important to you in any way in  
177:25 your care and treatment of Mr. Pilliod?

178:1 A. Yeah, at that time it was important  
178:2 because I was worried, could this be a melanoma  
178:3 spreading to his bones?

178:4 Q. Uh-huh.

178:5 A. So, yes, that was pertinent at that time,  
178:6 before the biopsy results.

178:7 Q. And I think you talked with counsel and he  
178:8 asked you whether melanoma was associated with an  
178:9 increased risk of lymphoma.

178:10 Do you remember talking about that with  
178:11 counsel and you said you weren't aware of it?

178:12 A. I don't think there is any increased risk,  
178:13 not that I know of.

178:14 Q. And I guess my only question is, have you  
178:15 done an exhaustive literature search on that  
178:16 recently?

178:17 A. Not recently.

178:18 Q. Okay. Were you aware at this time, in  
178:19 June of 2011, that Mr. Pilliod had had other prior  
178:20 skin cancers other than melanoma?

178:21 A. I can't remember if he had told me. I  
178:22 don't see it in my notes. I can only go with my  
178:23 notes from seven years ago.

178:24 - 179:17

**Raj, Kavitha 01-08-2019 (00:00:44)**

RajFINAL.183

178:24 Q. Fair enough.

178:25 And -- but -- but what we do know is that

179:1 ultimately, at the end of this workup, you were



179:2 going to await a further biopsy that was scheduled  
 179:3 for a few days from then to biopsy a different area  
 179:4 so you could figure out, if you could, what type of  
 179:5 cancer or other process was going on?  
 179:6 A. So I think what -- what -- what had  
 179:7 happened was that biopsy was already scheduled but  
 179:8 he ended up having that compression fracture from  
 179:9 the lymphoma so he developed that acute pain. So we  
 179:10 had to admit him. And then we already knew that he  
 179:11 was scheduled for a biopsy in a couple days.  
 179:12 Q. Okay.  
 179:13 A. Yeah.  
 179:14 Q. So you were going to manage his pain along  
 179:15 with his potential infection and then await the  
 179:16 biopsy in a few days?  
 179:17 A. Correct.

180:7 - 181:14

**Raj, Kavitha 01-08-2019 (00:01:28)**

RajFINAL.184

180:7 Q. All right. And with respect to  
 180:8 Exhibit 18, the first surgical pathology report, do  
 180:9 you see that underneath the final diagnosis, it  
 180:10 talks about the -- the biopsy to the left iliac.  
 180:11 Do you see that?  
 180:12 A. Yes.  
 180:13 Q. And then in the line below that, at the  
 180:14 end, it says, "Final diagnosis pending  
 180:15 consultation."  
 180:16 Do you see that?  
 180:17 A. Yes.  
 180:18 Q. And then immediately below that, it talks  
 180:19 about the pathologist's comment, and then it says,  
 180:20 "Report called to Dr. Raj on June 14, 2011."  
 180:21 Do you see that?  
 180:22 A. Yes.  
 180:23 Q. And so you would have been aware that --  
 180:24 this is -- this is what the pathology report was as  
 180:25 of this day, but it's being sent out for another  
 181:1 review?  
 181:2 A. Correct.  
 181:3 Q. Okay. And then Exhibit 19, that's the  
 181:4 review from other hematopathologists at Stanford; is

RK18.1

RK18.1.1

RK18.1.2

RK18.1.3

RK19.1

181:5 that right?

181:6 A. Correct.

181:7 Q. Okay. And were you aware of this

181:8 pathology report when you were treating Mr. Pilliod?

181:9 A. Yes.

181:10 Q. Okay. And would you have seen -- do you

181:11 think would you have seen this pathology report

181:12 probably on June 17th when it came in or

181:13 thereabouts?

181:14 A. Yes, I -- I would think so.

182:14 - 183:8

**Raj, Kavitha 01-08-2019 (00:00:41)**

RajFINAL.185

182:14 Q. When you reviewed this pathology report --

182:15 A. Okay.

182:16 Q. -- and you're trying to figure out what to

182:17 do in terms of your care and treatment of

182:18 Mr. Pilliod, what did this all mean to you?

182:19 A. Okay. Well, we had a definitive diagnosis

182:20 that it is a diffuse large B-cell lymphoma. I

182:21 didn't have any questions about it so I knew that we

182:22 have a diagnosis and then we have to treat him.

182:23 Q. Okay. And are those results that it's a

182:24 DLBCL, are those important to your care and

182:25 treatment?

183:1 A. Very important.

183:2 Q. And why is that?

183:3 A. Because different types of lymphoma is

183:4 treated very differently. So it is important to

183:5 know the subtype of lymphoma.

183:6 Q. Okay. So how many -- how many different

183:7 subtypes of lymphoma are there?

183:8 A. There are about, like, a hundred types.

183:10 - 183:24

**Raj, Kavitha 01-08-2019 (00:00:32)**

RajFINAL.187

183:10 A. But in general we talk about low grade,

183:11 high grade, and very high grade. And this is high

183:12 grade.

183:13 Q. I see. So there's hundreds of

183:14 different -- let me -- when you're thinking about

183:15 how to care for Mr. Pilliod, there's hundreds of

183:16 types of different -- hundreds of different types of

183:17 lymphoma out there, but you have to know the exact

Page/Line

Source

ID

183:18 type to know how to treat it because they can be  
 183:19 treated all very differently?  
 183:20 A. We pretty much pile in three groups, like  
 183:21 I said, low grade, high grade, or very high grade.  
 183:22 We kind of pile those different types of lymphomas  
 183:23 in three groups and we treat -- treat these three  
 183:24 groups separately, yes.

184:7 - 184:20

**Raj, Kavitha 01-08-2019 (00:00:32)**

RajFINAL.188

184:7 Q. In terms of your care and treatment of  
 184:8 Mr. Pilliod, to give him an accurate diagnosis, an  
 184:9 accurate treatment plan, and an accurate prognosis,  
 184:10 do you have to know the exact subtype of lymphoma  
 184:11 that you're talking about?

184:12 A. Yes.

184:13 Q. And does it matter? Do the details in  
 184:14 that regard matter?

184:15 A. Details of subtype of lymphoma does  
 184:16 matter.

184:17 Q. Do you try to make evidence-based  
 184:18 decisions when you're treating Mr. Pilliod and your  
 184:19 patients?

184:20 A. All the time.

185:11 - 185:16

**Raj, Kavitha 01-08-2019 (00:00:16)**

RajFINAL.190

185:11 Q. Okay. If you come back to Exhibit 19,  
 185:12 the -- the consultation pathology report from  
 185:13 Stanford, and you go to the second page, it's a  
 185:14 little bit -- it's a bit little hard to read, but do  
 185:15 you see there's a chart in the top middle?

185:16 A. Yes.

RK19.2

RAJ19.2.1

185:17 - 186:10

**Raj, Kavitha 01-08-2019 (00:00:59)**

RajFINAL.191

185:17 Q. And you mentioned before, I think,  
 185:18 Epstein-Barr virus and that that was important to  
 185:19 you?

185:20 A. Correct.

185:21 Q. Is -- was Mr. Pilliod's tissue tested for  
 185:22 Epstein-Barr?

185:23 A. I think we're talking about two different  
 185:24 things. Epstein-Barr virus, we would all have  
 185:25 Epstein-Barr virus infection very likely, very  
 186:1 common viral infection. There are -- we talked

Page/Line

Source

ID

186:2 about, I think, causality of lymphomas, Epstein-Barr  
 186:3 virus. This is more trying to look at stains to see  
 186:4 that in terms of prognosis. There was some studies  
 186:5 which say that EBV status can be used as  
 186:6 prognosticator in certain types of lymphoma.  
 186:7 So in my opinion, I don't think that added  
 186:8 any more information to me. But the other two tests  
 186:9 makes more -- like, adds more information to treat  
 186:10 him.

186:11 - 186:21

**Raj, Kavitha 01-08-2019 (00:00:26)**

RajFINAL.192

186:11 Q. And what did those -- so what did those  
 186:12 tests add to you, if you can just quickly tell me?

RAJ19.2.2

186:13 A. Sure.

186:14 The BCL1 being negative rules out a very  
 186:15 aggressive, like we talked about low grade, high  
 186:16 grade, very high grade, it rules out a very high  
 186:17 grade lymphoma.

186:18 Ki-67 also speaks for the aggressiveness  
 186:19 of the cancer. It shows it is high, but it is not  
 186:20 like super high, like 80 percent. It is high as  
 186:21 20 percent. So it's high.

187:8 - 187:24

**Raj, Kavitha 01-08-2019 (00:00:36)**

RajFINAL.193

187:8 Q. Okay. Hi, Dr. Raj, we're back on the  
 187:9 record. And I think when we left off you were  
 187:10 talking about the fact that this testing for BCL1  
 187:11 was important because a positive result there may  
 187:12 indicate some type of aggressive tumor; is that  
 187:13 right?

187:14 A. Correct.

187:15 Q. All right. And you also said that the --  
 187:16 the Ki-67 is another test for the, for lack of a  
 187:17 better word, aggressiveness of the tumor?

187:18 A. Correct.

187:19 Q. And then in terms of the Epstein-Barr, do  
 187:20 you see where the results are equivocal?

RAJ19.2.3

187:21 A. Correct.

187:22 Q. And what did that mean to you?

187:23 A. It means we can't say whether it's  
 187:24 negative or positive.

187:25 - 188:6

**Raj, Kavitha 01-08-2019 (00:00:19)**

RajFINAL.194

Page/Line	Source	ID
	187:25 Q. Were there any thoughts that you know of 188:1 or can remember about testing this tissue for any 188:2 other viruses like HIV or anything like that? 188:3 A. Usually, anybody with lymphoma we test 188:4 them for HIV. That's a very standard protocol. 188:5 Hepatitis and HIV. It was tested. And he was 188:6 negative.	clear
188:7 - 188:10	<b>Raj, Kavitha 01-08-2019 (00:00:08)</b> 188:7 Q. Okay. And at the end of the day, like you 188:8 said, all of this testing told you that he had a 188:9 DLBCL type lymphoma? 188:10 A. Correct.	RajFINAL.195
188:11 - 188:17	<b>Raj, Kavitha 01-08-2019 (00:00:21)</b> 188:11 Q. And were you able to tell, based on your 188:12 care and treatment of Mr. Pilliod and the -- the 188:13 pathology, how quickly that lymphoma developed in 188:14 his body? When did it start? 188:15 A. I mean, this is a question I get asked all 188:16 the time. It's really hard to predict that. We can 188:17 only guess.	RajFINAL.196
189:2 - 189:15	<b>Raj, Kavitha 01-08-2019 (00:00:42)</b> 189:2 Q. Did Mr. Pilliod ask you when it started? 189:3 A. I don't remember if he had asked me. But 189:4 the majority of patients ask me that question. 189:5 Q. And in your habit and practice, if 189:6 Mr. Pilliod had asked you, what would you have told 189:7 him, based on all your findings here? 189:8 A. Like I said, it's hard to exactly say how 189:9 long it's been going on, but I would have told him 189:10 we're probably going on for months now. 189:11 (Whereupon, a brief discussion off the 189:12 record.) 189:13 BY MR. TOMASELLI: 189:14 Q. Months, not years? 189:15 A. Correct.	RajFINAL.197
189:21 - 190:4	<b>Raj, Kavitha 01-08-2019 (00:00:28)</b> 189:21 But was there any -- anything in the 189:22 biopsy or histopathology or any of the -- any of the 189:23 testing that was done, imaging, whether it was CT or 189:24 MRI or PET scans, was there any diagnostic test of	RajFINAL.198

Page/Line	Source	ID
190:23 - 191:20	<p>189:25 any kind that suggested to you that Roundup or some  190:1 pesticide was the cause or contributed to this  190:2 particular DLBCL?  190:3 A. Like I mentioned before, we don't  190:4 specifically test for anything like that.  <b>Raj, Kavitha 01-08-2019 (00:00:57)</b></p>	RajFINAL.199 RK20.1.1
192:5 - 193:9	<p>190:23 I marked as Exhibit 20 a note from  190:24 August 22nd of 2017.  190:25 Q. Do you see that?  191:1 A. Yes.  191:2 Q. This is indeed your note and your  191:3 follow-up with Mr. Pilliod; is that right?  191:4 A. Yes.  191:5 Q. And I think this is the last one we have  191:6 for you treating Mr. Pilliod. But are you aware, as  191:7 you sit here today, of any later visits?  191:8 A. I mean, I know that I've seen him a few  191:9 months ago but -- I mean, so this must be the most  191:10 recent.  191:11 Q. Your recollection is consistent with this  191:12 potentially being the last time you saw him?  191:13 A. Yes.  191:14 Q. Okay. And again, it looks like in the  191:15 history of present illness, this now, Mr. Pilliod is  191:16 "a 76-year-old gentleman who has a history of  191:17 stage IV diffuse large B-cell lymphoma and  191:18 hemochromatosis." And he's here for follow-up.  191:19 Do you see that?  191:20 A. Yes.  <b>Raj, Kavitha 01-08-2019 (00:01:03)</b></p> <p>192:5 Next thing you write is, "He underwent six  192:6 cycles of R-CHOP from June 2011 to October 2011 with  192:7 complete metabolic response."  192:8 Do you see that?  192:9 A. Yes.  192:10 Q. And as you noted with counsel, R-CHOP is  192:11 a -- a type of chemotherapy?  192:12 A. Yes.  192:13 Q. And with respect to Mr. Pilliod, it was  192:14 the standard of care for treating his DLBCL?</p>	RK20.1.2  RajFINAL.200 RK20.1.3

Page/Line	Source	ID
	192:15 A. Correct.	
	192:16 Q. But it's not necessarily the standard of	
	192:17 care for every single lymphoma?	
	192:18 A. Correct.	
	192:19 Q. All right. And did you actually prescribe	clear
	192:20 the R-CHOP for Mr. Pilliod?	
	192:21 A. Yes.	
	192:22 Q. All right. And do you recall where he	
	192:23 would have undertaken his chemotherapy?	
	192:24 A. In this office.	
	192:25 Q. Okay. Do you recall changing his	
	193:1 chemotherapy regimen at any time to something else?	
	193:2 A. I don't recall any changes.	
	193:3 And also my notes says that he has	
	193:4 received six cycles of R-CHOP. I would think that	
	193:5 I -- I would have noted it if I had changed	
	193:6 anything.	
	193:7 Q. If you did change it, it would likely be	
	193:8 noted in these records?	
	193:9 A. Right.	
194:12 - 194:17	<b>Raj, Kavitha 01-08-2019 (00:00:10)</b>	RajFINAL.201
	194:12 Q. All right. We discussed previously that	
	194:13 you noted in your records that Mr. Pilliod had a	
	194:14 seizure disorder prior to seeing you for the first	
	194:15 time.	
	194:16 Do you remember that?	
	194:17 A. Yes.	
194:21 - 194:24	<b>Raj, Kavitha 01-08-2019 (00:00:06)</b>	RajFINAL.202
	194:21 Q. Did -- did his seizure disorder in any way	
	194:22 impede your thought process to actually treating him	
	194:23 with chemotherapy?	
	194:24 A. No.	
195:3 - 196:2	<b>Raj, Kavitha 01-08-2019 (00:01:09)</b>	RajFINAL.203
	195:3 Q. Yeah, did you have document, as far as you	
	195:4 can recall, whether Mr. Pilliod suffered any	
	195:5 seizures during his R-CHOP therapy in 2011?	
	195:6 A. I don't recall him having active seizures	
	195:7 during treatment.	
	195:8 Q. Did you ever -- do you recall ever	
	195:9 stopping Mr. Pilliod's R-CHOP chemotherapy because	

Page/Line

Source

ID

195:10 of any seizure or seizure disorder?

195:11 A. I don't recall anything like that.

195:12 Q. Did you were document, that you recall,

195:13 that you felt Mr. Pilliod's R-CHOP therapy somehow

195:14 exacerbated his seizure disorder or made it worse?

195:15 Do you recall documenting that?

195:16 A. I don't recall that.

195:17 Q. In this Exhibit 20, the second paragraph

195:18 under History of Present Illness, you talk about

195:19 that, "He continues to experience seizures and is

RK20.1.4

195:20 being followed."

195:21 Do you see that?

195:22 A. Uh-huh. Yes.

195:23 Q. And the next -- the next sentence says

195:24 that, "He has had worsening aphasia, difficulty with

195:25 nouns and numbers since late 2017."

196:1 Do you see that?

196:2 A. Yes.

196:15 - 196:24

**Raj, Kavitha 01-08-2019 (00:00:19)**

RajFINAL.204

196:15 You note that he had a complete metabolic

RK20.1.5

196:16 response.

196:17 Do you remember that?

196:18 A. Yes.

196:19 Q. All right. And when you say that -- in

196:20 this note, that Mr. Pilliod had a complete metabolic

196:21 response from his R-CHOP therapy in 2011, what do

196:22 you mean?

196:23 A. That he had a complete response or

196:24 complete remission.

198:2 - 199:11

**Raj, Kavitha 01-08-2019 (00:01:25)**

RajFINAL.205

198:2 Q. Now, to the second page where you have

198:3 your assessment, there's number 1, under Assessment,

RK20.2.3

198:4 where you note that he had a PET scan in -- looks

198:5 like March of '18.

198:6 Do you see that?

198:7 A. Yes.

198:8 Q. And it showed some findings that I won't

198:9 try to pronounce. But it appears that in number 7,

198:10 you say that the "PET/CT showed no evidence of

198:11 disease."



198:12 Do you see that?

198:13 A. In the first paragraph of my assessment?

198:14 Q. Right. So in the first paragraph of the

198:15 assessment you talk about a PET scan in March of '18

198:16 that had several findings to it.

198:17 Do you see that?

198:18 A. Right.

198:19 Q. Then in number 7 below --

198:20 A. Uh-huh.

198:21 Q. -- in your assessment, you say, "PET/CT

198:22 shows no evidence of disease."

198:23 Do you see that?

198:24 A. Yes.

198:25 Q. And my question to you is, in your care

199:1 and treatment of Mr. Pilliod, whatever has come up

199:2 on that March 2018 in terms of those findings --

199:3 A. Uh-huh.

199:4 Q. -- that did -- did that suggest to you

199:5 that there was active cancer?

199:6 A. No.

199:7 Q. Okay. And so even with those findings in

199:8 2018, you're still of the opinion at this point in

199:9 your care and treatment of Mr. Pilliod that he's in

199:10 complete remission?

199:11 A. Correct.

200:3 - 200:6

**Raj, Kavitha 01-08-2019 (00:00:10)**

RajFINAL.206

200:3 Q. Okay. Since Mr. Pilliod completed his

200:4 chemotherapy in 2011, has Mr. Pilliod ever

200:5 experienced a recurrence of lymphoma?

200:6 A. No.

clear

200:11 - 200:24

**Raj, Kavitha 01-08-2019 (00:00:35)**

RajFINAL.207

200:11 Q. And in your care and treatment, I assume

200:12 that some of your patients, including Mr. Pilliod,

200:13 may ask you what his prognosis is, how he's going to

200:14 do in the future; is that right?

200:15 A. Yes.

200:16 Q. And obviously, people can have things like

200:17 skin cancer and encephalitis and high cholesterol or

200:18 other things that can impact their life in terms of

200:19 morbidity or mortality.

Page/Line	Source	ID
201:9 - 201:17	<p>200:20 But from a lymphoma standpoint, what is  200:21 Mr. Pilliod's prognosis as of the last time you saw  200:22 him in 2018, considering he has not had a recurrence  200:23 of his DLBCL in seven-plus years?  200:24 A. Very good.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:25)</b></p>	RajFINAL.208
202:24 - 203:5	<p>201:9 Q. In this note from August 22nd of 2018,  201:10 Exhibit 20, do you see any note in here that he  201:11 suffered from chemo brain at any time?  201:12 A. I mean, he has had multiple neurologic  201:13 symptoms, but I don't see that I have documented any  201:14 chemo brain in here.  201:15 Q. I guess that's my question.  201:16 Did you diagnose him with chemo brain?  201:17 A. I don't recall it.</p> <p><b>Raj, Kavitha 01-08-2019 (00:00:15)</b></p>	RajFINAL.211
204:17 - 206:2	<p>202:24 Q. But I want to be very clear that there's  202:25 nothing that you've seen today, that's been put in  203:1 front of you, that suggests that you actually  203:2 diagnosed Mr. Pilliod with chemo brain; is that  203:3 fair?  203:4 A. I don't see anything on the notes that has  203:5 been provided to me. Yeah.</p> <p><b>Raj, Kavitha 01-08-2019 (00:01:43)</b></p> <p>204:17 Q. You talked with plaintiffs' counsel  204:18 earlier about how Mr. and Mrs. Pilliod were both  204:19 diagnosed with a subtype of lymphoma; is that right?  204:20 A. Correct.  204:21 Q. His was a systemic DLBCL; is that right?  204:22 A. Correct.  204:23 Q. Hers, on the other hand, was a primary CNS  204:24 lymphoma that was limited to the brain; is that  204:25 right?  205:1 A. Yes.  205:2 Q. Obviously Mr. and Mrs. Pilliod lived  205:3 together for many years prior to their lymphoma  205:4 diagnoses in 2011 and 2015, respectively, right?  205:5 A. Yes.  205:6 Q. And in each case, in each of them, they  205:7 actually had a personal history of cancer prior to</p>	RajFINAL.212

Page/Line

Source

ID

205:8 their diagnosis with lymphoma, right?

205:9 A. Yes.

205:10 Q. Mr. Pilliod had skin cancer, right?

205:11 A. Yes.

205:12 Q. And then ultimately had the lymphoma in

205:13 his bones and his lymph nodes, right?

205:14 A. Yes.

205:15 Q. On the other hand, Mrs. Pilliod had

205:16 bladder cancer, right?

205:17 A. Yes.

205:18 Q. And then she's had a cancer that was

205:19 limited to her brain, correct?

205:20 A. Yes.

205:21 Q. So just in terms of the spots of cancer in

205:22 their bodies, they did not overlap, true?

205:23 A. Meaning? It is one cancer related to

205:24 other cancer; is that the question?

205:25 Q. Well, in terms of where the tumors were

206:1 located that were on imaging, they did not overlap?

206:2 A. They're two different types of cancers.

206:12 - 206:20

**Raj, Kavitha 01-08-2019 (00:00:16)**

RajFINAL.213

206:12 Q. With respect to Mr. Pilliod and

206:13 Mrs. Pilliod, they're both at risk for additional

206:14 cancers as they age; is that right?

206:15 A. Yes, age is an important respecter for all

206:16 cancers.

206:17 Q. The longer you live, the greater chance

206:18 you have of getting cancer of any type in some part

206:19 of the body, right?

206:20 A. Yes.

207:5 - 207:10

**Raj, Kavitha 01-08-2019 (00:00:08)**

RajFINAL.214

207:5 Q. Dr. Raj, a couple of follow-up questions

207:6 from opposing counsel's questioning.

207:7 The first, there was some questions about

207:8 ulcerative colitis.

207:9 Do you recall that?

207:10 A. Yes.

207:18 - 209:3

**Raj, Kavitha 01-08-2019 (00:01:22)**

RajFINAL.215

207:18 Q. Was it a concern for you -- well, in your

207:19 treatment of Mr. and Mrs. Pilliod -- sorry -- of

207:20 Mr. Pilliod, his ulcerative colitis, was that  
 207:21 something that you considered to have been a cause  
 207:22 of his non-Hodgkin's lymphoma?

207:23 A. I did not consider that at that time. Now  
 207:24 we're talking about the causality, like so much.  
 207:25 I'm just saying like any autoimmune disease could  
 208:1 make risk for lymphoma. I'm not, like, very aware  
 208:2 of the statistics of what is the risk for ulcerative  
 208:3 colitis or whatnot.

208:4 Q. Sure.

208:5 A. I don't know. I'm not aware of that.

208:6 Q. You are aware of other types of autoimmune  
 208:7 diseases that are generally associated with  
 208:8 non-Hodgkin's lymphoma?

208:9 A. Yes.

208:10 Q. Rheumatoid arthritis?

208:11 A. Correct.

208:12 Q. But -- and you've looked at literature  
 208:13 that relates to that, I'm sure?

208:14 A. At some point, I should have -- I must  
 208:15 have looked at it. I mean, I haven't looked at it  
 208:16 recently.

208:17 Q. Okay. And in your treatment of  
 208:18 Mr. Pilliod, did you research whether or not  
 208:19 ulcer- -- ulcerative colitis was a potential risk  
 208:20 factor for NHL?

208:21 A. I don't think I researched it. We usually  
 208:22 worry about when someone is on immunosuppressive  
 208:23 therapy for autoimmune disease more than if they  
 208:24 have autoimmune disease. So it was not a red flag  
 208:25 for me at that time.

209:1 Q. And have you seen any evidence in your  
 209:2 review of Mr. Pilliod's medical records that he was  
 209:3 receiving an autoimmune therapy?

209:7 - 210:22

**Raj, Kavitha 01-08-2019 (00:01:30)**

RajFINAL.216

209:7 Q. Yeah. Immunosuppressant therapy.

209:8 A. Not that I know of.

209:9 Q. Okay. There was questions about  
 209:10 meningitis.

209:11 Do you recall that?

Page/Line

Source

ID

209:12 A. Yes.

209:13 Q. You've been treating Mr. Pilliod for,  
209:14 gosh, over seven years now?

209:15 A. Yes.

209:16 Q. And in your entire time treating  
209:17 Mr. Pilliod have you ever seen him experience  
209:18 meningitis?

209:19 A. I don't think I have seen him have any  
209:20 meningitis. This was all history from before.

209:21 Q. Yeah. And in discussion of the history of  
209:22 his medicine did he ever say that he had had a  
209:23 recurrence of it in the months leading up to his  
209:24 diagnosis of NHL?

209:25 A. I think the most recent time when I saw  
210:1 him, that's when he had his new symptoms of not able  
210:2 to speak. He had, like, very pronounced neurologic  
210:3 symptoms on exam. And that's why he was seen by  
210:4 neurology at UCSF. And he was going -- undergoing  
210:5 workup for that. So I don't know whether that's  
210:6 related to any of his previous brain damage from any  
210:7 of those infections.

210:8 But other than that, I am not aware of any  
210:9 other history.

210:10 Q. Okay. So to be clear, then, in your  
210:11 treatment of Mr. Pilliod, you never observed him  
210:12 suffering from a flare-up of meningitis?

210:13 A. Correct.

210:14 Q. Okay. And so your understanding of his  
210:15 meningitis history is based upon him telling you he  
210:16 got it in the '70s and it had come back a couple  
210:17 times?

210:18 A. Yes.

210:19 Q. Okay. Do you believe that Mr. Pilliod's  
210:20 melanoma in any way was associated with the lymphoma  
210:21 found in his bones?

210:22 A. No.

210:25 - 211:1 **Raj, Kavitha 01-08-2019 (00:00:02)**

RajFINAL.217

210:25 Q. Can you turn to Exhibit 20.

RK20.1

211:1 A. Yes.

213:25 - 214:17 **Raj, Kavitha 01-08-2019 (00:00:37)**

RajFINAL.218

213:25 Q. Okay. And then if you go down to  
214:1 number 7, opposing could asked you about this,  
214:2 "right-sided paravertebral pain"?

RK20.2.4

214:3 A. Uh-huh.

214:4 Q. If I recall correctly, he -- he had  
214:5 significant lesions in his spine, right?

214:6 A. At the time of diagnosis, yes.

214:7 Q. And in -- and, in fact, you testified that  
214:8 that -- that the cancer actually eats away at the  
214:9 bone?

214:10 A. It does.

214:11 Q. And it can leave scarring?

214:12 A. Yes.

214:13 Q. Is there any reason to think that this  
214:14 pain in his back could be associated with the cancer  
214:15 he had in his back?

214:16 A. It's hard to say for sure, but it's  
214:17 possible.

214:21 - 215:21

**Raj, Kavitha 01-08-2019 (00:01:02)**

RajFINAL.224

214:21 At the beginning of your treatment of  
214:22 Mr. Pilliod, he did not have any aphasia, did he?

clear

214:23 A. Correct. He did not.

214:24 Q. And that's, you know, difficulty with  
214:25 speaking, nouns, and reading, right?

215:1 A. Correct.

215:2 Q. And he does have that phenomena after  
215:3 having received chemo?

215:4 A. I mean, several years after he received  
215:5 chemo.

215:6 Q. Sure.

215:7 He also -- have you spoken to him about  
215:8 the -- the -- the nature of his seizures or physical  
215:9 ailments following chemotherapy?

215:10 A. I don't recall speaking about his  
215:11 seizures. I mean, we do address side effects of  
215:12 chemotherapy, you know, fatigue and things like  
215:13 that, pain, yes. I remember talking about those  
215:14 things, but I don't remember talking about seizures.

215:15 Q. Now, I understand you have some evidence  
215:16 here that he may have, in fact, suffered a -- a

Page/Line	Source	ID
215:23 - 215:24	<p>215:17 minor stroke?  215:18 A. Correct.  215:19 Q. My understanding of chemotherapy is that  215:20 it puts an incredible strain on your cardiovascular  215:21 system; is that right?  <b>Raj, Kavitha 01-08-2019 (00:00:02)</b></p>	RajFINAL.219
216:18 - 217:13	<p>215:23 THE WITNESS: It does. It can cause  215:24 damage to the heart muscle.  <b>Raj, Kavitha 01-08-2019 (00:00:46)</b>  216:18 Q. The right person to ask about how his  216:19 brain has been affected or not affected by  216:20 chemotherapy, the person to ask would be  216:21 Mr. Pilliod?  216:22 A. His symptoms -- he would be able to tell  216:23 us more about his symptoms than anybody else. A lot  216:24 of times when you talk about neurologic disorders,  216:25 there's more subjective, like, symptoms than what we  217:1 can elicit in, like, scans and whatnot. So, yes,  217:2 usually patients tell us more.  217:3 Q. Okay. There was some questions about  217:4 Mr. Pilliod and even Mrs. Pilliod's prior history of  217:5 cancer.  217:6 Do you recall that?  217:7 A. Yes.  217:8 Q. And Mrs. Pilliod had a prior history of  217:9 bladder cancer; is that right?  217:10 A. Yes.  217:11 Q. Mr. Pilliod had a prior history of skin  217:12 cancer?  217:13 A. Yes.</p>	RajFINAL.222
217:21 - 218:7	<p><b>Raj, Kavitha 01-08-2019 (00:00:23)</b>  217:21 Q. Let's start with Mrs. Pilliod.  217:22 Was her bladder cancer that she had in  217:23 2010 the same type of cancer as she got in her brain  217:24 in 2015?  217:25 A. No.  218:1 Q. Was -- was the kind of cancer that  218:2 Mr. Pilliod had on his skin the same type of cancer  218:3 that infected his -- his spinal system and bones?  218:4 A. No.</p>	RajFINAL.223

Page/Line

Source

ID

218:5 Q. Okay. Do you believe that those cancers  
218:6 had anything to do with their lymphoma?  
218:7 A. No.

**Total Time = 02:00:29**

**Documents Shown**

RAJ19  
RK13  
RK14  
RK15  
RK16  
RK18  
RK19  
RK20  
RK3  
RK4  
RK5  
RK6  
RK7  
RK8  
RK9