

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
BEFORE THE HONORABLE VINCE CHHABRIA

IN RE: ROUNDUP PRODUCTS) No. M16-2741 VC
LIABILITY LITIGATION,) San Francisco, California
_____) Friday
April 6, 2018

TRANSCRIPT OF PROCEEDINGS

APPEARANCES:

For Plaintiffs: ANDRUS WAGSTAFF, PC
7171 West Alaska Drive
Lakewood, CO 80226
(720) 255-7623
BY: AIMEE H. WAGSTAFF, ESQ.
DAVID JACKSON WOOL, ESQ.

For Plaintiffs: ANDRUS WAGSTAFF, PC
6315 Ascot Drive
Oakland, CA 94611
(720) 255-7623
BY: KATHRYN MILLER FORGIE

For Plaintiffs: BAUM HEDLUND ARISTEI AND GOLDMAN, PC
12100 Wilshire Boulevard, Suite 950
Los Angeles, CA 90024
(310) 207-3233
BY: ROBERT BRENT WISNER, ESQ.

WEITZ & LUXENBERG, PC
700 Broadway
New York, New York 10003
(213) 5578-5802
BY: ROBIN L. GREENWALD, ESQ.

(APPEARANCES CONTINUED ON FOLLOWING PAGE)

Reported By: Debra L. Pas, CSR 11916, CRR, RMR, RPR
Official Reporter - US District Court
Computerized Transcription By Eclipse

PROCEEDINGS

1 Friday - April 6, 2018

9:07 a.m.

2 P R O C E E D I N G S

3 ---000---

4 **MR. LASKER:** I have a preliminary matter, Your Honor.

5 Plaintiffs have just provided with us a slide deck that I
6 take it they are planning on presenting through Dr. Portier.
7 It's 30 slides, which I have had just had a chance to look at.
8 There is one slide that's from his expert report. The other 29
9 slides are new. They are new analyses. They're are new
10 opinions. I've never seen those numbers before. There is
11 calculations I've never seen before.

12 Obviously, I have had no idea -- I have not had a chance
13 to depose him. I have no idea where we are going on this, but
14 my ability to cross-examine him on any of this is going to be
15 extremely limited. And I thought we had addressed with Your
16 Honor on the call when these were being -- these presentations
17 were being scheduled, the question about whether or not either
18 Dr. Ritz or Dr. Portier would be presenting new opinions. And
19 our understanding from Your Honor was that that was not the
20 intention of this hearing. So we object very strongly to
21 virtually all of the slides in this slide deck.

22 **THE COURT:** I mean, for -- for the sort of -- I know
23 that sometimes it may be difficult to figure out exactly where
24 the line is, but I've been operating under the assumption that
25 this testimony would be, you know, further elaboration of,

PROCEEDINGS

1 further explanation for, further detail regarding the opinions
2 that were previously offered. So -- as opposed to new
3 opinions.

4 So, you know -- do you want to show me a certain example
5 of a slide that you think reflects a new opinion as opposed to
6 further explanation of, further elaboration of, further detail
7 about, you know, the existing opinion?

8 **MR. LASKER:** Yes, Your Honor. And, I mean, we can go
9 through them because all of them are new in different ways.
10 There are a series of new forest plots that we've never seen.
11 But if we can just walk through, I guess, the slide deck,
12 the -- and some of them are of more concern to me than others.

13 **MS. GREENWALD:** Your Honor, can I just make one
14 comment before? I don't mean to interrupt, Erik, I apologize.

15 But I just want to make it clear, because we have gone
16 through this with Dr. Portier. These slides are literally
17 illustrative of what's in his report. There is, I assure you,
18 no new opinion here. These are helping to explain some of the
19 questions that Your Honors have been asking from the bench,
20 both the *Daubert* one --

21 **THE COURT:** That's fine. But let me hear from
22 Mr. Lasker about his concerns.

23 **MS. GREENWALD:** Okay.

24 **MR. LASKER:** So some of these I'm less concerned
25 with, although they are new. The forest plot on Slide 2 I've

PROCEEDINGS

1 never seen before but they are numbers we've dealt with. And
2 I'm less concerned also with 3 and 4 just seem to be
3 explanatory of basic concepts.

4 5 is actually -- I'm sorry. Slide 5 is actually from his
5 forest plot.

6 Slide 6 I think seems consistent, although it's a
7 different visual, so I'm not sure.

8 **THE COURT:** Different visual --

9 **MR. LASKER:** I don't think I object. That was my
10 point there.

11 Slide 7 is dealing with latency, and there are a variety
12 of opinions now that are being expressed about factors that can
13 affect latency that are new. We can hear what those are, and
14 I'll sort of take it as it comes, but those opinions were not
15 offered in his expert report.

16 Slide -- I'm sorry.

17 **THE COURT:** But there will be a question, he
18 mentioned latency briefly in his expert report. He testified
19 about latency a bit more extensively the other week --

20 **MR. LASKER:** Right. And as I said, I think some of
21 these are more -- some of these I have to sort of wait for the
22 testimony. I'm sort of walking you through in order.

23 Slide 8, I've never seen before. This is an analysis
24 comparing numbers that I've never seen before with calculated
25 odds ratios that I've never seen before with a case-controlled

PROCEEDINGS

1 study versus a cohort study. None of these analyses or
2 opinions were expressed. If I can walk through this --

3 **MS. GREENWALD:** Can I stop you there for a minute?
4 Number 8 is a hypothetical, just to help explain. It's nothing
5 to do with any of the data in this case.

6 **THE COURT:** One of the things that's a little
7 annoying about this is that you only gave the slide deck to
8 Mr. Lasker this morning. I would think that you could have
9 given it to him earlier.

10 **MS. GREENWALD:** Your Honor, there is a real problem.
11 I mean, Dr. Portier lives in Switzerland and he flew in, as you
12 know -- well, you don't know this. He flew in -- well, he got
13 in at 1:00 a.m. the night before Dr. Ritz testified. He was in
14 court --

15 **THE COURT:** There is -- there is email and you can
16 email slide decks back and forth, and you can talk on the
17 phone, and you can talk by video conference. I mean, anyway --

18 **MS. GREENWALD:** I assure you, we did this yesterday.
19 But, okay. I understand.

20 **MR. LASKER:** If I could just continue.

21 Slide 9 I'm not as concerned about. It's a new forest
22 plot, but I've seen all these numbers before.

23 Slide 10, I'm not concerned about.

24 And Slide 11, just is an introductory slide.

25 Slide 12 and 13, again, seem to be introductory. So I

PROCEEDINGS

1 don't have as concerns -- as many concerns about those, but
2 there's a lot of steps that he discusses in here, going into
3 detail about the imputation method and how it was done that he
4 did not have in his expert report. I have -- I'm sort of at a
5 loss of where he is going with these.

6 **THE COURT:** But did he -- I may be misremembering
7 this, but in his supplemental report did he not criticize AHS
8 for the manner in which it imputed exposure information and the
9 like?

10 **MR. LASKER:** He did. And as I'm looking at Slide 13
11 and 14, I think these are just describing the Heltshe
12 methodology. I'm reading this as we go along. I don't think
13 those are issues, but I'm sort of doing this on the fly.

14 **THE COURT:** I understand.

15 **MR. LASKER:** And Slide 16, he had talked about this
16 issue before. We had not had this graphic, but I'm at least
17 familiar with the issue. These numbers and calculations of
18 absolute bias that he has here, I've never seen before. And
19 I'm not sure, sitting here, how they are calculated or whether
20 or not I would have an issue with them if I had had time to
21 look at them. They are just new numbers for me.

22 He had talked about the fact that the predictions were
23 below the -- the general issue he had raised, but I've not seen
24 any of these calculations before.

25 The slides from 17 to, I guess it's -- I think these --

PROCEEDINGS

1 I'm not sure where they end, maybe through 25 --

2 **MS. GREENWALD:** Yes, that's right.

3 **MR. LASKER:** -- I take it may be going to be a
4 hypothetical that is -- that is presenting different
5 calculations based upon different types of non-differential
6 exposure classification. That's what it looks like to me. And
7 then he is going to have some calculations on how that would
8 impact.

9 Again, I don't know if these calculations are right or
10 wrong. I have not seen them before. And I'm not going to be
11 able to do anything with them today other than just --

12 **THE COURT:** You might be able to do something with
13 them today. Thus far, it appears that you know the material
14 better than a number of the experts, so perhaps you will be
15 able to do something with it today.

16 **MR. LASKER:** The issue, though, Your Honor, is the
17 calculations. I don't -- these are numbers that he's
18 presenting. He's got calculations and percentages. And I do
19 understand the materials, but I can't do the math and I don't
20 know how he's done the math and I'd have to explore it here
21 today. I have not had a chance to question him about that.

22 **THE COURT:** I understand your concern. And I think
23 there is always going to be difficulty in, you know, sort of
24 drawing the line between what's a new opinion and what's an
25 elaboration of an existing opinion.

PROCEEDINGS

1 I've sensed that this is going to be an issue for you.
2 You know, sort of once we wrap up today, that's going to be an
3 issue for you. And I was sort of expecting you to say, you
4 know: We would like the opportunity to file a brief on what
5 is -- you know, what opinions -- what aspects of the opinions
6 that Dr. Ritz and Dr. Portier offered this week are new
7 opinions and unclosed opinions.

8 **MR. LASKER:** Right.

9 **THE COURT:** And if you want, you can do that. You
10 would have to do it quickly.

11 **MR. LASKER:** Yes.

12 **THE COURT:** But if you want to do that by, say,
13 Monday, you know, you can file a brief sort of objecting to
14 what you believe are new opinions from these witnesses as
15 opposed to elaborations, I would invite you to do that.

16 **MR. LASKER:** Okay.

17 **THE COURT:** And explain why it was unfair for
18 Dr. Portier to testify about X or Y on this day.

19 **MR. LASKER:** Yes.

20 **THE COURT:** And, but one other thing. If you take me
21 up on the invitation to file that brief, I would like you to
22 address something that is related to that, which is -- it's one
23 thing for an expert to disclose an opinion in a report and then
24 testify at deposition in support of that opinion, and then get
25 up on the stand in front of a jury at a trial and testify to

PROCEEDINGS

1 something different, where the -- you know, the -- the other
2 side is entirely sandbagged by that and hasn't had an
3 opportunity to address it. You know, that is the classic sort
4 of scenario where, you know, a judge would exclude testimony
5 because it was an undisclosed opinion. Right?

6 One question I have been wondering about is -- you know,
7 is the analysis a little bit different in a context like this
8 when we're -- you know, we're engaging in this phase one
9 inquiry that is still far, far away from any jury trial, right?
10 You know, what -- you know, how -- how does a judge deal with
11 arguments about undisclosed opinions in a context like that?
12 And should a judge be thinking about it differently in a
13 context like that? And you could -- you could address that
14 question in your brief.

15 **MR. LASKER:** Yes, Your Honor. And there is case law
16 on that that we would be happy to point you to.

17 **THE COURT:** If I recall -- I mean, I'm just -- I
18 don't remember the name of the case, but there was a case that
19 the plaintiffs cited, I believe. And I believe it was a
20 District Court opinion from somewhere in the 11th Circuit,
21 maybe Florida or something like that, maybe Miami.

22 **MR. LASKER:** Yes.

23 **THE COURT:** Where the -- although I wasn't actually
24 that -- it's been awhile since I've read it, but I wasn't that
25 impressed with the judge's conclusion in that case to allow the

PROCEEDINGS

1 expert opinion in. You know, the judge also discussed, you
2 know, this issue that I'm talking about, at least a little bit,
3 and that -- that discussion seemed at least somewhat persuasive
4 to me.

5 So if you could address that, that would be helpful.

6 **MR. LASKER:** Yeah. We will, Your Honor.

7 And just one more thing and then I'll sit down before...

8 But Slide 27, Dr. Portier is presenting numbers from the
9 NAPP. And Dr. Portier never mentioned the NAPP in any of his
10 expert reports, so this is not expansion on opinions. It's he
11 had not offered opinions.

12 And I had asked him briefly whether he looked at it and he
13 said: I was shown some slides, but I don't remember them. So
14 that's a completely new opinion.

15 **THE COURT:** And I recall that as well, and that may
16 be a concern. We can let him testify about it now, but I may
17 not consider it.

18 **MR. LASKER:** Thank you, Your Honor.

19 **MS. GREENWALD:** Can I make one -- couple of
20 clarification points?

21 So as to the Andreotti, which is Slides 11 through 26,
22 Mr. Lasker deposed Dr. Portier on this very study in London,
23 according to your Honor's order, when you had supplemental
24 depositions and supplemental reports.

25 I just want to let you know he did have an opportunity.

PROCEEDINGS

1 For example, 16, which Mr. Lasker suggested he's never had an
2 opportunity to talk to Dr. Portier about, which is the Heltshe
3 study. He spent probably almost half of the deposition talking
4 to him about Heltshe. So I just want to let you know. It
5 wasn't fun at all.

6 And, also, he did elicit questions from Dr. Portier about
7 NAPP. But with that said, I just wanted to clarify that.

8 And can we talk a little bit maybe at the end of the day
9 about whether we have an opportunity to respond? Because I
10 don't know what they are going to --

11 **THE COURT:** You can file a response to them, but,
12 again, it's going to have to be very quick.

13 **MS. GREENWALD:** I understand. You're doing Monday,
14 by the end of the day.

15 Can we do Wednesday morning? Wednesday afternoon? Is
16 that okay?

17 **THE COURT:** Wednesday is fine.

18 **MS. GREENWALD:** Okay. Thank you.

19 **THE COURT:** Okay. Dr. Portier, do you want to come
20 on up?

21 **MS. GREENWALD:** Your Honor, do you want to start? Do
22 you want me to start?

23 **THE COURT:** I'll let you go ahead and start. I have
24 some questions, but I will have fewer because he hasn't
25 provided the testimony.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 **MS. GREENWALD:** I understand. That's what I assumed.

2 **THE COURT:** So I'll let you go ahead and start.

3 **CHRISTOPHER PORTIER,**

4 called as a witness for the Plaintiff, having been duly sworn,
5 testified as follows:

6 **THE WITNESS:** I do.

7 **THE CLERK:** Thank you. Please be seated.

8 And for the record, please state your first and last name
9 and spell both of them.

10 **THE WITNESS:** Christopher Portier.

11 C-H-R-I-S-T-O-P-H-E-R, P-O-R-T-I-E-R.

12 **THE COURT:** It sounded like you just said Portier.

13 **THE WITNESS:** Good morning, Your Honor. I'm afraid
14 you were told wrong on Wednesday.

15 **MS. GREENWALD:** It was my fault. At the end of the
16 day, I was not thinking clearly, so I apologize.

17 **THE COURT:** No problem.

18 Sorry about that, Dr. Portier.

19 **DIRECT EXAMINATION**

20 **BY MS. GREENWALD**

21 **Q.** Good morning.

22 Can you just briefly tell the Court about your
23 epidemiological background?

24 **A.** I have some education in epidemiology. My PhD had a minor
25 in epidemiology, an application in biostat.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 Early in my career I did a little bit of epidemiology.
2 Throughout my career I've spent a lot of time reviewing
3 epidemiology as part of my job and for other reasons.

4 Recently I've started publishing epidemiology papers
5 again. I had one come out three months ago looking at exposure
6 in Oakland, exposure to air pollution in Oakland. We drove
7 Google Street View cars around Oakland for two years looking at
8 how much air pollution there is. We have another paper coming
9 out in the very near future --

10 **THE COURT:** Can you tell me a little bit more about
11 that Oakland paper?

12 **THE WITNESS:** Well, this is the same thing, because
13 what we're also doing is following up that up with Kaiser
14 Permanente and our exposure from the City and trying to see
15 what it means to the health of the people in the city --

16 **THE COURT:** I am curious. So -- so what exposure are
17 you looking at? Just air pollution generally?

18 **THE WITNESS:** Different components of air pollution,
19 NOx, SOx, carbon black, PM2.5. These are things that we worry
20 about.

21 **THE COURT:** So you're -- so how are you measuring air
22 pollution?

23 **THE WITNESS:** Oh, the Street View cars have
24 instruments --

25 **THE COURT:** What cars?

PORTIER - DIRECT EXAMINATION / GREENWALD

1 **THE WITNESS:** Street View. These are the Google cars
2 that go around and measure, take pictures of everything. They
3 have equipment in the trunk that -- GC mass specs and things,
4 and little tubes on the roof that suck in air into the trunk
5 and measure it and send the information to Google, who stores
6 it, and then comes back to us and we analyze it and made a
7 paper about it.

8 **THE COURT:** Huh. And so what are you -- what did
9 that paper conclude?

10 **THE WITNESS:** Oh, we were interested in the -- the
11 purpose is to see how well we can measure air pollution using a
12 car for -- with cheap systems and compare that to what the
13 regulatory monitors do, and we concluded we did a better job.
14 And we can pick up hot spots in the city and show you why those
15 are hot spots, why they have elevated levels simply because of
16 traffic flow or things that could be fixed.

17 So the whole purpose was to try to see if there was a way
18 to understand how to use this data to better design cities like
19 Oakland. We're now doing the whole Bay Area. We have now six
20 cars driving around the whole Bay Area.

21 **THE COURT:** So does that -- does that -- did that
22 study seek to identify different sources of pollution or just
23 measure the amount of pollution in particular parts of Oakland?

24 **THE WITNESS:** We measured the amount of pollution
25 that was predominant, but -- we spent some time looking at

PORTIER - DIRECT EXAMINATION / GREENWALD

1 sources of pollution.

2 I mean, you know you've got the port right there. That's
3 a huge source of pollutants, but both -- all the interstates
4 around the city are extreme sources of pollutants for Oakland.
5 West Oakland is worse than East Oakland. Yeah.

6 **THE COURT:** Okay. And where -- and you said that's a
7 paper that's published?

8 **THE WITNESS:** Yeah. Journal of Environmental Science
9 and Technology.

10 **THE COURT:** Okay. Thank you.

11 **THE WITNESS:** I will be happy to get you a copy, if
12 you would like it.

13 **THE COURT:** That's okay.

14 **BY MS. GREENWALD**

15 **Q.** Okay. If we can go to the first slide, please?

16 **THE COURT:** But I actually -- were you done talking
17 about his epidemiology experience?

18 **MS. GREENWALD:** I didn't want to -- yes, but I can
19 more than happily --

20 **THE COURT:** I would like to hear a little more detail
21 about your experience in epidemiology.

22 I mean, I was going back through your expert report last
23 night. There is not really -- I mean, there is not really any
24 discussion of epidemiology in your qualifications, is there?
25 In the section of your report on qualifications?

PORTIER - DIRECT EXAMINATION / GREENWALD

1 I mean you talk about your jobs --

2 **THE WITNESS:** Correct.

3 **THE COURT:** -- and you -- you know, you talk -- you
4 talk about the things you've studied, but when -- it looked to
5 me like whenever -- whenever you get into specifics about
6 things that you studied, it's always a focus on animal studies.

7 And so I -- after reading that, I was left wanting to know
8 a little bit more about, you know, in your -- in your various
9 jobs, like at NTP and, you know, at the National Center for
10 Environmental Health, you know, how much of your work focused
11 on epidemiology as opposed to toxicology?

12 **THE WITNESS:** Okay.

13 **THE COURT:** If you could kind of walk me through your
14 history of that.

15 **THE WITNESS:** I'll give you a few examples, and maybe
16 that will help better understand.

17 It's -- it's a fair statement that most of my personal
18 research is, indeed, in toxicology and mechanisms of cancer,
19 mechanisms of immunotoxicity and other areas like that. That's
20 a fair statement.

21 In the 1990s, as an example, 1990s I was asked by the
22 U.S. Government to start a research program with Vietnam,
23 U.S.-Vietnam research program on Agent Orange and its effects
24 on people in Vietnam. Brought together a team, designed some
25 studies. Worked through the State Department to try to get it

PORTIER - DIRECT EXAMINATION / GREENWALD

1 together. Eventually after seven years ended up funding such a
2 study.

3 So even though I didn't do the study, I was intimately
4 involved in its design, its organization and getting it put
5 together.

6 Later, '98, 1998, I was asked by Congress, again, to
7 review the health and safety of power lines and whether or not
8 they caused childhood leukemia. That involved a predominantly
9 epidemiology study. There was very little toxicology worth
10 looking at. There were 18 epidemiology studies that we had to
11 review and comment on and come to some sort of conclusion that
12 would help Congress and regulatory agencies decide to what
13 agree they needed to address that question. So I had to know
14 all the epidemiology in that situation.

15 At the NTP I was responsible for the report on
16 carcinogens. So even though Dr. Jameson ran the day-to-day
17 operations of the report, when it came down to it, I have to be
18 the one who said: Yes, I agree with the decision that the team
19 has come up with; or: No, I don't. So, again, I have to be
20 able to read and understand all of the epidemiology in detail.

21 At CDC I had several divisions that were entirely
22 epidemiology that I had to interact on a daily basis looking at
23 their papers and what they were doing. We had a major study
24 going on in Camp Lejeune, North Carolina, where the Marines had
25 been exposed to water, underground water that had been

PORTIER - DIRECT EXAMINATION / GREENWALD

1 contaminated with toluene and other things. I helped design
2 that study. I negotiated with the Navy to allow us to do the
3 study. I had to be able to put forth to them why it was an
4 important study, that the design was appropriate and everything
5 else.

6 That's -- then I've worked on reviews myself for WHO, for
7 IARC, where I've sat on the panels and had to review data.
8 When I worked --

9 **THE COURT:** You sat on epidemiology panels?

10 **THE WITNESS:** Only once have I sat on an
11 epidemiology -- and only partly sat on the epidemiology panel.
12 I was chairing the meeting. It was on diesel exhaust.

13 When I was with EPA Science Advisory Panel, they had a
14 meeting on the use of human studies in pesticide approval
15 process. There had been a push to be able to expose people to
16 pesticides and look at not toxicity of it, but the metabolism
17 and everything in people that I had to chair that -- I chaired
18 that meeting and we had a very lively discussion about it.
19 But, again, that's clinical epidemiology, but it's the same
20 thing.

21 Is that enough?

22 **THE COURT:** Yeah. Although it prompted a couple
23 other questions that crossed my mind as you were talking.

24 Diesel exhaust, so you chaired the IARC Working Group for
25 diesel exhaust?

PORTIER - DIRECT EXAMINATION / GREENWALD

1 **THE WITNESS:** Yes.

2 **THE COURT:** What was the conclusion?

3 **THE WITNESS:** Diesel is a known human carcinogen.

4 **THE COURT:** And --

5 **THE WITNESS:** Diesel exhaust, sorry.

6 **THE COURT:** Diesel exhaust.

7 And is that -- what -- did your working group reach any
8 conclusions about what types of cancer the diesel exhaust
9 causes?

10 **THE WITNESS:** Yes. Certainly lung cancer. I'm not
11 sure whether there were some smaller other cancers in there,
12 but certainly lung cancer.

13 **THE COURT:** What about NHL?

14 **THE WITNESS:** That, I do not recall.

15 **THE COURT:** Okay. And I -- and then one -- back to
16 your Oakland paper.

17 Why is that an epidemiology study? I mean, so you --
18 you've talked so far about the amount of pollution in the air,
19 but just studying the pollution in the air does not strike me
20 as an epidemiology study, unless I don't have the -- you know,
21 I have the wrong definition in my head of epidemiology.

22 **THE WITNESS:** There's a -- the purpose of the
23 exposure study was to do epidemiology. So we have -- I built a
24 collaboration with Kaiser Permanente, who provides health
25 services to most of northern California, or about half the

PORTIER - DIRECT EXAMINATION / GREENWALD

1 people in northern California. And it was about 45,000 people
2 in and around the Oakland area. And so what we then did was a
3 retrospective cohort study.

4 Given the exposures, we backed them up in time and said:
5 What if the City looked like this for the last ten years? Now
6 let's look at the health records of all these people, pull them
7 apart and see if we see a pattern, in this case, for
8 cardiovascular disease.

9 We were able to show that even in this little area of West
10 Oakland, we can detect differences in cardiovascular disease
11 risks across that small population if we do this good of a job
12 with an exposure evaluation. And that is an epi study.

13 **THE COURT:** Okay. All right. Thank you.

14 **BY MS. GREENWALD**

15 **Q.** Can I just ask you one follow-up about the Camp Lejeune?
16 You were looking at health outcomes of service people who lived
17 at Camp Lejeune?

18 **A.** Yes.

19 **Q.** Were you focusing on the breast cancer association?

20 **A.** That was one thing looked at, the male breast cancer risk,
21 yes.

22 **Q.** So that was, again, health outcomes in that case as well?

23 **A.** I left before they finished.

24 **Q.** Okay.

25 **A.** And I don't remember. I read the paper when it was done,

PORTIER - DIRECT EXAMINATION / GREENWALD

1 but I don't remember.

2 **Q.** Okay. Okay. All right. Thank you.

3 So should we --

4 **MS. GREENWALD:** If you can go to the first slide now?

5 **BY MS. GREENWALD**

6 **Q.** Can you please explain your methodology for evaluating the
7 causality between glyphosate and non-Hodgkin's lymphoma?

8 **A.** Certainly. In any evaluation like this, it's common
9 methodology to review all of the data, the studies in the human
10 populations, the studies in the animal populations, and the
11 other mechanistic studies as well.

12 So my evaluation of causality here really went through all
13 of that data in great detail looking at it very carefully.

14 The studies of the human population, which I'll now spend
15 some time on, that's a straightforward methodology that most
16 people use. Those methodologies are followed by EPA, followed
17 by the International Agency for Research on Cancer, followed by
18 the European Chemical Agency and the U.S. Report on Carcinogens
19 and others in terms of how you look at that data, how you
20 evaluate it.

21 You assess study quality to make sure that you really want
22 to include this study in your overall evaluation. And then you
23 have to evaluate the degree to which the study supports a
24 finding of cancer in humans.

25 And typically that can range from "I don't know if this

PORTIER - DIRECT EXAMINATION / GREENWALD

1 study tells me anything" to "the study clearly tells me that
2 there is nothing going on," to "this study clearly tells me
3 there is something here." And there is all the ways in between
4 of that, and I hope to try to express the -- my understanding
5 of that with these data today.

6 The guidelines, the methodology used by EPA, IARC, U.S.
7 Report on Carcinogens and to some degree EChA, I was involved
8 in the development of all those guidelines so I do have some
9 knowledge of the methodologies that they use.

10 **THE COURT:** What is EChA again?

11 **THE WITNESS:** European Chemical Agency.

12 **MS. GREENWALD:** If you would go to the next slide,
13 please?

14 **BY MS. GREENWALD**

15 **Q.** What is this summary plot showing?

16 **A.** I'm sorry if this appears to be something new. After
17 seeing most of the presentations, I wanted to bring up a plot
18 that puts everything up there. So we're looking at it -- I am
19 a holistic sort of guy. I want to see all of it up there at
20 one time.

21 So this is the -- in my expert report I identified six
22 core studies I was interested in: The McDuffie study, the
23 Hardell 2002 study, the De Roos 2003 study, the De Roos 2005
24 study, and the Ericksson 2008 study, and the Orsi 2009. Is
25 that six? Yes, six.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 And I mentioned the meta-analysis that I looked at, the
2 analysis Model 1, from Chang and Delzell. This shows you which
3 ones were adjusted for other pesticide exposures, which ones
4 were not. Shows you the exact range in the chart so you can
5 look at it all at once and see how they differ from each other.

6 We'll come back to this plot.

7 **Q.** So do you want to go to the next slide and see if you can
8 explain?

9 **A.** Yeah. I'd like to make sure we're all on the same page of
10 what these plots really are.

11 So if you look at the next page, this is hypothetical
12 confidence bounds. It's what you have been seeing. It's a dot
13 and whisker plot. You get the little whiskers and the dot in
14 the middle.

15 I changed it slightly because I'm using a log scale from
16 the bottom instead of a linear scale. So it's slightly
17 different, but I do that because I need to be able to show you
18 a distribution.

19 So here the point estimate of the odds ratio is 1.5 and
20 the range is .9 to 5.0.

21 Now, these confidence bounds, they are derived from an
22 underlying statistical distribution. I don't know if you --
23 you've seen these bell curves before and know what a bell curve
24 is, a normal distribution.

25 So if I could have the next slide?

PORTIER - DIRECT EXAMINATION / GREENWALD

1 So there is this underlying normal distribution that leads
2 to these curves. What you're looking at with the whiskers on
3 this plot is the 95 percent confidence interval. And that
4 means 2.5 percent of the mass under this distribution is to the
5 left of the lower one and 2.5 percent of the mass under this
6 curve is to the right of it. And then 95 percent of the mass
7 of the curve is covering that whole area. So that's what this
8 means.

9 Now, when it crosses the bound of 1, which is the area
10 where people talk about whether it's significant or not
11 significant, if the lower bound crosses 1 or not. We're
12 talking here -- if you look at this plot, you see where there
13 is the -- the red on the left. And then the red line that is
14 1, there is that little bit of white area right in there.
15 That, in this case, this theoretical case, is about one and a
16 half percent of the mass of this distribution.

17 So even though this crosses over -- I'm going to give you
18 some other characteristics of this -- only 4 percent of this
19 distribution is below 1. 96 percent of it is above 1.

20 So even though that 95 percent confidence interval touches
21 it, there is still a lot of distribution, a lot of probability
22 on the right side of this curve pushing you towards seeing
23 potentially an effect with this type of -- of response.

24 Is that clear? Good?

25 If we could go back to 2.

1 **MS. GREENWALD:** Go back to 2, please.

2 **A.** So part of my interpretation in looking at these studies
3 is maybe slightly different than how most people expressed it
4 or I missed it. But I'm going to use the Ericksson paper as an
5 example here.

6 Now, you might ask yourself, I certainly asked myself:
7 Why do you always see the paper, virtually all of the papers,
8 to an unadjusted analysis and then an adjusted analysis for
9 pesticides? Why do they do that? Why not just give you the
10 adjusted analysis for the pesticides?

11 It's because one way to interpret this is with the no
12 pesticide adjustment -- remember, it's still adjusted for age
13 and all kinds of other stuff, but with the no pesticide
14 adjustment, I'm looking to see if there is any association.

15 And then by adding in the pesticide adjustments, I'm
16 looking to see if part or all of this association can be
17 explained by other pesticides. And those other pesticides push
18 this curve back down because they are taking some of that
19 explanation away from the, in this case, glyphosate.

20 So I look at these two and I don't say it's not
21 statistically significant when it's adjusted. I say in this
22 case the adjustment took about -- it looks like about half of
23 the explanation -- half of the association seen for glyphosate
24 away from that chemical because of the other pesticides were
25 predicting about 50 percent of it themselves.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 In epidemiology they talk about something called
2 "attributable fraction." You sort of -- you've heard this.
3 It's -- we heard Weisenburger say that 70 percent of the NHL is
4 unexplained. That means the attributable fraction is
5 30 percent. I can attribute 30 percent -- for 30 percent of
6 the NHLs out there, I can find something that probably caused
7 it.

8 So here I'm looking at something like an attributable risk
9 as well when I think of these things. It's a little bit over.
10 If it goes a lot over, I'm not -- I'm going to figure that the
11 pesticides completely washed out the effect.

12 But if it moves just a little, even if it becomes
13 non-significant, I'm still going to be recognizing that as
14 probably an effect by glyphosate.

15 Excuse me, my mouth is dry.

16 Okay?

17 **BY MS. GREENWALD**

18 **Q.** Okay.

19 **A.** Unless there is a question? Good.

20 **MS. GREENWALD:** If we can go now to Slide 5, please?

21 **BY MS. GREENWALD**

22 **Q.** You mentioned just a few minutes ago that you looked at
23 six core studies. Can you explain Slide 5 for the Court
24 please?

25 **A.** Yes. This is directly from my expert report. It's

PORTIER - DIRECT EXAMINATION / GREENWALD

1 directly from Chang and Delzell's 2016 paper.

2 These are all of the studies that were looked at by Chang
3 and Delzell, and I think it's most of the ones I commented on
4 in my original expert report.

5 The red studies are the studies that went into Model 1 of
6 the meta-analysis done by Chang and Delzell. And those are the
7 McDuffie study, unadjusted.

8 The Hardell study -- I can't remember if that's
9 adjusted -- that's the unadjusted number. I'd have to look --
10 no, that is an adjusted number.

11 The De Roos number, that's a fully adjusted number. And
12 that's the De Roos study using the hierarchical model. The
13 De Roos 2005 study, fully adjusted.

14 The Ericksson study, fully adjusted.

15 And the Orsi study, which is not adjusted for other
16 pesticides.

17 And when they did their meta-analysis, they came up with a
18 meta risk of 1.3 with a lower bound 1.03 and upper bound of
19 1.6. So they saw a positive effect.

20 The weights that you see on the side are the weights that
21 are given to these papers in the meta-analysis based on their
22 variance and based on the size of the study. So big studies
23 with tight variance get more weight than little studies with
24 wide variance.

25 The studies with zero weight here just simply were not in

PORTIER - DIRECT EXAMINATION / GREENWALD

1 this meta-analysis. So they get no weight.

2 Meta-analysis Model Number 2 switched the hierarchical
3 model from De Roos and used just the logistic regression model
4 from De Roos 2003. And, again, you see a significant
5 meta-analysis.

6 Meta-analysis 3, took Hohenadel, 2011, and switched it for
7 Ericksson, 2008. And again you see a significant effect.

8 And I forget what four is, I'm sorry. I can't remember
9 them all.

10 **THE COURT:** Those four models are from Chang and
11 Delzell?

12 **THE WITNESS:** Correct.

13 **THE COURT:** Okay.

14 **THE WITNESS:** They are meta-analyses that Chang and
15 Delzell did and provided the results for.

16 They did meta-analyses on a number of the individual
17 lymphomas, and I covered that in my expert report as well.

18 **THE COURT:** Can I ask a question about that?

19 There were -- if I'm recalling correctly, there were three
20 meta-analyses of -- or pooled analyses of the case-controlled
21 studies. There were Chang and Delzell. There was the IARC
22 one. And there was one other one I'm not remembering as I sit
23 here.

24 **THE WITNESS:** That was Delzell -- I'm blanking on his
25 name.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 **MS. GREENWALD:** Schinasi?

2 **THE WITNESS:** Yes, Schinasi. That was done first.

3 The reason IARC decided to do their own was because

4 Schinabi somebody -- Schinasi --

5 **MS. GREENWALD:** Schinasi.

6 **THE WITNESS:** Schinasi.

7 They went ahead and they used the unadjusted for other
8 pesticides. That's all they used. And the IARC group felt
9 that they wanted to see the comparison with the adjusted
10 pesticides to see if it made a difference.

11 And so that's what they did. And that is, indeed, what
12 Chang and Delzell did. Chang and --

13 **THE COURT:** So did IARC use McDuffie, for example?

14 **THE WITNESS:** Yes.

15 **THE COURT:** Because the McDuffie numbers, as I
16 recall, were not adjusted for other pesticides.

17 **THE WITNESS:** That is correct. Chang and Delzell's
18 meta-analysis Model Number 1 is exactly the same as the IARC
19 meta-analysis Model 1 -- or model, period. They only did one.

20 This here, Chang and Delzell did a good job of looking at
21 a sensitivity analysis. How sensitive is the finding to
22 pulling one paper out and putting another paper in, or pulling
23 one evaluation out and putting a different evaluation in from
24 these papers? So you can see how sensitive it is. That's a
25 very common thing with a meta-analysis.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 **THE COURT:** So Chang and Delzell was post-IARC?

2 **THE WITNESS:** That is correct.

3 **MS. GREENWALD:** Your Honor, the Schinasi paper is
4 Exhibit Number 23 in the book, in case you want to know where
5 that is.

6 **BY MS. GREENWALD**

7 **Q.** Anything else on this slide before we move on?

8 **A.** No. I think this is covered.

9 **Q.** Okay. So I'd like you to just walk through Slides 6, 7
10 and 8, which were the slides that -- you were here,
11 Dr. Portier, right, correct, during the various discussions on
12 latency?

13 **A.** Yes, I was.

14 **Q.** Okay. And I believe you put together Slides 6, 7 and 8 to
15 try to explain to the Court your methodology and how you use
16 latency in your evaluations, is that right?

17 **A.** Yes.

18 **Q.** Okay. So if you can walk through those, please?

19 **A.** Thank you.

20 In the last time I testified, in the first *Daubert* round,
21 I tried to explain that there were three different pieces to
22 latency and I wasn't sure I got a good -- I did a good job of
23 it.

24 This is a CDC job, CDC picture from *Principles of*
25 *Epidemiology*, a 1992 book that they put out. They redo it

PORTIER - DIRECT EXAMINATION / GREENWALD

1 every few years, but they continue to use the 1992 picture for
2 this.

3 You start with exposure, and during the time that you're
4 being exposed, you're susceptible to something from that
5 exposure. And it could be right at the beginning or it could
6 happen after five years of exposure, but sooner or later there
7 is a subclinical change that occurs in your body somewhere.
8 This is for any disease. And that subclinical change, you
9 don't know it's there. But it's starting to work its way
10 through.

11 At some point if you had someone looking very carefully at
12 you, you could probably detect these changes, pathologically,
13 but very seldom does that happen unless you're in autopsy.

14 So it does become detectable at that point, but you don't
15 know it's there until you start getting symptoms. When you
16 start getting symptoms, when they get bad enough, you go to the
17 doctor and then you have the time of diagnosis.

18 So latency refers to the stage of susceptibility, stage of
19 subclinical disease and part of the stage of clinical disease.
20 It involves all of those various pieces and parts.

21 **MS. GREENWALD:** Next slide. Thank you.

22 **BY MS. GREENWALD**

23 **Q.** This is also from that same CDC *Principles of Epidemiology*
24 book. These are factors that they say can affect latency. We
25 talked about most of these.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 The important ones here are age at exposure, gender,
2 genetic susceptibility, other cancer risk factors and other
3 medical conditions, like immunosuppression. You talked quite a
4 bit about that.

5 The thing I want to make important with this slide is that
6 there are many different latency patterns that you see in
7 people. It's not just there is a latency. There are people
8 who have a very short exposure period before they get to a very
9 short pre-clinical period, before they get to a very short
10 chronic disease period, and then they die.

11 And you've got people who get exposed for a very long time
12 and nothing happens and then they go through a fast path. And
13 you get people who get exposed, the pre-clinical damage begins,
14 but it takes a very long time before it comes out.

15 So there's many different types of latency here.

16 **THE COURT:** Asbestos would be an example of that last
17 one, right?

18 **THE WITNESS:** The "last one" meaning?

19 **THE COURT:** Exposed. And you have a long period of
20 pre-clinical damage before you're diagnosed.

21 **THE WITNESS:** That's correct.

22 Black lung would be the same. Any of those types of
23 diseases tend to be longer term, but they -- they start and
24 develop over a long period of time. Debilitating you over much
25 of that period.

1 **BY MS. GREENWALD**

2 **Q.** Next slide?

3 **A.** Yes, next slide.

4 Now, this is -- this is entirely -- I understand
5 Mr. Lasker's concern with this. I'm not trying to make any
6 statements whatsoever about NHL in this. This is still dealing
7 with the latency issue. And I just wanted to make a couple
8 points about case-controlled studies versus cohort studies, and
9 so I made up these. They are sort of supposed to look like the
10 De Roos 2003 study and the Agricultural Health Study, but they
11 are just sort of to look like that.

12 In a case-controlled study you have an underlying
13 population. Let's take the De Roos case. They did western
14 Nebraska, all of Iowa and parts of Minnesota, excluding the big
15 cities. It was white males above the age of 19 predominantly,
16 although one of them did above the age of 30. You can actually
17 go, look at the population registries for those states, those
18 areas, and you come up with about 2 million people. So they
19 actually drew non-Hodgkin's lymphoma cases from an underlying
20 population of 2 million people.

21 Now, if -- this is the theoretical part. If NHL occurs in
22 the general population at 15.2 cases per 100,000 population,
23 then -- this is drawing cases for three years: 1995, '96, '97.
24 Each year, just by chance, you would expect to get 274 cases.
25 That's the blue.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 Now, some of those cases would be exposed to glyphosate,
2 but they would just be -- the glyphosate didn't cause it. It's
3 just because people were there, they get exposed. I chose a
4 10 percent exposed here at random, 10 percent of the people
5 were exposed.

6 So you get 274 unexposed randomly occurring NHLs, and
7 30 -- is that 30? -- 30 exposed randomly occurring NHLs. And
8 then I choose here an odds ratio of 1.6 just to illustrate what
9 happens if that's the case. You get 18 additional NHLs that
10 were -- that were not spontaneous, that didn't come from other
11 sources. Those were really due to glyphosate in this
12 theoretical situation.

13 And if you do that, at the end you end up with 55 cancers
14 that are due to the glyphosate, 91 that are exposed to
15 glyphosate but not due to it, and 832 cases that are not
16 exposed to glyphosate at all. So that's how a case-controlled
17 study comes up. The important thing is there is this base
18 population of 2 million people.

19 So you're sampling from a lot of people; some of which
20 have very short latencies, some of which have very long
21 latencies. And because you're pulling from that large
22 population, you're looking at an entire latency picture.

23 If we could now go to the cohort study?

24 This is the same basic thing. But this -- because it's a
25 cohort, it's typically now an occupational exposure. So more

1 of the people are exposed.

2 In this case I chose 50 percent of the people were
3 exposed, but it's the same thing. Every year you get some
4 spontaneous NHL cases. Every year you get some NHL cases that
5 are also spontaneous but exposed to glyphosate. And then you
6 get some that are really done because of glyphosate.

7 And you start accumulating them over the years such that
8 in the end with this example you end up with almost the same
9 number of cases caused by glyphosate in the two examples, the
10 case-control and the cohort study.

11 But the reason this pertains to latency is that in this
12 cohort study it has to go long enough for you to statistically
13 be able to detect an increase in cases due to glyphosate if
14 it's really there.

15 And so many times when we were discussing latency from
16 some of the papers, that -- that's -- latency and lag, and it's
17 a combination of being able to see something versus the other
18 latency, which was the theoretical one I laid out for you.

19 So I just wanted to point out that there are these two
20 different things that play a role in your thinking as you look
21 at these and decide about the latency issue.

22 **THE COURT:** I'm not sure I totally understand what
23 you're saying.

24 I mean, for the cohort study example that you give, the
25 example that you give involves beginning to follow people in

PORTIER - DIRECT EXAMINATION / GREENWALD

1 1995, is that right? And so you start to follow people in '95.
2 But is this example -- is this example a prospective cohort
3 study?

4 **THE WITNESS:** Yes.

5 **THE COURT:** So this is not an example of the cohort
6 study where they start following people in '95, but they ask
7 them about their past exposures?

8 **THE WITNESS:** So I --

9 **THE COURT:** Only looking at their exposures from '95
10 going forward, is that right?

11 **THE WITNESS:** Yes.

12 **THE COURT:** In this example?

13 **THE WITNESS:** Correct.

14 **THE COURT:** Okay. I understand now.

15 **THE WITNESS:** That would be normal.

16 **THE COURT:** Okay.

17 **THE WITNESS:** The reason you do the two different
18 studies, the case-control study, if you recall, is specific to
19 the disease. So you're only looking at NHL patients in the
20 case-control study.

21 The Agriculture Health Study, the reason the AHS funded it
22 is because they are looking at the health of 57,000 farmers and
23 pesticide. So they are looking at everything, cardiovascular
24 disease, et cetera, et cetera. You can't do that in a
25 case-controlled study.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 But a case-controlled study is less expensive. It covers
2 a broader base of people. 2 million population in this one
3 study. And that's got some advantages over the expensive
4 long-term cohort study. It's not one is better than the other.
5 It's they are used in different contexts to do different
6 issues.

7 **Q.** Anything else on that?

8 **A.** So in my evaluation I didn't weight one more than the
9 other. I was looking at what they were trying to tell me more
10 than this study is so much better than that.

11 **Q.** In the cohort study, Dr. Portier, I understand that you
12 picked this 1.6 number and, therefore, it would take 20 years
13 of following them to see all the true NHL, what you believe to
14 be the true NHL due to glyphosate exposure.

15 But is that your opinion, that it would take about 20
16 years if you were to start from day one and look forward?

17 **A.** No. This is strictly theoretical. Clearly, the
18 Agricultural Health Study in the De Roos paper in 2005 had
19 already seen some indications of what was going on in that
20 cohort. So no, I don't believe it would have to go that long.
21 I'm using this strictly for lag. Just strictly for this
22 latency question.

23 **THE COURT:** But why -- I mean, I guess -- I guess
24 I'm -- I'm trying to understand why you're making this point in
25 the context of this case. Because we have one cohort study in

PORTIER - DIRECT EXAMINATION / GREENWALD

1 this case, and it's the AHS study, and it was not -- forgive
2 me. I may be using the terminology wrong, but as I understand
3 it, it's not a prospective cohort study. It's a retrospective
4 cohort study. And they ask about people's exposure to
5 glyphosate and various other potential hazards going way back.

6 And I understand -- one thing I understand was the
7 criticism of that. We've heard a lot about -- from Dr. Ritz,
8 about what the problems are with asking people to look back and
9 the context in which they were asked.

10 But because AHS did look back, I'm having trouble
11 understanding why you are -- what is the relevance of the point
12 that you just made about cohort studies, about prospective
13 cohort studies.

14 **THE WITNESS:** Again -- suppose every year I went back
15 and asked them about their exposure. I couldn't publish a
16 paper every year because I wouldn't have enough cases. It
17 takes a long time before I get enough cases where now I'm
18 comfortable with reporting out what I'm seeing.

19 Okay. So we had a lot of discussion about latency, and
20 other sources that came in and said: Well, the latency for
21 solid cancers is this. And the latency for hemopoietic cancers
22 is something like this. And much of that is drawing from
23 cohort studies, where people have gone into the cohort study
24 and done lagged analyses. But those lagged analyses were not
25 only dealing with low latencies, they are dealing with the

1 potency and how fast you get a big enough cohort to publish on.

2 So we have to be careful in interpreting whether it's a
3 short latency or a long latency in looking at the context in
4 which that term is being given to us. That's what I'm trying
5 to point out here. It's a minor term, a latency. It was
6 something we discussed when I was here last time. I didn't
7 think I got a very good picture of it for you.

8 **BY MS. GREENWALD**

9 **Q.** Let me ask you one quick question before we move on. At
10 baseline in the Agricultural Health Study they excluded NHL,
11 correct?

12 **A.** Included?

13 **Q.** At baseline they excluded NHL, is that correct?

14 **A.** In the -- yes.

15 **Q.** In the AHS?

16 **A.** Anybody who had any type of hemapoietic tumor before was
17 excluded.

18 **Q.** What's the consisting of that?

19 **A.** Of what?

20 **Q.** Of the fact that they excluded the NHL.

21 **A.** They are only following new cases that would appear after
22 this point in time when they started the cohort study.

23 **Q.** So if you can move to the next slide, please?

24 **A.** Again, for completeness, I showed you the ever/never
25 pictures.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 These, for those six core studies that I looked at, three
2 of them had other evaluations. And we've talked about them. I
3 don't necessarily need to go over them with you again and
4 again.

5 But the McDuffie study did less than two years, greater
6 than two years. De Roos did tertiles of exposure. And
7 Ericksson did greater than ten days exposure and greater than
8 ten years of exposure -- exposure starting greater than ten
9 years ago. And what you see here was the patterns you saw from
10 that. The McDuffie study was positive, unadjusted for any
11 other exposures. That's all of McDuffie.

12 Ericksson was positive for their two exposure metrics that
13 are climbing or trying to indicate exposure response.

14 And the De Roos study had nothing on any of those.

15 **Q.** As to this Slide Number 9, the intensity of response in
16 De Roos, that was not specific to glyphosate, is that correct?

17 **A.** The De Roos measure unfortunately was not directly for
18 glyphosate. In order to get the intensity of exposure, they
19 used personal protective equipment as one of the things that
20 changed the intensity. They measured it. But they asked that
21 question only once.

22 And these are pesticide sprayers and farmers. Glyphosate
23 has no -- at the time there are no requirements to protect
24 yourself from glyphosate spraying. There are for others.

25 And so when they just ask the question in general, Do you

1 use PPE, it probably pertains to the other pesticides, not so
2 much to glyphosate. And so the intensity exposure might be a
3 little bit off.

4 **MS. GREENWALD:** Okay. Next slide, please.

5 **BY MS. GREENWALD**

6 **Q.** This is your Bradford Hill criteria analysis, correct?

7 **A.** That is correct.

8 **Q.** Okay. Can you explain why you want this up here now?
9 Since you're going to have it up again.

10 **A.** This is from my expert report. My final conclusions on my
11 Bradford Hill aspects of epidemiological data and related
12 science.

13 I will go through this again at the end. So I'm not going
14 to go through it now, other than to say I -- I have looked at
15 this point, at all of that epidemiology, and at this point from
16 my viewing it, I found the consistency strong and I found the
17 strength of the observed association strong.

18 Part of that is the meta-analysis. Part that is just
19 looking at the multiple studies and seeing that they are indeed
20 all greater or equal to 1 in their mean odds ratio. Seeing
21 very little heterogeneity when we do the overall meta-analysis.
22 They are done by different research teams on different
23 continents. They have different questionnaires. And while
24 there is potential for bias or confounding, there is no obvious
25 bias or confounding in these data.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 So I'll go through all of this when we get to the end.

2 **Q.** So now Slides 11 through 15 are on the Andreotti study and
3 the imputation issues that you foresee. And am I correct that
4 you did a supplemental report on the Andreotti study?

5 **A.** Yes, I did.

6 **Q.** And you were deposed on that as well, is that correct?

7 **A.** Yes, I was.

8 **Q.** If you could walk the Court through 11 through 15, if you
9 could explain why you believe Andreotti is methodologically
10 unsound?

11 **A.** I will assure the Court everything in here is, indeed,
12 part of my opinion and was used in making my opinion. Whether
13 every single slide and graph is the same as it was in the
14 supplemental, that is not the case because I -- I'm presenting
15 it to you as carefully and as in depth as I possibly can.

16 So I'm going to explain two things that I find with the
17 Andreotti study that I find method- -- methodologically
18 unsound. I'm going to stumble over that word every time. I
19 think the evaluations that they did with the imputed exposures
20 are entirely unreliable and shouldn't be used. I believe that
21 the only reliable numbers are a complete case analysis. And
22 I'll explain what that is next.

23 So why are they doing their imputation? You've heard this
24 before. 20,968 participants did not respond to the survey.
25 8 percent had died. 15 percent refused to participate. And

1 14 percent just could not be found.

2 You can do one of two things here. The first thing you
3 can do is say: I don't have those 37 percent people. I don't
4 have their responses. I'm going to throw them out and analyze
5 the data without them.

6 That can produce selection bias, and you have to be
7 careful and look at that. It reduces your cohort size, so it
8 reduces your statistical power.

9 The other thing you can do is imputation. You can use
10 what you know about the exposures at the beginning and from the
11 people who responded and try to guess at what the exposures for
12 the others were.

13 In this case they chose to do a multi-step multiple
14 imputation. Now, multiple imputation is a well-known technique
15 in epidemiology for doing missing values. Multi-step multiple
16 imputation, I couldn't find another case where they used it.
17 So I don't know that it's been used anywhere else, but I want
18 to explain to you what that is.

19 **MS. GREENWALD:** Next slide.

20 **BY MS. GREENWALD**

21 **Q.** So it's a four-step process. In the first step -- I'm
22 going to break these up for you. You've got -- and I'm going
23 to use simpler numbers. 60 percent have responded to both;
24 40 percent have not.

25 So now in the 60 percent that responded to both, I'm going

PORTIER - DIRECT EXAMINATION / GREENWALD

1 to take 20 percent of those people and I'm going to put them
2 off to the side. Because I need -- I need to check my work and
3 see how well I've done. And the remaining people, I create
4 this model and it's a relationship between any pesticide use,
5 the thing I'm trying to predict, and the survey responses from
6 the people who answered both surveys, who are not my 20 percent
7 who are sitting off to the side, and I build this regression
8 model. And it might use things like age, and were you exposed
9 to pesticides back at the first evaluation, and things like
10 that.

11 And then for -- then they will take the 20 percent dataset
12 and they will apply that model to that dataset as if you don't
13 know what the people's answer to whether there were any
14 pesticide exposure or not is. And then you compare it to what
15 they actually did. So you can see how often you got it right
16 and how often you got it wrong.

17 In this case for any pesticide use, 85.68 percent were
18 what they observed in this 20 percent, and they predicted
19 85.25 percent. So the -- you would think the bias here is
20 .43 percent. But that is the minimum bias, and I'll explain
21 that in a minute. But that's how they do the first stage. You
22 predict if you had any pesticide exposure for the people who
23 don't have the -- haven't responded.

24 **MS. GREENWALD:** Okay. Next slide.

1 **BY MS. GREENWALD**

2 **Q.** This is almost the same thing. Again, 20 percent are
3 pulled off to the side. Now you would model the relationship
4 between pesticide use ever/never for each pesticide using the
5 same process, survey responses and logistic regression for the
6 80 percent that I had from the 60 percent that responded to
7 both. I apply the model to the holdout dataset.

8 This is a little more complicated because this is multiple
9 imputation. So they apply the model to the holdout dataset and
10 they get a probability that each individual used each
11 pesticide. So I may get a probability of .9. You may get a
12 probability of .1. But I -- for me to do my regression
13 analysis, my logistic regression analysis and understand what's
14 going on here, I have to turn that into a: Yes, you were
15 exposed; or: No, you were not exposed.

16 So they then flip a weighted coin. Let's put it that way.
17 If you're at 90 percent and they flip this weighted coin, which
18 half of the time -- 90 percent of the time is heads, 10 percent
19 of the time is tails. If they flip that coin and it's heads,
20 then you're going to have the -- you're going to have the
21 exposure. If it's tails, you're in the 1 percent -- 10 percent
22 chance group that even though you had a high probability, we're
23 going to tell you you didn't have the exposure.

24 They do that five times. So that -- you now have five
25 datasets for each person. Then they average those datasets to

PORTIER - DIRECT EXAMINATION / GREENWALD

1 come up with -- they analyze each one separately. They get an
2 evaluation. And they give you the average of your evaluations.
3 That's why it's multiple imputation. You try to avoid the
4 problem of just doing it once and getting a randomly weird
5 answer.

6 So they applied it to the 20 percent holdout people. And
7 for glyphosate use there they observed 52.73 percent had
8 glyphosate exposure, but they only predicted 45.42 percent. So
9 now the minimum imputation bias is 7.31 percent. That means if
10 this holds in the larger dataset, 7.3 percent of the people who
11 really are exposed are being put into the unexposed group.

12 So you have misclassification bias, not just -- not
13 undifferentiated misclassification error. You now have
14 misclassification bias because you are specifically moving
15 people only in one direction.

16 They also calculated this thing called a Brier score. And
17 I got a lot of questions in the deposition about Brier scores
18 and I felt I really hadn't done a very good job of noting what
19 Brier scores were, so I spent more time on it.

20 A Brier score is -- for the 20 percent that I held out,
21 each person in that group has either glyphosate exposure or
22 does not have glyphosate exposure. But they also predicted a
23 probability that they had glyphosate exposure or not. And so
24 what they do with a Brier score is they subtract either one --
25 I'm sorry.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 You've got this probability. If you had the tumor, they
2 subtract -- the exposure, I'm sorry. They subtract that
3 probability from one. If you didn't have the exposure, they
4 subtract that probability from zero. They do that for every
5 person. Each time they do that, they raise that to the second
6 power, they add them all together and take the mean. And
7 that's what a Brier score is.

8 Now, let me give you an example of a Brier score. If --
9 if instead of going through all this fancy modeling, I give
10 every person .5 chance of having the exposure. So right in the
11 middle. Completely uninformative. Then I would be subtracting
12 .5 from 1, or I would be subtracting .5 from zero, squaring
13 that, which is .25 in every case. Adding it all up and
14 averaging it and I would get .25. That is a random -- a
15 perfectly random Brier score.

16 Follow?

17 **THE COURT:** I'm not sure. But I'm starting to think
18 maybe the point of this is to not be able to follow it.

19 **THE WITNESS:** No. I'm sorry. That wasn't my point.

20 It's hard to explain. One thing I was asked in deposition
21 was: Is this Brier score, .225, bad or good?

22 And Brier scores typically, you want them to be small.
23 The smaller the Brier score, the better you are in your
24 prediction.

25 But I couldn't tell if this was -- this .225 was really

PORTIER - DIRECT EXAMINATION / GREENWALD

1 bad, marginally bad, what is it? The .25 for the random case
2 and .225 are very close to each other. So you've only done
3 slightly better than just giving everybody a 50 percent
4 probability of being exposed. That was the point.

5 Good?

6 **MS. GREENWALD:** Okay. Next slide.

7 **A.** Yes.

8 There are two more steps in the multiple imputation. Once
9 you've assigned somebody exposure, you have to figure out how
10 many days per use they are going to be exposed and they use
11 something called "stratified random sampling" to generate that.
12 And while I have concerns about that, I have less concerns.

13 So I -- I will explain it to you, if you want to know what
14 it is, but it seems to be okay.

15 And for first year of use, they also use stratified random
16 sampling. A little more complicated this time. But, again, I
17 don't have a lot of concerns with that. I have much more
18 concern with yes, no exposure.

19 **MS. GREENWALD:** Next slide.

20 **A.** This is data straight out of Heltshe.

21 **BY MS. GREENWALD**

22 **Q.** So what is the Heltshe paper, just for context for the
23 Court, please?

24 **A.** I was going to go there.

25 The Heltshe paper is the paper where the Agricultural

PORTIER - DIRECT EXAMINATION / GREENWALD

1 Health Study puts out their imputation approach. They apply it
2 to the dataset and show you how well it worked. So that's what
3 all of that holdout dataset was and everything else.

4 And if you remember, I told you for glyphosate they
5 observed 5. -- 52.73 percent. Predicted was 45.42 percent.
6 The difference between those is minus .731 percent. And this
7 little dot on the right-hand side all the way at the bottom
8 circled with a circle and glyphosate on top is that data point.

9 What I have on the X-axis is the percentage of
10 participants with glyphosate exposure and on the Y-axis this
11 bias term, which is the difference between the observed and the
12 predicted for glyphosate.

13 **THE COURT:** I take it that all these other dots, all
14 these other points, are all of the other chemicals that AHS
15 looked at?

16 **THE WITNESS:** That's correct. And the X-axis is
17 labeled incorrectly when I go to the others because it's the
18 percentage of participants with at exposure. Okay? You can
19 see atrazine, 2,4-D and glyphosate clearly have the biggest
20 bias terms, and the others have much smaller numbers in their
21 bias.

22 The thing to note with this slide is that if, indeed, this
23 data were unbiased, the dots would go up and down on top of the
24 line that's running horizontal. That's zero. They would just
25 be above and below it at random.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 But what you have is a systematic bias. The line that
2 I've drawn through the data shows the curve. And so what
3 happens is the bias gets bigger, the larger the exposure. And
4 since glyphosate is the largest exposure in this dataset, it's
5 got the biggest bias.

6 Okay. So what does that mean in terms of exposure
7 misclassification?

8 If we can go to the next slide?

9 I tried to explain this to several people and they didn't
10 understand it.

11 **BY MS. GREENWALD**

12 **Q.** I was one of them.

13 **A.** So I'm going to do something simple, a little cartoon.
14 So here I've got ten people and they are observed to have no
15 glyphosate exposure or glyphosate exposure, five observed
16 without and five observed with.

17 I go to predict the exposure using my imputation method.
18 If the imputation method is perfect, really good, I get the
19 five unexposed exactly right and I get the five exposed exactly
20 right. So that's perfect agreement. Okay?

21 Now, I can't do this with 47,000 people, but I can do it
22 in a table.

23 And if I could have the next slide?

24 And the table looks like this. You have the observed
25 exposure --

PORTIER - DIRECT EXAMINATION / GREENWALD

1 Q. Slide 19. I'm sorry, I just want to make sure we are on
2 the right slide. Slide 19.

3 THE COURT: 19?

4 MS. GREENWALD: Yes. 18 was just the perfect
5 agreement box.

6 BY MS. GREENWALD

7 Q. Sorry, Chris.

8 A. You have got observed exposure; yes, no. It's 50 percent.
9 50 percent were exposed; 50 percent were not posted.

10 You have the imputed exposure; yes, no. 50 percent were
11 yes; 50 percent were no.

12 And every "yes" that was observed is also a "yes" for
13 imputed. And every "no" that was observed is also a "no" for
14 imputed. Perfect agreement.

15 Next slide.

16 Now, let's look at what happens when it doesn't work. So,
17 again, we've got observed five with, five without exposure. My
18 predicted exposure for the unexposed, I predicted three of
19 those to be exposed and two to be unexposed. So I missed
20 three. And for the exposed, I missed two. I said they were
21 not exposed when in truth they were exposed.

22 Next slide.

23 So this is some agreement. I -- I misspecified five of
24 the people basically. And this table looks as follows.

25 There. So there is the -- whatever slide we are on.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 Q. 22.

2 A. Now, the thing here that's interesting with this table, so
3 you have observed exposure 50 percent yes, observed exposure
4 50 percent no. The imputation exposure is 60 percent yes and
5 40 percent no.

6 So you think they only missed 10 percent. But, of course,
7 that's not true. For the yes, the truly observed yes,
8 20 percent were predicted as no. And for the truly observed
9 no, 30 percent were predicted as yes. So you actually got five
10 of the people wrong, which is 50 percent were actually wrong.
11 Even though the difference between the margins, the 50/50,
12 60/40 is only 10 percent.

13 JUDGE PETROU: And does it matter at all that you've
14 got two wrong on each side of it? We have been having
15 endless -- not endless. We have been having extensive
16 conversations regarding differential bias, regarding
17 non-clinical bias, and that question pops in my head as you're
18 going through this.

19 THE WITNESS: Exactly where I'm going next. But I'll
20 explain in it this picture, because it's simpler with this
21 picture.

22 A. I've got three on the no, yes; two on the yes, no. So
23 that's approximately the same. So this is about -- this would
24 be pretty much non-differential exposure misclassification.
25 I'm flipping them back and forth. And this would work you to

PORTIER - DIRECT EXAMINATION / GREENWALD

1 the null.

2 Next slide.

3 Okay. Let's look at the exposure to any pesticide case
4 from Heltsh, et al. And I don't know why this came up this
5 way.

6 Could you go to the next slide?

7 Okay. We'll do it here. Sorry. That was supposed to be
8 also circles and stuff, but that's okay. We'll just do it this
9 way.

10 If you remember, they told us that there was 85.68 true
11 observed exposure, and 85.25 percent imputed exposure as to
12 yes. And that leaves 14.75 percent for imputed and
13 14.32 percent were observed for the nos.

14 Now, the best-case scenario is where virtually all of the
15 observed yeses are imputed yeses. And we get 85.25 percent in
16 that hole. And then all of the observed nos are predicted nos.
17 Hopefully, as many as you can get. And that tells me that this
18 one block up here, the observed yeses that are imputed as no,
19 has to be .43 percent. And if I do it that way, I get exactly
20 the numbers they gave me: 85.68 and 85.25.

21 But that's just one case. I can get the exact same
22 numbers by doing it a different way. The worst-case, I'm going
23 to put as much weight as I can in the observed nos being
24 imputed yes and the observed yeses being imputed nos. And this
25 is the worst-case here.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 Now, in this case you've got 14.32 percent observed yeses
2 that are predicted as no and 13.89 observed nos that are
3 predicted as yes. So when you add those two numbers up, that's
4 28 percent error, not .43 percent error. 28 percent. But both
5 of these numbers, these percentages, are approximately equal.
6 This is going to be non-differential exposure
7 misclassification, almost certainly.

8 Okay? Now let's look at glyphosate, which is the next
9 slide. Here we go.

10 So same thing for glyphosate. 52.73 percent observed as
11 having glyphosate exposure. 45.42 percent predicted. Fill out
12 the rest of those edges of this box. Now let's make it as good
13 as it possibly can be.

14 Again, you force as many as you can into yes observed, yes
15 imputed, as many as you can into no observed and no imputed.
16 And you end up with only 7.31 percent error in the observed
17 that are imputed to be no. That is non-differential exposure
18 misclassification. 7.31 percent are being put in the wrong
19 place. And only one way, not both ways.

20 **THE COURT:** So it's non-differential or differential?

21 **THE WITNESS:** It's differential.

22 **A.** But what's the worst-case?

23 Next slide.

24 Again, same numbers at the margins, but now you can see
25 the yeses that are really imputed as nos and the nos that are

1 imputed yeses are much bigger. And you've got roughly
2 98 percent error here.

3 Now, I don't believe that's what they had. I really
4 don't. It's somewhere in between here. I'm pretty certain
5 it's not the best case and I'm pretty certain it's not the
6 worst case. If it were the worst case, they shouldn't have
7 even published the paper. But it's somewhere in between. I
8 don't know what it is.

9 But even still, the difference in the yeses that are
10 imputed nos and the nos that are imputed yes is still
11 7.31 percent. And so you still have differential exposure
12 misclassification and you could have a lot of non-differential
13 exposure misclassification error.

14 Next slide.

15 So that covers the imputation, so if you have any
16 questions about the imputation, we should do them now.

17 **JUDGE PETROU:** Can we go back -- let's actually go
18 back. In my head I'm going back to the part where you had all
19 the numbers underneath the line where you were saying that if
20 it were random, there would be some above and some below, and
21 the most severe one was glyphosate.

22 So did I read that chart correctly or not to basically say
23 that it's your opinion that this multi-step imputation process
24 led to a differential exposure misclassification, all of which
25 skewed on the side of fewer people who actually had glyphosate

PORTIER - DIRECT EXAMINATION / GREENWALD

1 exposure being shown as having glyphosate exposure?

2 **THE WITNESS:** That's correct. But the bigger problem
3 is the people that actually had glyphosate exposure are in the
4 control group.

5 **JUDGE PETROU:** Got it.

6 **THE WITNESS:** And the unexposed population.

7 **MS. GREENWALD:** I didn't know if that was the slide
8 you wanted up.

9 **JUDGE PETROU:** I don't need it up.

10 **MS. GREENWALD:** Okay. All right. Thank you.

11 **THE COURT:** So this looks like your last slide on
12 Andreotti.

13 **MS. GREENWALD:** Correct.

14 **THE COURT:** Do you want to go through this and then
15 we'll take a break?

16 **MS. GREENWALD:** Sure.

17 **BY MS. GREENWALD**

18 **Q.** So we're on Slide 26.

19 **A.** So there were other problems with the Andreotti study. As
20 mentioned by Dr. Ritz, the exposed responses for the lifetime
21 days and intensity weighted days were compared to the controls,
22 rather than to the lowest quartile. And in the -- if you
23 recall in the De Roos 2005 study, they compared to the lowest
24 quartile because -- tertile in that case, because they felt the
25 unexposed were different than the exposed groups in key

1 characteristics.

2 And so they -- they wanted to avoid having a problem by
3 going to the unexposed group when they were doing
4 dose-response.

5 If they had done that in this case -- let's look at it.
6 For lifetime days, they show an odds ratio of in quartile 1,
7 .76; quartile 2, .87; quartile 3, .85; and quartile 4, .80.

8 Now, it's a funny thing about these odds ratios. You've
9 got -- you've got something on the top and something on the
10 bottom. The bottom is the referent population. The top is the
11 group that you're comparing it to. So, for example, to get the
12 odds ratio for quartile 1, you're looking at the odds in
13 quartile 1 of the exposure, divided by the odds in quartile 1
14 of the unexposed group.

15 Now, if I take the odds of quartile 1 -- of quartile 2 and
16 divide it by the odds ratio of quartile 1, I cancel out the
17 control odds ratios and now I'm looking at an estimate of the
18 odds ratio where I'm using quartile 1 as the referent
19 population. I can't do the confidence bounds that way. It's
20 much too complicated. I can't actually do it. But I can show
21 you what the middle number would look like.

22 And when you do that you now see an odds ratio, the
23 modified odds ratio -- that's what MOR is -- of 1.1, 1.12 and
24 1.05.

25 Now, that partly might reflect upon the bias, the exposure

PORTIER - DIRECT EXAMINATION / GREENWALD

1 bias that is caused by the imputation, but it's also -- there
2 was considerable difference between this control group and the
3 exposed group in this population as well.

4 And so I would have liked to see them do the same thing
5 that De Roos did in 2005. I think it would have given us a
6 completely different picture.

7 The same is true with the intensity weighted odds ratios,
8 so I just did the exact same thing there. We talked about
9 the -- you talked with Dr. Ritz and others about the
10 dramatically increasing use from 2000 to 2010 of glyphosate in
11 these populations in the United States. I would have liked to
12 see the analysis using the incidents up until 2005, not all the
13 way to 2013.

14 Now, they did give me that number. They gave me one
15 number. The 1.04 in the highest quartile for the intensity
16 weighted value was 1.04 with a range of .7 to 1.57. This is
17 the complete case. This is where they threw out everybody who
18 didn't have exposures, that didn't answer the second
19 questionnaire, and just worked with the ones who did answer the
20 second questionnaire.

21 There was a lot of discussion about undifferentiated
22 exposure misclassifications pulling you to the null. If this
23 number were the correct number -- I don't know the rest of
24 them. I can't tell you anything else. But it's above 1 and
25 you're pulling it to the null. That's exactly what I would

PORTIER - DIRECT EXAMINATION / GREENWALD

1 expect to see.

2 So that entire business about the Andreotti paper being --
3 having odds ratios below 1, I think is partially due to the
4 bias in the imputation and I think it's partially due to, as
5 Dr. Ritz said, potential unused confounders, potential for
6 confounders that you did not put into the model. I think both
7 of those play a potential role in bringing it down to 1.

8 I think the exposure misclassification in this is severe.
9 I would have expected that the odds ratios from this would all
10 be near 1. I see exactly what I expected in this study, and
11 it's null. I think it was going to be null, given all the
12 problems with it. And so it doesn't enter into -- with a lot
13 of weight into my overall evaluation. It gave me exactly what
14 I expected to see from a study with these problems.

15 Okay? And I think that's it for Andreotti.

16 **MS. GREENWALD:** It's break time.

17 **THE COURT:** Okay. Why don't we take a ten-minute
18 break? We'll be back at ten minutes to the hour.

19 (Whereupon there was a recess in the proceedings
20 from 10:36 a.m. until 10:53 a.m.)

21 **BY MS. GREENWALD**

22 **Q.** I just have a couple of follow-up and then I want to talk
23 a little bit about Slide 27.

24 Dr. Portier, if you could look at De Roos 2005, which is
25 up on the screen? It's also Exhibit No. 23 in your notebook.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 Oh, your screen is not working?

2 It was. Okay.

3 **A.** There it is.

4 **Q.** Now, you testified earlier before the break about the
5 follow-up on exposed and unexposed group, correct?

6 **A.** Correct.

7 **Q.** Can you explain what you meant by that using De Roos 2005
8 in Table 1?

9 **A.** Yes. This is -- so there were a lot of things in the
10 survey questionnaire that are asked about: State of residence,
11 age, sex, et cetera.

12 This table is all of the -- or some of the characteristics
13 from the applicators in the AHS study that were significantly
14 different between the most exposed group and the never exposed
15 group. And this was the justification that De Roos gave for
16 not using the control, the never exposed population, in their
17 evaluation of intensity and length of exposure, and instead
18 using the low exposure group. Because it looks more like the
19 high exposure group than the never exposed group.

20 And so I just wanted to illustrate that it's not just a
21 few things. There are a number of key characteristics here
22 that could play an important role in that type of comparison:
23 Use of other pesticides, alcohol use, smoking use. They are
24 all different between these groups.

25 **JUDGE PETROU:** I'm sorry, because I should understand

PORTIER - DIRECT EXAMINATION / GREENWALD

1 this and I don't.

2 What was the justification, therefore, for using the
3 lowest exposed group as opposed to the never exposed?

4 What are the differences that we see within those two
5 groups that would lead them to say: We're going to use the low
6 exposed rather than the control never exposed?

7 **THE WITNESS:** Let's just take one, for example, 2,4-D
8 use. In the control population, 53.3 percent used 2,4-D. In
9 the lowest exposed 75.2, in the highest exposed 85.1. The
10 lowest and the highest are much closer to each other than that
11 than is the controls, the unexposed.

12 Beyond high school, 31.3 percent in the unexposed, 42.1,
13 49.9. That's the pattern that they saw that concerned them.
14 It looked like the exposed groups were much more closely
15 related to each other than the unexposed in things that should
16 not matter. And in doing these types of studies, you want
17 those things that should not matter to be about the same in all
18 the groups. That way it makes your assessment of the exposure
19 response stronger because everything else is about the same.

20 **JUDGE PETROU:** And things like the use or exposure to
21 2,4-D should not matter?

22 I mean, you just said that what you want is that -- the
23 things that do not matter to be similar in the group that
24 you're using as the control, which is not necessarily the
25 control group, and the high exposed group, right? And we went

PORTIER - DIRECT EXAMINATION / GREENWALD

1 through a number of factors, including high school degrees and
2 all the rest of that.

3 But the first one that you pointed out was 2,4-D. Is
4 2,4-D in that group of things that should not matter?

5 **THE WITNESS:** I guess I didn't explain it right. In
6 order to compare apples and oranges -- let's take an example.
7 Suppose the -- 2,4-D is not a good one.

8 What you would like to see in a laboratory study, when I
9 was talking about the toxicology study, we control everything
10 except dose. And the reason is that makes it a very clean
11 hypothesis.

12 In an epi study, you, of course, can't control everything,
13 but you match your cases to your controls. You try to make
14 them as similar as possible so that when you make comparisons
15 across the thing you're interested in, glyphosate, those other
16 things aren't confounding it. Even if you take them into
17 effect --

18 **JUDGE PETROU:** Or if they are confounding it, it's
19 equally on both sides of the table?

20 **THE WITNESS:** Yeah. And if you take them into effect
21 in confounding -- if you see such stark differences across
22 multiple, multiple characteristics, then you start worrying
23 about are there things I missed that are messing up my
24 unexposed group. You -- it's not -- it's not unheard of to use
25 the lowest exposure group as your referent group when doing

1 these types of analysis.

2 **JUDGE PETROU:** And when you looked at this chart,
3 it's your opinion that it was appropriate here to use the
4 lowest exposed group as the control group essentially?

5 **THE WITNESS:** Yes.

6 **BY MS. GREENWALD**

7 **Q.** So I want to ask you a question about AHS again.

8 You testified that there could be potential selection bias
9 on the complete response in the AHS, is that right? Did you
10 not hear me? I'm sorry.

11 **A.** Yes.

12 **Q.** Maybe I could ask it another way.

13 What was the significance to you in the -- in the
14 Agricultural Health Study not allowing people who had NHL into
15 the study?

16 **A.** Okay. So when you do a cohort study like this one, a
17 prospective study, you start at one point and start following
18 people who get the disease.

19 Now, let's say there was exposure prior to the start of
20 the study, so people have been in this industry for 15 years.
21 Some of them could have been exposed for 15 years.

22 Some of those people might have gotten NHL and died
23 because of that exposure, or quit working. They are no longer
24 in the field.

25 So by the time you start the study, you've selected out a

PORTIER - DIRECT EXAMINATION / GREENWALD

1 subpopulation of those who would have been susceptible to the
2 NHL. So you've got a population which already has some degree
3 of selection on it in -- that's directly related to the thing
4 you're interested in.

5 So you generally don't go back and use their past exposure
6 history to do the analysis of the current cohort study. You
7 move it forward from day one, hoping that any selection bias
8 that came in because you selected people, as time goes on
9 disappears and you -- you're getting a real good picture of
10 what's happening in this population.

11 **Q.** One last clean-up question.

12 Can you explain why the lag issue for the Agricultural
13 Health Studies is -- is not solved by the retrospective
14 history?

15 **A.** I -- I guess I thought I did.

16 **Q.** I think -- well, I just want to make sure there isn't
17 anything you want to add to that. I mean, I realize you just
18 somewhat addressed that?

19 **A.** If I was asked the question: Can you design a cohort
20 study, prospective study, where you take a retrospective
21 history of somebody's exposure and we go five or six or seven
22 years, and then let's look at it. It still has that selection
23 bias issue, but my -- my response would be: No, I do a
24 case-controlled study. It's more powerful. It's less
25 expensive. I can do it faster. It would be the more

PORTIER - DIRECT EXAMINATION / GREENWALD

1 appropriate study when I have to rely upon past historical
2 exposure.

3 **Q.** Okay. So by the way, the slide deck is Exhibit No. 461.

4 **MS. GREENWALD:** I think, Your Honors, we're going to
5 skip past Slide Number 27, which is the NAPP, because it's not
6 in his report and it's not in his supplemental deposition
7 either. So we're going to just skip that. We'll replace that.

8 We'll take that slide out in the final version for the
9 Court and we're going to move on to Slide 28.

10 **BY MS. GREENWALD**

11 **Q.** So what does this summary plot show?

12 **A.** We should take this one out and the next one as well.

13 Well, we will take the NAPP part out of it.

14 **Q.** Right. Because I think the plot is still relevant, and
15 then we'll replace that.

16 **A.** So the -- I've added the Andreotti ever/never to this
17 picture. And they didn't provide it, so I actually can't add
18 it to the picture. So it doesn't change the plot summary of
19 the ever/never exposures.

20 The next slide.

21 So now looking at the Andreotti study within the context
22 of all of the other studies looking at exposure, time,
23 response, type, pictures. You can see it clearly is much lower
24 in terms of the response, the odds ratios, than the other
25 studies were, and some below, some above the 1 odds ratio.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 But as I said before, this is exactly what I would expect
2 to see. Because of the flaws of the study, I would expect to
3 see uniform odds ratios and because of that, and it's given me
4 what I expected, it doesn't change my opinion. It doesn't
5 contribute to a change in my opinion.

6 **Q.** When you say it doesn't show what you expected -- sorry,
7 that it shows what you expected, do you mean by that that
8 because you expected it to have the results it has and you
9 expected it to -- to bias the numbers to the null, that you do
10 not believe that it's relevant to your ultimate overall opinion
11 in this case?

12 **A.** So, yes. The numbers were appearing biased towards the
13 null, potentially even below the null. It's still important to
14 my decision. I want to be clear. This is -- this is a good
15 study. Regretfully, a bad exposure metric, but it's a good
16 study. But the problems with the study in the last round make
17 it have such poor statistical power you would expect it to be
18 odds ratios around 1. And that's exactly what you're seeing.

19 So it's not that I'm discounting the study or throwing it
20 away. I'm evaluating all aspects of the study, seeing what I
21 expect to see, which is nothing, and that has no change on what
22 I already have concluded.

23 **Q.** And that comports with your Slide Number 1 on how you
24 evaluate causality, is that right?

25 **A.** That's correct.

1 **MS. GREENWALD:** And so if you can go to the last
2 slide?

3 **BY MS. GREENWALD**

4 **Q.** You had this up before on Bradford Hill, and you wanted to
5 look at it again and explain to the Court how this factored
6 into your overall causality opinion.

7 **A.** So after looking at Andreotti and everything else, but in
8 looking at Andreotti, I was willing to reconsider Table 18, but
9 nothing changed. This is from my expert report.

10 I still believe the observations in the epidemiology
11 studies are consistent.

12 I believe that the strength of the observed association is
13 high enough to warrant a strong opinion there, mostly driven by
14 the meta-analyses.

15 The biological plausibility is very strong. There is no
16 doubt about it. Multiple cancers, multiple species, not due to
17 chance, increased risk of rare tumors; all the things that make
18 this a very strong category.

19 Biological gradient, that's moderate. The De Roos 2005
20 study doesn't see much of a gradient. The other two studies
21 that did this do. That's not a lot of information to drive a
22 statement about biological gradient, so I gave that a moderate.

23 The temporal relationship of the observed association is,
24 of course, satisfied. The exposure came before the cancers.

25 Specificity is not needed because NHL has many other

PORTIER - DIRECT EXAMINATION / GREENWALD

1 causes.

2 The coherence here is strong. "Coherence" means can it
3 get into the body? Is it --

4 **THE COURT:** Can I have you rewind to specificity for
5 a minute?

6 **THE WITNESS:** Yes.

7 **THE COURT:** So what you say in this summary is I
8 think different from what you said in your expert report on
9 specificity. In your expert report you said there are other
10 causes of NHL, so this group of cancers is not specific to
11 glyphosate. There is little support for specificity.

12 So here you say not needed. Here you say -- in your
13 expert report you say there is a little support for.

14 So I have a couple questions about that. The first
15 question is: Are you defining specificity correctly in the
16 apparently, no.

17 And so she says that specificity -- if I recall correctly,
18 she says that specificity is actually strong.

19 **THE WITNESS:** If we could go to my expert report?

20 **THE COURT:** Page 75.

21 **MS. GREENWALD:** It's Tab 162.

22 **THE WITNESS:** 162. I would like to look at the
23 definition of "specificity."

24 **THE COURT:** Sure.

25 **THE WITNESS:** It is -- here. Page 5. Take a minute

PORTIER - DIRECT EXAMINATION / GREENWALD

1 to look at what I wrote.

2 **THE COURT:** I'll get there as well. Page 5?

3 **THE WITNESS:** Yes. Bottom of Page 5.

4 (Brief pause.)

5 **THE WITNESS:** To the best of my knowledge, that is
6 the definition I used. And as far as I understood the Hill
7 criteria, that is the definition he was looking at.

8 If you see a disease that only one chemical, one exposure,
9 seems to cause, mesothelioma and asbestos is a good example of
10 that, then it's very, very specific to that disease. And so
11 causality for that disease is more strongly established because
12 it's the only cause you have.

13 **THE COURT:** So if Dr. Ritz says that there is strong
14 specificity because in the studies of glyphosate there is no
15 association shown with other types of cancer, there are only
16 associations shown with NHL, she's using the incorrect
17 definition of specificity within the Bradford Hill criteria.

18 **THE WITNESS:** I would have to look at her -- her
19 report and go through the definition that she had in the
20 report.

21 **THE COURT:** Okay. Forget about Dr. Ritz. If I
22 articulated the specificity criterion in that way, am I
23 articulating it incorrectly?

24 **THE WITNESS:** I -- I would -- I would say probably
25 yes. The issue with -- the criteria are intended to walk you

PORTIER - DIRECT EXAMINATION / GREENWALD

1 through what would add to a causality argument.

2 So if I have benzene, and benzene exposure causes five
3 separate cancers, and all of a sudden I'm looking at benzene
4 and it causes a sixth cancer, the fact that it only causes the
5 one cancer doesn't give me nearly as much added weight as
6 saying: Look, it causes five other cancers. This is one
7 that's like it. So I would add more weight.

8 So that definition, as you've expressed it, would not be
9 my definition of specificity.

10 My definition is, if it's really specific, if NHL has no
11 other causes and all of a sudden you've got one, I don't have
12 to worry about confounders, I don't have to worry about other
13 things, because I don't know any. It strengthens the finding.

14 **THE COURT:** Okay.

15 **BY MS. GREENWALD**

16 **Q.** Would the example that the Court gave fall under
17 consistency -- would it fit under the category of consistency
18 of the observed association of Bradford Hill?

19 **A.** Partially. I think partially.

20 **THE COURT:** Why? I mean, that's -- I mean, I thought
21 that was about multiple studies.

22 **THE WITNESS:** But, again, you're -- multiple studies,
23 but also all of them giving you the same result, the same
24 direction. And even if -- if they all deal with -- suppose I
25 have five cohort studies and the only thing I saw in the cohort

PORTIER - DIRECT EXAMINATION / GREENWALD

1 study was NHL in all five. It's multiple studies pointing to
2 the same thing. That's -- that's as close as I can come.

3 **THE COURT:** It's multiple studies pointing to the
4 same thing, but it's not -- that doesn't --

5 **THE WITNESS:** Only thing.

6 **THE COURT:** -- that doesn't incorporate the concept
7 of showing an association between the substance and NHL and not
8 showing an association between the substance and other cancers,
9 right?

10 **THE WITNESS:** Yeah. That would be right.

11 **THE COURT:** So are -- is that to say that it's not
12 particularly useful to learn that, you know, these studies are
13 showing no association between glyphosate and other cancers
14 while they are showing association, potentially showing
15 association between glyphosate and NHL?

16 **THE WITNESS:** I haven't thought about it. I would
17 really have to sit back and give that some thought.

18 **THE COURT:** Okay. Thanks.

19 **BY MS. GREENWALD**

20 **Q.** So Slide Number 30, is that the same table that you have
21 on Page 77 of your report? If you can turn to Page 77,
22 Table 18?

23 **A.** Yes.

24 **Q.** Okay. If you can turn to Page 78, please.

25 (Witness complied.)

1 Q. Can you read what you -- the section that starts -- the
2 paragraph that starts "In my opinion," please. It's the next
3 page after 77.

4 A. (As read)

5 "In my opinion, glyphosate probably causes NHL
6 and given the human, animal and experimental evidence
7 I assert that to a reasonable degree of scientific
8 certainty the probability that glyphosate causes NHL
9 is high."

10 Q. What do you mean by "high"?

11 A. That's -- that's -- it's a hard question. In my own
12 opinion of what I mean by "high," 100 percent would be
13 absolutely undeniably certain it causes glyphosate -- it causes
14 NHL. 50 percent would be, ahh, maybe. I would give high 90
15 percent chance. So this sits at about 90 percent in my scale.

16 Q. And when you say "your scale," is that based on, again,
17 going back to Slide Number 1 in your evaluation of causality
18 and how you've looked at the totality of the evidence here?

19 A. Yes, of course. In any evaluation like this, there has to
20 be some statement at the end that summarizes the degree to
21 which you believe there is a relationship between this and NHL.
22 There is a variety of scales and methods that are used, most of
23 them are about the same as what I'm saying here. Words like
24 "very strong," "strong," "high probability." Those are typical
25 things to use.

PORTIER - DIRECT EXAMINATION / GREENWALD

1 Q. Okay. And when you are reaching this conclusion on
2 Page 78, you're using all the different factors, such as
3 epidemiology -- all the evidence, I should say, and the data,
4 epidemiology toxicology and mechanistic data in reaching that
5 conclusion?

6 A. Yes. Absolutely.

7 MS. GREENWALD: I don't have any other questions,
8 Your Honors.

9 (Discussion held off the record between plaintiff's
10 counsel.)

11 MS. GREENWALD: I might. I'm sorry.

12 THE WITNESS: Your Honor, if I could respond to one
13 of your questions we didn't get back to?

14 THE COURT: Sure. Why don't you give them a chance
15 to confer real quick and then you're free to do that?

16 (Brief pause.)

17 BY MS. GREENWALD

18 Q. An important question.

19 So just to clarify one thing on specificity. Now, you
20 stated -- while you stated that non-Hodgkin's lymphoma is not
21 specific to glyphosate, we understand that, is it your opinion
22 that glyphosate exposure is specific to NHL? In other words,
23 the reverse of that?

24 A. I have to go through my head and see if I've really taken
25 the time to look at all the literature on this for glyphosate,

1 the broader array.

2 Yeah. I would say I don't see any other strong cancer out
3 there that appears to be associated with glyphosate in my
4 experience in looking at this literature.

5 Q. Other than non-Hodgkin's lymphoma?

6 A. Other than NHL. Well --

7 Q. So you wanted to --

8 A. -- that's not totally correct because -- that's changed.
9 The classification is now NHL.

10 Q. It's multiple myeloma?

11 A. Yes, NHL.

12 Q. So multiple myeloma is now in Lymphoma Society considered
13 a subset -- a subpart, subset non-Hodgkin's lymphoma, correct?

14 A. Yeah, under ICD-10.

15 Q. Okay. You wanted to clarify something.

16 A. You asked me to look at Page 75 and the statement "there
17 is little support for specificity," under "specificity."
18 That's my jargon for saying it's not needed. It doesn't add to
19 the causation argument. I just wanted to close that loop.

20 **THE COURT:** One question I have.

21 So I was going back through your testimony from last time,
22 and you talked a little bit about the IARC's conclusion, the
23 IARC classification. And you make the point that it's
24 important to the folks at IARC that people know that, you know,
25 if IARC says something is a probable carcinogen, it does not

1 follow that if you are exposed to it, you will probably get
2 cancer. Right?

3 That -- and just to use -- you look like you had a little
4 doubt on your face, so I'll just read exactly what you said so
5 there is no ambiguity. You said:

6 "What IARC is saying is that when they" -- and by
7 the way, I'm on Page 542 now.

8 "What IARC is saying that when they say it's a
9 probable human carcinogen, they don't want the public
10 to think that means if you're exposed to glyphosate,
11 you'll probably get cancer. That's not what it
12 means," is what you said.

13 "It means that the literature is so strong that
14 we think it's probable that humans will get cancer at
15 some level of exposures to glyphosate."

16 That's what you said in your testimony.

17 And we know from the material that the IARC puts out,
18 right, from the preamble, and also from the paper that it put
19 out in response to all of Monsanto's attacks on its
20 classification, that, you know, IARC draws this firm
21 distinction between hazard, assessment and risk assessment,
22 right, and explains that what it does is hazard assessment.

23 You are offering an opinion that is beyond a hazard
24 assessment opinion, as I understand it, is that correct?

25 **THE WITNESS:** There were great discussion on this

PORTIER - DIRECT EXAMINATION / GREENWALD

1 between you and several of the others.

2 Let me give you my definition of hazard assessment, my
3 definition of risk assessment, and why this is slightly
4 different from what -- what I heard in the discussion.

5 Hazard identification is exactly what you were talking
6 about a minute ago. Is this a hazard to humans under some
7 exposure condition? How much weight of evidence can we put in
8 that statement?

9 The risk assessment is then much more specific under these
10 exposure -- this exposure scenario. What is the risk to the
11 population of this, of exposure to this compound?

12 Now, when agencies and many groups do those types of
13 evaluations --

14 **THE COURT:** What types of evaluations?

15 **THE WITNESS:** Hazard and risk assessments.

16 They most of time don't even talk about the cancer,
17 because most of the time it's driven by animal data and not
18 epidemiology data. It's -- it's seldom that they include
19 epidemiology data as strong as you have here.

20 In this exercise, as I understand it, we're not just
21 saying it could be a carcinogen, but I have to speak directly
22 to NHL. And so that is kind of outside of the usual hazard
23 assessment.

24 But IARC does speak directly to NHL. Their limited
25 evidence in humans is not only that there is an association,

PORTIER - DIRECT EXAMINATION / GREENWALD

1 but that a causal association is credible. And the only cancer
2 they looked at is NHL.

3 So that's clearly, they believe, a causal association is
4 credible for NHL, and so do I.

5 **THE COURT:** And so your opinion on the epidemiology
6 is the same as the opinion articulated by IARC on the
7 epidemiology?

8 **THE WITNESS:** It hasn't changed. IARC has very rigid
9 classification rules, the limited evidence of carcinogenicity.
10 That is an accurate description of the data.

11 I think we could have gone further in describing other
12 things, or they could have gone further in describing it, but
13 that's an accurate description of the data.

14 **THE COURT:** Okay. So I mean, I think you've
15 testified to this already, but your -- you know, one could view
16 that phrase "limited evidence of carcinogenicity in humans,"
17 and we know that in this context when they are talking about
18 carcinogenicity, they are talking about NHL because that's what
19 the studies show us, but when you -- when you -- when you think
20 about that phrase, "limited evidence," you know, you might say:
21 Well, that doesn't sound very powerful, "limited evidence."

22 And so I gather that what you have said and what you would
23 still say today is that we have this -- this limited evidence.
24 You can't rule out chance, confounding bias, but the -- when
25 you combine it with the animal evidence and the mechanism

PORTIER - DIRECT EXAMINATION / GREENWALD

1 evidence that causes us to conclude that the possibility of
2 chance, bias, confounding in the epidemiology data is lower?
3 Is that a fair way to think of your opinion? And if not, feel
4 free, obviously, to correct me.

5 **THE WITNESS:** I would more carefully say that my
6 concern for the chance, the bias and the -- the potential
7 chance, the potential bias and the potential confounding in the
8 epidemiology is lowered. My concern for it is lowered. It's
9 still there, if it's there at all, because we can't really
10 measure it.

11 But my concern for it is less because now I've got all
12 this other evidence saying it's really biologically active. It
13 looks biologically active in the same system, hemapoietic
14 system, with a very similar tumor in the mice.

15 So, yeah, I'm much more comfortable to say that I'm much
16 more believing to human evidence.

17 **THE COURT:** Okay. And I'll let Mr. Lasker take over,
18 but I want to say now, while it's on my mind, that I do want
19 you to address the animal stuff a little bit after the lunch
20 break. I don't know if you spent any time preparing to talk
21 further about the animal stuff.

22 So I want to kind of flag for now a couple of the things
23 that I want you to address after the lunch break on the animal
24 stuff so you can, you know, sort of gather your thoughts on it.

25 And, one, I guess this is probably the biggest question

PORTIER - DIRECT EXAMINATION / GREENWALD

1 that I have for you, is: If we took out -- if you took out all
2 of the stuff you did on pooling in the animal context, what
3 would your opinion be and what would be the basis of it?

4 You don't need to go back and repeat everything that
5 you've said, but if you could just sort of summarize that for
6 us.

7 And then maybe a -- a smaller question that I had on the
8 animal stuff is, you know, in epidemiology, you know, there is
9 this emphasis on, you know, focusing on published studies. And
10 I -- and I think the IARC says we only look at the published
11 studies, the published data, right?

12 And I wanted to explore whether that -- that same emphasis
13 is supposed to be placed on published studies for the animal
14 data, or if it's sort of more common in the toxicology context
15 to be relying on unpublished but regulatory studies from the
16 agencies.

17 So those are the two questions I can think of right now
18 that I want you to address after lunch.

19 But I'll turn it over to Mr. Lasker right now.

20 **MS. GREENWALD:** Could I ask one question on the IARC,
21 a closing question?

22 **THE COURT:** Sure. Go ahead.

23 **MS. GREENWALD:** It's up to you, Your Honor.

24 **THE COURT:** Go ahead.

25

PORTIER - CROSS EXAMINATION / LASKER

1 **BY MS. GREENWALD**

2 **Q.** Chris, if I could -- Dr. Portier, if I could just ask a
3 question to wrap up the IARC issue.

4 Although IARC could determine that a substance is a
5 probable carcinogen even at exposures not occurring in the real
6 world, is that what IARC found in regard to glyphosate here?

7 **A.** No. Of course not. The epidemiology studies are evidence
8 of an effect in the real world.

9 **Q.** In real people?

10 **A.** In real people at current exposures.

11 **MS. GREENWALD:** Thank you.

12 **CROSS EXAMINATION**

13 **BY MR. LASKER**

14 **Q.** Dr. Portier, you won't need that binder. We'll give you a
15 new binder so you don't have all that stuff on your desk.

16 (Brief pause.)

17 **MR. LASKER:** Your Honors ready? Okay.

18 **BY MR. LASKER**

19 **Q.** Dr. Portier, you talked already about your epidemiological
20 experience, so I don't want to rehash that, but in your expert
21 report -- and that's at Tab 1 in your binder and it's at
22 Page 6 -- when you begin your discussion about the
23 epidemiology, and in particular sort of the last sentence of
24 that first paragraph under "Relevant Epidemiologic Studies,"
25 you state there that:

PORTIER - CROSS EXAMINATION / LASKER

1 "Other experts will be discussing these studies
2 as well as their strengths and weaknesses."

3 Correct?

4 **A.** That's what it says.

5 **Q.** And am I correct in my understanding that you were
6 referring there to the epidemiology experts who submitted
7 reports in this case?

8 **A.** Probably.

9 **Q.** And then you explain that for the purposes of your opinion
10 in your report, you are focusing on using the results of the
11 studies in evaluating causality and because of that you only
12 briefly describe each study, correct? It's in your report.

13 **A.** It says:

14 "I will focus on using the results of these
15 studies in evaluating causality, so I will only
16 briefly describe each study."

17 **Q.** And when the new 2018 Andreotti study was published, you
18 relied upon the expert reports that were prepared by Dr. Ritz
19 in communications you had with journalists and some government
20 officials in Europe, correct?

21 **A.** I don't know what you're -- you're asking me.

22 **Q.** Okay. Well, maybe it will help if we can turn to Tab 4 in
23 your binder. And this is one email that you produced to us in
24 preparation for your supplemental deposition after the
25 Andreotti study. And this is an email dated November 9, 2017,

PORTIER - CROSS EXAMINATION / LASKER

1 which is the day the Andreotti study came out in electronic
2 form. Do you recall that?

3 **A.** I don't know that that's the exact date, but I do recall
4 that email.

5 **Q.** And you explained -- I'm sorry.

6 **A.** I do recall the email.

7 **Q.** And you explained to me in your deposition that Martin
8 Pigeon is a reporter of some type?

9 **A.** He works for the Corporate Europe Observatory and he also
10 wrote a book.

11 **Q.** And so he raised questions with you about the Andreotti
12 study and you forwarded to him Dr. Ritz's, I take it, original
13 expert report and her rebuttal expert report in this
14 litigation, correct?

15 **A.** That was on the Right to Know website. I sent it to him.
16 He asked for it.

17 **Q.** Well, he -- he asked you for your views of the new AHS
18 study, and in response you sent Dr. Ritz's expert reports,
19 correct?

20 **A.** No. We talked on the phone and I asked him if he had seen
21 her expert report, and I sent it to him.

22 **Q.** Okay. And then if you look at Tab 5, this is on
23 November 12, 2017. You received an inquiry from a Robert --
24 and I don't know if I'm pronouncing it correctly -- Bellé. And
25 he is a government official in Europe, an advisor to the French

PORTIER - CROSS EXAMINATION / LASKER

1 deputy in Europe, correct?

2 **A.** I believe that's his position.

3 **Q.** And in response to his requests, again, you forwarded the
4 expert reports of Dr. Ritz, correct?

5 **A.** That's correct.

6 **Q.** And I'm going to get back to some other points you make in
7 this email, but just to continue on the next page behind Tab 5,
8 you also sent Dr. Ritz's report to a Tiffany Stecker, and she
9 is also a reporter, correct?

10 **A.** That's correct.

11 **Q.** And you also present some other opinions or some opinions
12 you had at that time in both your emails to Mr. Bellé and to
13 Ms. Strecker that are -- or Stecker, I'm sorry, that are set
14 forth in your expert report, correct?

15 **A.** No.

16 **Q.** Maybe I misspoke. Let me say that again --

17 **A.** The opinion as expressed here, as I told you in the
18 deposition, is wrong.

19 **Q.** Okay. And I did misspeak. You're going to where I was,
20 and I said expert report. I'm sorry.

21 You provided some opinions that you had reached with
22 regard to the Andreotti study as of that date, and as we talked
23 about in your expert report -- I'm sorry, I said it again -- in
24 your deposition, you agree with me now that the opinions that
25 you shared at that time based upon your review of the Andreotti

PORTIER - CROSS EXAMINATION / LASKER

1 study were, in fact, incorrect; is that right?

2 **A.** One specific part of those opinions were indeed incorrect,
3 in the way in which I describe the imputation.

4 **Q.** Okay. And I was going to walk through those and we can do
5 that now.

6 The first opinion that you offered in this email is
7 similar to or the same as one of the opinions you've offered
8 today, which is your recalculation of the odds ratios in the
9 Andreotti study by comparing them to the low exposure group,
10 correct?

11 **A.** That's correct.

12 **Q.** In your expert opinions here today and in your expert
13 report you actually provide a second calculation in which the
14 numbers are a little bit higher than the numbers you have here,
15 correct?

16 **A.** I don't think so. These are numbers for one of the two
17 areas. I don't remember which one this is.

18 **Q.** Okay. We'll turn to that in a minute.

19 The second opinion you offered is that -- is with respect
20 to the sensitivity analyses that were set forth in the
21 Andreotti study, correct? That's, I guess, the fourth
22 paragraph or third paragraph, depending on -- which starts:

23 "In addition in their discussion of their
24 sensitivity analyses."

25 **A.** Uh-huh.

PORTIER - CROSS EXAMINATION / LASKER

1 Q. And you were offering an opinion there as to the impact of
2 the sensitivity analyses on your interpretations of the
3 Andreotti study, correct?

4 A. Yes.

5 Q. And in my deposition I asked you -- and we walked through
6 some of the findings of the sensitivity analyses, and you
7 agreed with me that the risk ratios that were calculated with
8 the three different sensitivity analyses conducted in Andreotti
9 were all in the same ballpark as the primary analyses, correct?

10 A. That is correct.

11 Q. And then the third issue, and I think this is the one you
12 were referring to previously, was with respect to how the
13 imputation methodology works, correct?

14 A. That's correct.

15 Q. And in your emails both to Mr. Bellé and to Ms. Stecker,
16 you expressed your view, your understanding, based upon your
17 review of the paper when it first came out, that through the
18 imputation method if an individual was not exposed to
19 glyphosate or indicated they had not been exposed to glyphosate
20 in the phase one questionnaire, and then did not respond to the
21 second phase questionnaire, the imputation methodology would
22 treat them as unexposed in that second phase time period.

23 That was the opinion you offered in your -- in these
24 emails, correct?

25 A. And I was correct, and that is wrong.

PORTIER - CROSS EXAMINATION / LASKER

1 Q. Okay. And you understand now that that's not how the
2 imputation methodology works, correct?

3 A. Correct. And my supplemental report is correct in that.
4 I would also point out the reason they are getting Beate Ritz's
5 expert report is because in her expert report she covered the
6 Andriutus.

7 Q. Andreotti?

8 A. No, no. She covered the preliminary document that had
9 been obtained from Dr. Blair in that. And they were asking my
10 opinion on that and I really didn't offer an opinion. Here is
11 an opinion you can look at.

12 Q. You have in a variety of forums --

13 THE COURT: Can I ask a question about this email?

14 MR. LASKER: Yes.

15 THE COURT: You asked this question finally: I
16 wonder why Aaron Blair is not a coauthor on this manuscript.

17 Why do you ask that question? What's the point of asking
18 that question?

19 THE WITNESS: Well, his -- his name was on the
20 original draft manuscript, it was from his office. It's
21 unusual to drop a senior researcher like him from the final
22 manuscript. I -- I do not why he was not on the manuscript.

23 BY MR. LASKER

24 Q. Dr. Portier, you have -- sorry. Let me drink first.

25 You have, not including in this litigation, defended the

PORTIER - CROSS EXAMINATION / LASKER

1 IARC Working Group's conclusion with respect to glyphosate in a
2 number of different forums and publications and then
3 communications to regulators, correct?

4 **A.** I guess I would have to say that's incorrect.

5 **Q.** Okay. Well, let me -- let me ask you about --

6 **A.** Can I explain why it's incorrect?

7 **THE COURT:** Sure.

8 **BY MR. LASKER**

9 **Q.** Go ahead.

10 **A.** So because of the IARC Monograph report there have been a
11 lot of back and forth from various groups of -- of what was
12 done and what was not done.

13 At first, I was defending the IARC Monograph report. But
14 when it got to the point where I was talking to the German
15 Bundestag or other groups about this, it had gone beyond that
16 because I was really focused on the European Food Safety
17 Authority risk assessment on glyphosate and not so much
18 defending IARC as pointing out some of the limitations to the
19 way they did things.

20 So, yes, I defended IARC, but that was not the primary
21 issue.

22 **Q.** Okay. If I could ask you to turn to Tab 6 in your binder?
23 (Witness complied.)

24 **THE COURT:** Can I ask a follow-up question about
25 that?

PORTIER - CROSS EXAMINATION / LASKER

1 You're talking about the limitations in the way they did
2 things. What did you mean by that? Can you explain that a
3 little more?

4 **THE WITNESS:** Yes. It's -- there were so many of
5 them.

6 Their evaluation of the human evidence once they got down
7 to all of their arguments about it, they called it very
8 limited.

9 **THE COURT:** Who is "they"?

10 **THE WITNESS:** The European Food Safety Authority.

11 **THE COURT:** You're talking about the limitations of
12 the way they did things --

13 **THE WITNESS:** Correct.

14 **THE COURT:** Not IARC.

15 **THE WITNESS:** Correct.

16 **THE COURT:** I misunderstood. I don't need to hear
17 about that.

18 **THE WITNESS:** Okay.

19 **BY MR. LASKER**

20 **Q.** So, Dr. Portier, are you at Tab 6 in your binder?

21 And this is another email that you provided to -- or this
22 may be actually something that was on a FOIA request. It was
23 a -- it was a public document, a FOIA request that we have,
24 which is communications -- I think we talked about this in your
25 deposition, your initial deposition; that this is

PORTIER - CROSS EXAMINATION / LASKER

1 communications you had after you became aware of the fact that
2 the European Food Safety Authority had reached a conclusion or
3 was prepared to reach a conclusion that glyphosate had no
4 carcinogenic potential, correct?

5 **A.** This letter occurred after I had some information that it
6 looked like they were going to do that, that is correct.

7 **Q.** Okay. And on November 9, 2015 you sent an email to all of
8 the IARC 112 working group members, correct?

9 **A.** I don't believe it's all of them.

10 **Q.** Many of them?

11 **A.** Many of them.

12 **Q.** And you also copied Kate Guyton? She's at IARC. What's
13 her to role again?

14 **A.** She's one of the people in the Monograph program. And in
15 the review of glyphosate each person in the Monograph program
16 does one Monograph a year, where they run the meeting. It's
17 not always the head of the program that runs the meetings. She
18 ran the glyphosate meeting.

19 **Q.** And you're sending them this email to warn them of the
20 fact that the European Food Safety Authority was about to
21 release this conclusion that glyphosate had no carcinogenic
22 potential, correct?

23 **A.** No.

24 **Q.** In the first -- I'm sorry. If we can go on the bottom
25 half of the front page, which is the email that you sent, the

PORTIER - CROSS EXAMINATION / LASKER

1 first sentence is:

2 "This week the European Food Safety Agency will
3 release their reassessment of glyphosate. In this
4 review they will conclude that glyphosate has no
5 carcinogenic potential."

6 So you were alerting them to that fact, correct?

7 **A.** That's correct.

8 **Q.** And you state that this creates two problems. One is that
9 it will weaken the strength of the IARC Monograph program to
10 stimulate change and how some of these agents are reviewed and
11 addressed.

12 And the second is that:

13 "It suggests we did not do our assessment
14 adequately and that, had we seen all the data that
15 they saw, we would have gotten a different answer."

16 Correct?

17 **A.** That's what it says.

18 **Q.** And you state:

19 "I do not intend to let that happen."

20 Correct?

21 **A.** Without -- yeah, without somehow addressing it.

22 **Q.** And as a result of that, you were defending and you have
23 been defending the IARC working group analysis of glyphosate in
24 a variety of different forum outside of this litigation, in
25 front of regulators and in various other communications,

1 correct?

2 **A.** As I already mentioned, that's not the case. In most
3 cases I was addressing what I saw as efficiencies in those
4 agencies' review of the glyphosate information. I was not
5 writing to those agencies to defend the IARC evaluation.

6 **Q.** You've also explained outside of this litigation, and
7 Judge Chhabria was asking you about this just a moment ago,
8 that the disagreement between IARC's conclusion regarding
9 glyphosate and the regulator's conclusions is about all between
10 hazard and risk, correct?

11 **A.** Not at all. In the European system, the European Food
12 Safety Authority is required to ban any pesticide that is a
13 hazard for a carcinogen. So the European Food Safety Authority
14 does not do a risk assessment on pesticides. They do a hazard
15 assessment just like IARC.

16 So it's not a battle in Europe between risk assessment and
17 hazard assessment. The United States EPA does a risk
18 assessment, even if it's a carcinogen. So that's a different
19 issue.

20 **Q.** Okay. Well, then, let me ask it that way. In the United
21 States -- let me start again.

22 You've explained outside of this litigation that the
23 disagreement between IARC's conclusions regarding glyphosate
24 and the U.S. EPA's conclusions regarding glyphosate is a battle
25 between hazard and risk, correct?

PORTIER - CROSS EXAMINATION / LASKER

1 **A.** No, not -- if that's all I said, then it's absolutely
2 wrong.

3 **Q.** Okay. Let me ask you to turn to Tab 7 of your binder.
4 And this is a newspaper story that came out and it's discussing
5 the scientific advisory panel that the EPA impaneled to review
6 their initial OPP evaluation that concluded that glyphosate is
7 not likely to cause cancer, correct?

8 **A.** This was before the beginning of that meeting.

9 **Q.** And if you can turn to page -- or the second page of this
10 article. And one fact that for us is somewhat peculiar, but
11 for you probably it is not, your brother actually was on the
12 advisory panel because he's also a biostatistician or a
13 toxicologist? Biostatistician, correct?

14 **A.** Biostatistician. He was chair of the EPA Science Advisory
15 Panel for seven years.

16 **Q.** Okay. And about two-thirds down the second page there is
17 this discussion about the fact that you and your brother --
18 that your brother was on this advisory panel and there is a
19 statement, again, that starts:

20 "Asked whether he had spoken with his brother
21 about glyphosate" --

22 Do you see where I am?

23 **A.** Yes.

24 **Q.** (As read)

25 "...Christopher Portier said, in broad terms: I

PORTIER - CROSS EXAMINATION / LASKER

1 told him it's a battle between hazard and risk, and
2 that he does understand."

3 Is it your testimony that that is not an accurate quote
4 that you gave to this reporter?

5 **A.** It says "in broad terms." It's an accurate quote when you
6 say "in broad terms."

7 But there is much more specifics here in terms of the
8 quality of the science.

9 **Q.** Okay.

10 **THE COURT:** What was happening with your brother?

11 **THE WITNESS:** I'm sorry. He was -- he was on the EPA
12 Science Advisory Panel and EPA created a document for their
13 risk assessment of glyphosate, a draft document. And because
14 it's such a high profile, they wanted to bring it to their
15 scientific advisory panel.

16 There was an argument put forth that my brother couldn't
17 be on the panel because he was, obviously, my brother. And I
18 have been, obviously, vocal about the science used by EPA and
19 used by EFSA in evaluating glyphosate, so he would go my way.

20 But my brother and I never discussed glyphosate, except
21 that very broad term of saying, you know, it's one of these
22 things where there is a battle going on between hazard and risk
23 and how it's done.

24 **BY MR. LASKER**

25 **Q.** Now, Dr. Portier, outside of this litigation and in a

PORTIER - CROSS EXAMINATION / LASKER

1 submission that you gave to EPA, you have taken the position
2 that causality for glyphosate and non-Hodgkin's lymphoma is
3 plausible, but it clearly has not been demonstrated, correct?

4 **A.** Say that again.

5 **Q.** You have taken the position -- and this was prior to the
6 Andreotti study.

7 You took the position in a submission to EPA that
8 causality for glyphosate and non-Hodgkin's lymphoma is
9 plausible, but that it clearly has not been demonstrated,
10 correct?

11 **A.** I'd have to see the statement and the context in which I
12 used it.

13 **Q.** Okay. So this is one of the attachments to your expert
14 report, and it is Tab 1B. You actually identify it as
15 Document 2 in your appendices, but then we would have two
16 different numbers in the tabs.

17 **A.** Okay.

18 **Q.** And if I could direct you to -- and this is comments that
19 you made to -- that you submitted to EPA on October 4th, 2016,
20 correct?

21 **A.** That's what it looks like, yes.

22 **Q.** And if you could turn to Page 7, starting at Line 116,
23 you're talking about:

24 "EPA's conclusions with respect to glyphosate
25 exposure" -- "EPA's conclusions at that time with

PORTIER - CROSS EXAMINATION / LASKER

1 respect to glyphosate exposure and the risk of
2 non-Hodgkin's lymphoma."

3 Do you see that?

4 **A.** Yep.

5 **Q.** And at the bottom of that paragraph at Lines 125 to 126,
6 you state:

7 "So is causality plausible here?"

8 "Yes, absolutely.

9 "Is it demonstrated?"

10 "No, clearly not.

11 "Are the findings possibly the results of chance,
12 bias and/or confounding?"

13 "Yes, but more unlikely than likely."

14 Correct?

15 **A.** This talks specifically only to the epidemiology data, and
16 that is correct.

17 **Q.** Okay. And that is still your opinion today, that the
18 epidemiology data clearly does not demonstrate that glyphosate
19 causes non-Hodgkin's lymphoma?

20 **A.** That's not what it says. It says it's "plausible." And
21 it does not, by itself, clearly demonstrate that glyphosate
22 causes NHL.

23 **Q.** Okay. So just so I'm clear, we have been clear a number
24 of times now on this question, so it's --

25 **THE COURT:** Sorry, what? I didn't hear a word you

PORTIER - CROSS EXAMINATION / LASKER

1 said.

2 **MR. LASKER:** I know. I starting saying "clear" and
3 then I realized the sentence was going to be awkward. I'll ask
4 it again. I'm sorry, your Honor.

5 **BY MR. LASKER**

6 **Q.** Is it still your opinion, based upon the epidemiology,
7 that a causal association between glyphosate and non-Hodgkin's
8 lymphoma, if the question is whether that's been demonstrated,
9 the answer is clearly not, correct?

10 **A.** Using only the epidemiology data, I cannot come to the
11 conclusion without any reasonable doubt. I can't take myself
12 to the 100 percent. That it is causal for NHL.

13 **Q.** Okay. And just -- just to be clear. If you look at your
14 discussion before that conclusion, or those -- the final
15 statement that you make about causality, leading up to that
16 statement you do discuss the animal evidence, correct? And
17 that's at Line 24 -- 124, I'm sorry. Still on Page 7.

18 **A.** Umm, yes.

19 **Q.** And, in fact, you were going through what looks like an
20 abbreviated version of your Bradford Hill criteria in that
21 sentence from Lines 120 through 125, correct?

22 **A.** Umm, it covers some aspects of it.

23 **Q.** Now, you've also -- excuse me. Staying in the same
24 document. If you could turn to page -- just a prior page,
25 Page 6?

PORTIER - CROSS EXAMINATION / LASKER

1 And actually from Page 5 to Page 6, you are talking about
2 your opinions with regard to the human evidence for glyphosate,
3 correct?

4 **A.** Yes.

5 **Q.** And --

6 **JUDGE PETROU:** I just want to be clear.

7 Is it your opinion, because I'm just noting on Page 5 at
8 Lines 15 to 16 you write:

9 "The agency provides many reasons for this
10 finding. I would summarize them as follows."

11 **THE WITNESS:** Yeah. The bulleted points were what
12 the agency has said.

13 **JUDGE PETROU:** And then the commentary is your
14 commentary, yours, on it?

15 **THE WITNESS:** My commentary is on what the agency has
16 said.

17 **BY MR. LASKER**

18 **Q.** And just to be clear. Going back to Page 7, Line 120 to
19 125, where you state "I would note," these are your -- these
20 are your opinions as to the various Bradford Hill criteria and
21 how you believe they have been met or not met with respect to
22 glyphosate and non-Hodgkin's lymphoma, correct?

23 **A.** I'm sorry. I drifted looking at this while you were
24 asking it.

25 **Q.** All right. On Page 7, Line 120 to 127, you are providing

PORTIER - CROSS EXAMINATION / LASKER

1 your observations, your opinions based upon the Bradford Hill
2 criteria or at least some of those criteria?

3 **A.** I'm countering what EPA did with their criteria.

4 **Q.** And offering your view --

5 **A.** It's difficult to follow this without the EPA document in
6 my hand as well. Because this is really commenting heavily
7 back to EPA on their document.

8 **Q.** Right.

9 **A.** And this is clearly not my opinion currently on the
10 evidence here. So I don't know in what context I was making
11 these statements relative to words that were being written by
12 EPA.

13 **THE COURT:** Well, what does -- when you say "Is it
14 demonstrated? No, clearly not." I mean, what do you mean by
15 "demonstrated"?

16 **THE WITNESS:** Again, I thought here I was talking
17 about the human evidence.

18 **THE COURT:** But it doesn't seem like it from the
19 sentences that precede that.

20 **THE WITNESS:** I see that. But that's -- this is
21 under my human evidence section here from EPA -- oh, if you go
22 to Page 5 at the very top, this is the detailed technical
23 review of what they wrote. It's human evidence. That's the
24 category. All of these comments are on the human evidence.
25 Until I get to Page 7 at the very bottom and then I do animals.

PORTIER - CROSS EXAMINATION / LASKER

1 I -- I'm finding it very difficult to get the context of
2 these comments on a document from two years ago.

3 **THE COURT:** Is there a conclusion -- is there kind of
4 an overall conclusion that you articulate at the end of this
5 document?

6 **THE WITNESS:** Not -- not for the carcinogenicity.
7 It's an overall conclusion for the quality of the evaluation
8 done by EPA, and it's at the very beginning. Because I have
9 this outlined, but there are the general comments at the very
10 beginning, and each one of these bullets is a comment on their
11 overall evaluation.

12 **THE COURT:** Okay. Thanks.

13 **THE WITNESS:** If you look on Page 1 of bullet
14 number 8, that's a more credible statement of what I meant
15 with the human data.

16 **THE COURT:** Okay.

17 **BY MR. LASKER**

18 **Q.** Dr. Portier, if I could direct your attention to Page 5,
19 starting at Line 47?

20 And if I'm understanding correctly how this document is
21 set up, the statement after -- on Page 5, Line 47 through
22 Line 48, which is on Page 6, is EPA's assessment, which is
23 that:

24 "Control for confounding varied across studies
25 and there was a strong potential for confounding by

PORTIER - CROSS EXAMINATION / LASKER

1 co-exposure to other pesticides."

2 So that would be EPA's finding, is that correct?

3 **A.** As I understand this set of comments two years later, yes.

4 **Q.** And then the comments starting on Line 50 is your
5 response, in which you state:

6 "This is correct with some studies doing better
7 than others."

8 Correct?

9 **A.** That's what it says.

10 **Q.** And then you go through and discuss the findings with
11 respect to each of the -- the core studies that you've
12 discussed in your testimony here today, as far as which of the
13 findings in those studies were adjusted for other pesticides
14 and which were not adjusted for other pesticides, correct?

15 **A.** It seems.

16 **Q.** And now you have opined in this -- in your testimony in
17 this court and in your expert report that the logistic
18 regression analysis for De Roos 2003 with a 2.1 odds ratio was
19 adjusted for other pesticides, but at this point what you're
20 stating to EPA was your understanding that the 2.1 odds ratio
21 in De Roos 2003 was unadjusted, correct?

22 **A.** It's a mistype, misspelled. I clearly -- clearly, it's
23 adjusted.

24 **Q.** And if you could turn to Tab C, which is another
25 submission in your expert report?

PORTIER - CROSS EXAMINATION / LASKER

1 **THE COURT:** Would you mind, before we go off of this
2 document --

3 **MR. LASKER:** I was going to say topic, but --

4 **THE COURT:** So I want to ask another question about
5 this document, but it's a slightly different --

6 **MR. LASKER:** I'll come back to this document.

7 **THE COURT:** Okay.

8 **BY MR. LASKER**

9 **Q.** If you look at Tab C, which is the third attachment to
10 your expert report, you have a Table 1. It's the first page of
11 the document, "Human Epidemiology Studies." Do you see that?

12 **A.** Yes, I do.

13 **Q.** And, again, for each of those studies you have different
14 odds ratios reported, and then you have a code of "U" or "C"
15 next to each of the odds ratios, correct?

16 **A.** Correct.

17 **Q.** And as I'm looking at these -- and we can put De Roos 2003
18 to the side for a moment -- but "C" refers to controlled and
19 "U" refers to uncontrolled, if I'm reading these numbers
20 correctly, correct? Is that -- am I correct in that?

21 **A.** Probably.

22 **Q.** Okay.

23 **A.** I -- I, again, can't be certain. It's a slide deck I used
24 sometimes ago.

25 **Q.** And in this slide deck, again at this point in time, it

PORTIER - CROSS EXAMINATION / LASKER

1 was your understanding that the 2.1 odds ratio in De Roos 2003
2 was unadjusted, correct?

3 **A.** It's a mistake. It's incorrect. I don't know if that was
4 my view at that time or not or if it's simply a mistake.

5 **Q.** And I'm not going to walk through this. You have similar
6 tables in other PowerPoint slides that you presented in other
7 venues.

8 **A.** I'm sure they are cut and paste from one to the next
9 without any critical review.

10 **Q.** Do you recall at what point in time you came to the
11 contrary conclusion that the 2.1 odds ratio in De Roos 2003 was
12 adjusted?

13 **A.** Why is that the contrary conclusion? I haven't -- I'm --
14 I'm not committing to the fact that I didn't believe it was
15 controlled then. I really don't know why this "U" is here,
16 other than it's probably a mistake. Clearly, it's controlled
17 for other pesticides.

18 **Q.** Okay. Just to conclude your discussion, I'm going back to
19 the document, Judge Chhabria, back to Tab B on Page 6, at
20 Line 68, concluding this section dealing with confounding you
21 state:

22 "It is fair to say that confounding could not be
23 ruled out in these studies?"

24 Correct?

25 **A.** That's what it says.

PORTIER - CROSS EXAMINATION / LASKER

1 **Q.** And that's consistent with the opinion you've expressed
2 here today?

3 **A.** That's correct.

4 **MR. LASKER:** Your Honor, I will be coming back to
5 this document for other issues, including latency, but I'm
6 moving off of it right now. So if you have further questions.

7 **THE COURT:** Yeah. While it's fresh on my mind, if
8 you don't mind.

9 **MR. LASKER:** No.

10 **THE COURT:** So I -- something that caught my eye in
11 this document was on the bottom of Page 4. And at the bottom
12 of Page 4 you say -- and I think this is -- this is sort of a
13 summary of your position on the matter. It's the last sentence
14 in this section called "General Comments and Overall Summary."
15 And it says:

16 "EPA should declare glyphosate a probable human
17 carcinogen and go on to do a risk assessment to
18 determine if human exposure is significant to warrant
19 concern."

20 **MR. LASKER:** "Sufficient."

21 **THE COURT:** Sufficient. Did I misspeak?

22 **MR. LASKER:** Yeah. You stated "significant."

23 **THE COURT:** Sorry. Let me read it again:

24 "EPA should declare glyphosate a probable human
25 carcinogen and go on to do a risk assessment to

PORTIER - CROSS EXAMINATION / LASKER

1 determine if human exposure is sufficient to warrant
2 concern."

3 Now, this is something that was written after the IARC
4 Monograph was published, right?

5 **THE WITNESS:** That is correct.

6 **THE COURT:** And so, to me, like the most natural
7 reading of this sentence is: Okay, it's a probable human
8 carcinogen for the reasons stated by the IARC, and now we have
9 to go on and figure out if it matters in real-world -- in
10 real-world conditions.

11 And to me, that sentence seems inconsistent -- that does
12 not seem like a sentence that would be written by somebody who
13 has already decided that glyphosate is currently causing
14 non-Hodgkin's lymphoma in human beings in real-world
15 conditions.

16 And so I want to give you one more chance to address that.

17 **THE WITNESS:** Sure. First of all, the -- the EPA has
18 categories who are how they describe the carcinogenic
19 potential, the strength of evidence for carcinogenicity of
20 chemicals, and one of them is probable human carcinogen. The
21 same language as used by IARC. They have a definition for it.
22 And my opinion was that the data I looked at satisfied that
23 definition, and that's where it belonged.

24 It's not -- was not my intention in this document to tell
25 EPA that the human evidence is already -- that there is already

PORTIER - CROSS EXAMINATION / LASKER

1 something happening in the human population. That's their job.
2 And they didn't do it.

3 What they did was they did the hazard evaluation,
4 concluded there was no reason to be worried about
5 carcinogenicity at all, and they didn't go on and do the risk
6 assessment because they declared in the hazard assessment
7 there's nothing there.

8 And so this declarative statement is telling them to do
9 their job.

10 **THE COURT:** Okay.

11 **BY MR. LASKER**

12 **Q.** Now --

13 **THE COURT:** Should we take a lunch break?

14 **MR. LASKER:** Sure. We can do that.

15 **THE COURT:** Why don't we break for lunch and come
16 back at 1:00 o'clock?

17 **MR. LASKER:** Thanks.

18 (Whereupon at 12:10 p.m. proceedings

19 were adjourned for noon recess.)
20
21
22
23
24
25

PORTIER - CROSS EXAMINATION / LASKER

P R O C E E D I N G S

1
2 April 6, 2018

1:17 p.m.

3 ---000---

4 **THE COURT:** You can resume.

5 **THE WITNESS:** Your Honors, before we start, can I
6 address the specificity issue again?

7 **THE COURT:** Sure.

8 **THE WITNESS:** It seems I was wrong and I want to make
9 sure it's correct on the court record.

10 I went back and looked at the Hill paper.

11 **THE COURT:** Sorry, at the what?

12 **THE WITNESS:** Hill, Bradford Hill's paper on
13 specificity. It's somewhat vague as to what he actually means.

14 So then I looked at EPA's draft risk amendment guidelines
15 on how they interpret specificity. They interpret it the same
16 way I do and the same way that Dr. Ritz does. They use either
17 one in their evaluation.

18 When you look online in the literature some people use
19 mine, some people use the one Dr. Ritz used.

20 So I want to make it clear that I didn't -- I hope I did
21 not insult Dr. Ritz by saying she had done something that
22 wasn't part of the criteria. In fact, it surprised me. It is
23 part of the overall criteria.

24 **THE COURT:** So is the idea that either way of looking
25 at it gives you an answer on specificity or is the idea that

PORTIER - CROSS EXAMINATION / LASKER

1 different people disagree about what -- what the specificity
2 criterion means?

3 **THE WITNESS:** So in the case of EPA, it's either. If
4 either criteria is met it increases the argument for causality.

5 I didn't have enough time to go into why this group said
6 this and that group said that. So I don't know if they are
7 arguing with each other or not. I just want to make sure I
8 understood the issue after you brought it up because it
9 confused me. I thought I knew the criteria well.

10 **BY MR. LASKER**

11 **Q.** Dr. Portier, if I could take you -- if you would go to
12 Tab 1, which is your expert report. And at Page 16 we have the
13 forest plot.

14 And we can put this up on the screen as well. It's
15 Slide 24.

16 And this is the forest plot that you also presented -- one
17 of the forest plots you presented here this morning, correct?

18 **A.** Technically that's the only forest plot that I presented,
19 but yes.

20 **Q.** And for -- on Page 16 in your expert report --

21 **JUDGE PETROU:** Sorry. Where was it in the other
22 binder? It's a lot clearer to look at.

23 **MR. WISNER:** It's Exhibit 162.

24 **JUDGE PETROU:** 162?

25 **MR. WISNER:** Yes.

PORTIER - CROSS EXAMINATION / LASKER

1 **THE COURT:** All right. Thank you.

2 **MR. WISNER:** Page 16.

3 **JUDGE PETROU:** All right. Thank you.

4 **MR. LASKER:** Is Your Honor's copy in color?

5 **JUDGE PETROU:** No, but I can tell which ones are the
6 red. It's fine.

7 **MR. LASKER:** Okay.

8 **BY MR. LASKER**

9 **Q.** So, Dr. Portier, in discussing this Figure 1 you state --
10 or that you set forth the most-fully-adjusted risk estimates
11 from selected epidemiology studies and from the meta-analysis
12 of Chang and Delzell, correct?

13 **A.** That's what it says.

14 **Q.** And those are the six -- six items or six lines in red,
15 correct?

16 **A.** That's correct.

17 **Q.** And as you explained -- and this is on Page 15, the top of
18 Page 15.

19 If we could put up Slide 54 as well, but it's the top four
20 lines on Page 15 in your expert report.

21 Both the IARC Working Group and Chang and Delzell, when
22 comparing studies used the most-fully-adjusted risk estimates,
23 correct?

24 **A.** Say that again.

25 **Q.** Page 15. The top of Page 15. I'm reading from your

1 expert report on the first line:

2 "As noted by both the IARC Monograph 112 and by
3 Chang and Delzell, when comparing studies, the most
4 reasonable comparison is to use the
5 most-fully-adjusted risk estimates."

6 Correct?

7 **A.** That's what it says, but the keyword there is
8 "comparison."

9 **Q.** And you state:

10 "I will mostly limit my comments to these
11 most-fully-adjusted risk estimates."

12 Correct?

13 **A.** That's what it says, yes. But, again, I want to make sure
14 it's clear. The word "comparison" is important there.

15 This is the most fully adjusted were the most appropriate
16 for the meta-analysis.

17 **Q.** Okay. And none of these most-fully-adjusted risk
18 estimates in the six glyphosate epidemiologic studies that were
19 the core studies that existed at the time of the IARC Working
20 Group showed a statistically significant increased risk of
21 non-Hodgkin's lymphoma, correct?

22 **A.** None of them had confidence bounds that did not include 1.

23 **Q.** And we now have, of course, the 2018 Andreotti study. And
24 that study, although they don't separately break it out from
25 the data we have, has an ever/never relative risk that would be

PORTIER - CROSS EXAMINATION / LASKER

1 extra below 1, correct?

2 **A.** I don't know. They didn't provide it.

3 **Q.** Okay. But you could -- using the data, and you've done
4 some other calculations with the data, certainly you could
5 calculate an unadjusted odds ratio for ever/never and it would
6 be below 1, correct?

7 **A.** A crude odds ratio. I haven't done it, so I -- I can't
8 answer the question, but -- I can't answer it.

9 **MR. LASKER:** Okay. Well, if we could put up
10 Slide 26.

11 **BY MR. LASKER**

12 **Q.** And that is Tab 3 in your binder, Dr. Portier. It's from
13 your deposition in January. I can't remember the exact date,
14 but your supplemental deposition.

15 And it's at Page 50, Lines 10 to 19. So if you'd like to
16 go and look at that in your expert report?

17 **A.** Tab?

18 **Q.** Tab 3.

19 **THE COURT:** Tab 3, Page 50, you said?

20 **MR. LASKER:** Yes.

21 **BY MR. LASKER**

22 **Q.** And in your deposition you testified that an unadjusted --
23 and uncorrected unadjusted rate ratio can be calculated from
24 these data, and we're talking about the Andreotti study, in
25 that the ever/never risk ratio would be less than 1, correct?

PORTIER - CROSS EXAMINATION / LASKER

1 **A.** I have to say likely less than 1, since I didn't do it.

2 **Q.** The 2018 Andreotti study looked at more exposed cases of
3 non-Hodgkin's lymphoma than all of the glyphosate
4 case-controlled studies combined, correct?

5 **A.** You've asked me that question before. I believe it is
6 correct.

7 **Q.** The 2018 Andreotti study also allowed for a longer latency
8 period for non-Hodgkin's lymphoma development than any other
9 published glyphosate epidemiological study, correct?

10 **A.** It depends on what you mean by "latency." But if you're
11 saying the people in that study could have been exposed much
12 longer than the others, the answer is yes.

13 **Q.** Okay. And just so we're clear, if you could look at,
14 again, in your deposition at Tab 3, Page 16, Lines 23 through
15 17, Line 2.

16 **MR. LASKER:** And we can put that up on the screen.
17 It's Slide 20.

18 **BY MR. LASKER**

19 **Q.** And my question is to you, starting on line 23:

20 **"QUESTION:** The 2018 National Cancer Institute study"
21 -- and that refers to Andreotti -- "allows for a
22 longer cancer latency period for non-Hodgkin's
23 lymphoma than any other published glyphosate
24 epidemiologic study, correct?"

25 And your answer was:

PORTIER - CROSS EXAMINATION / LASKER

1 **"ANSWER:** Correct."

2 **A.** That's what it says.

3 **THE COURT:** Didn't he just say that in his actual
4 testimony?

5 **MR. LASKER:** I wasn't clear if he was --

6 **THE COURT:** I was pretty clear.

7 **MR. LASKER:** Okay.

8 **THE WITNESS:** I would like to point out that this was
9 not an NCI study. It was a study by Andreotti and coworkers.

10 An NCI study would be much more carefully reviewed. It
11 becomes the opinion of the agency. So it's really the
12 Andreotti study.

13 **BY MR. LASKER**

14 **Q.** You would agree that the bottom line from the analysis
15 that was conducted by the investigators in the 2018 study with
16 respect to glyphosate and non-Hodgkin's lymphoma from the
17 analyses they conducted is that they saw nothing, correct?

18 **A.** From the faulty analysis that they conducted, they saw
19 nothing.

20 **Q.** And the 2018 Andreotti study had no impact on your
21 evaluation of the glyphosate epidemiologic literature, correct?

22 **A.** That's not true. It -- it had impact. I've read it. I
23 considered it. I looked at it. It didn't change it.

24 **Q.** Okay. If we could -- if I could direct you to Page 53 of
25 your deposition, starting at Line 23.

PORTIER - CROSS EXAMINATION / LASKER

1 **MR. LASKER:** And if we can put up Slide 22?

2 **BY MR. LASKER**

3 **Q.** And my question to you, starting at Line 22 on Page 53:

4 **"QUESTION:** Let me ask you this in general. Do you
5 believe that the 2018 National Cancer Institute
6 Journal study strengthens or weakens the epidemiologic
7 evidence in support of your opinion that there is an
8 association between glyphosate-based herbicides and
9 non-Hodgkin's lymphoma?"

10 There is an objection.

11 And then your answer:

12 **"ANSWER:** I believe that the 2018 Andreotti study had
13 no impact on my evaluation of the epidemiology data.
14 It is neither good nor bad. What was seen is almost
15 what one would have expected to see, because of the
16 exposure misclassification."

17 That was my question and your answer in your deposition,
18 correct?

19 **A.** Yes, it was.

20 **MR. LASKER:** If you could put back up on the screen
21 the forest plot? That was Slide 24 and is in the expert report
22 at Page 16.

23 **BY MR. LASKER**

24 **Q.** So we now have the Andreotti study that updated the
25 De Roos study.

1 So if we were to be looking at the published epidemiology
2 literature now for the core studies, you would have Andreotti
3 instead of De Roos 2005, correct?

4 **A.** Not at all. I would not put the Andreotti study in a
5 meta-analysis partly because of the failures, partly plus of
6 the imputation. It's completely different than the other
7 studies in those regard.

8 When you do a meta-analysis, you try to bring studies
9 together that will at least have something in common.

10 **Q.** So you believe it's appropriate to include the 2005
11 De Roos AHS study in the meta-analysis --

12 (Court reporter clarification.)

13 **Q.** ...2005 AHS study in the meta-analysis, but it is not
14 appropriate to include the 2018 Andreotti study, is that
15 correct?

16 **A.** The De Roos study had all of the exposures for all of the
17 people involved. They didn't have to impute for 37 percent of
18 the people.

19 Yes, it would be correct epidemiologically to include the
20 De Roos study and not include the Andreotti study.

21 **Q.** And you believe that it would be appropriate to include
22 the Ericksson study in a meta-analysis, but not to include the
23 Andreotti study, is that correct?

24 **A.** Ericksson analysis and not include the Andreotti. That's
25 correct.

PORTIER - CROSS EXAMINATION / LASKER

1 Q. And you believe that it would be appropriate to include
2 the Hardell study in a meta-analysis but not the Andreotti
3 study, correct?

4 A. The 2002 Hardell saw, correct.

5 Q. And you believe it would be appropriate to put the Orsi
6 studied in a meta-analysis but not the Andreotti study, is that
7 correct?

8 A. That is correct.

9 Q. And in your expert report where there was data for
10 epidemiologic studies that were combined into pooled analyses,
11 you no longer consider the earlier studies in your analyses,
12 correct?

13 A. I -- I didn't consider them in making my decision. I
14 mean, I read them.

15 Q. Okay.

16 A. But, yes, I used a pooled analysis as my main driver.

17 Q. So, for example, for the Cantor study and the Nordstrom
18 study and the Hardell and Ericksson study, those you did not
19 consider or you considered the later pooled analyses for each
20 of those datasets, correct?

21 A. Well, let's be correct. To make sure we're very correct
22 here. Cantor only -- Cantor did an analysis of glyphosate
23 unadjusted and whether it relates to NHL. The other two did
24 not do glyphosate analyses. So I couldn't have used them in
25 this evaluation.

PORTIER - CROSS EXAMINATION / LASKER

1 They were pooled and De Roos was able to get that
2 information on glyphosate use and put it in to the evaluation.
3 And then she adjusted for all the other exposures. So, yes, I
4 would use De Roos.

5 **Q.** Okay. I think we may have some confusion on the names of
6 the studies, so let me just go back to your expert report.
7 It's at Tab 1, again. And if you could go to Page 7 of your
8 expert report?

9 And this is a continuation that starts on Page 6 where
10 you're talking about Cantor. And as we discussed, and as you
11 just stated, the last line of that first page you note with
12 respect to Cantor:

13 "This study will not be included separately into
14 the evaluation since it overlaps with De Roos 2003."
15 Correct?

16 **A.** That's correct.

17 **Q.** And then with respect to the Nordstrom study, that's
18 Page 9 of your expert report. Do you see Nordstrom 1998?

19 **A.** Yes, I do.

20 **Q.** And the last sentence in that paragraph you note that:

21 "This study was later used in a pooled analyses
22 of HCL and NHL" -- I think that's the Hardell 2002
23 study -- "and will not be considered independently in
24 this evaluation."

25 Correct?

PORTIER - CROSS EXAMINATION / LASKER

1 **A.** In this evaluation for causation, but will be used in the
2 context of the pooled analysis.

3 **Q.** Correct?

4 **A.** Correct.

5 **Q.** And then the same thing with regard to the Hardell and
6 Ericksson study, which is the next study in your report. And
7 go to the last line. Because that was pooled into another
8 analysis, you used the pooled analysis in your evaluation
9 rather than the earlier studies, correct?

10 **A.** That is correct.

11 **Q.** And I know that -- and I appreciate that you're not
12 offering any opinions with respect to the North American pooled
13 project, but you are aware that that is a pooled analysis that
14 includes data from the McDuffie study and De Roos 2003,
15 correct?

16 **A.** Actually, it's more than that. It's when you -- when you
17 look at the studies, they have a lot more cases and controls
18 than any of the -- than all of the studies individually.

19 So it's not exactly just taking over what was done by
20 De Roos, because De Roos has some other evaluations in here
21 that -- that you can't ignore. It's, to some degree, a unique
22 study, even with the NAPP.

23 **Q.** That's fine.

24 The data that's in the U.S.-based case-controlled studies
25 and Canadian-based case-controlled studies, you understand that

PORTIER - CROSS EXAMINATION / LASKER

1 was pooled for the NAPP analysis, correct?

2 **A.** I understand that was pooled. But, again, let's see if I
3 can be clear on what I'm saying here.

4 If you look -- could I have my table? No, it's not there.
5 No.

6 **THE COURT:** Page 16 of your report?

7 **THE WITNESS:** It's not there. It's not in that
8 table, so I'll let it go.

9 **A.** The individual studies, and I don't know the numbers right
10 off my back, but they each had X number of cases and X number
11 of controls according to the individual write-up. And when you
12 add those up and you go look at De Roos, she says that's what
13 they had, 995 cases and some number of controls.

14 And then De Roos restricted her set. She didn't use
15 women. She didn't use people who had worked on a farm before
16 the age of 17, et cetera. She had some restrictions. So she
17 ended up with 800-something.

18 Yet, when you add those things up and look at the North
19 American pooled project, there is still 200 cases and 600
20 controls that are not accounted for that I'm sure were
21 originally there but were not listed in the original paper or
22 in the De Roos paper. So they have something else they have
23 included.

24 And because I don't know that, I'm concerned with just
25 telling you I could get rid of the De Roos paper because this

PORTIER - CROSS EXAMINATION / LASKER

1 supersedes it.

2 **BY MR. LASKER**

3 **Q.** And, Dr. Portier, in your forest plot, which is still on
4 the screen, you include weights for each of those six
5 epidemiologic studies that were published as of the IARC
6 Monograph, correct?

7 **A.** Those weights are provided in the paper by Chang and
8 Delzell. They are automatically generated by the
9 meta-analysis.

10 **Q.** And just so the record is clear -- and we can go to the
11 Chang and Delzell study. It's at Tab 12 in your binder.

12 And if you look at Page 404, which is the third page in
13 Chang and Delzell, I believe this is the table that -- from
14 which you pulled your numbers, correct?

15 **A.** Give me a minute to look. I'm just looking to see if they
16 are correct. But I believe, yes, that's the table I -- that's
17 the plot I pulled it from.

18 **Q.** And just, again, so the record is clear, you have, it
19 appears, a typo in your expert report with respect to the
20 weight for Orsi.

21 And Orsi, in fact, would have a weight of 9.5, which is
22 just -- which comes in just below the 11.6 weight for
23 Ericksson, correct?

24 **A.** Give me a minute. Excuse me. There is another table I
25 need to look at.

PORTIER - CROSS EXAMINATION / LASKER

1 (Brief pause.)

2 **A.** There is a typo, but yes.

3 **BY MR. LASKER**

4 **Q.** And you testified --

5 **JUDGE PETROU:** Are you moving to something else?
6 Because if so, I would like to ask a question about this chart
7 that we're just looking at right now.

8 **MR. LASKER:** I'm kind of still on it for a few more
9 questions. I'm not sure where your question will be.

10 **BY MR. LASKER**

11 **Q.** But, Dr. Portier, you testified during your direct that
12 your view of the strength of causation under the Bradford Hill
13 criteria for glyphosate and non-Hodgkin's lymphoma, and I
14 believe I wrote this down exactly, is mostly driven by the
15 meta-analysis. Do you recall that?

16 **A.** I might have said something like that, yes.

17 **Q.** And you cited in your testimony this morning to the
18 meta-analysis that we're looking at right here by Chang and
19 Delzell with the 1.3 relative risk and the confidence
20 intervals, and there were a few more that you have on your
21 forest plot that are roughly similar, correct?

22 **A.** Say that again, the last part?

23 **Q.** There are a few other models that Chang and Delzell used
24 that are roughly similar in their findings for a meta-analysis,
25 correct?

PORTIER - CROSS EXAMINATION / LASKER

1 **A.** That is correct.

2 **Q.** And you have not calculated a meta-analysis that
3 incorporates the reported findings from the Andreotti paper or,
4 I take it, from the NAPP, correct?

5 **A.** I have not done such a meta-analysis, that is correct.

6 **JUDGE PETROU:** Simple, but probably easy for you to
7 answer the question, but I'm curious just looking at the
8 relative weights here.

9 We have six studies, right, totaling 100 percent. So if
10 they are equally weighted, that's, what, something like 16 and
11 two-thirds percent each.

12 We've got one study here, McDuffie, which clocks in at
13 38 percent of the total.

14 Is there anything about that in and of itself that's
15 troubling or problematic to you when you see a meta-analysis
16 and one out of the six has such a disproportionate percentage
17 of the total?

18 **THE WITNESS:** You would look at the heterogeneity.
19 You would look at other issues. In this case there was nothing
20 else. It was strictly driven by the low confidence bounds,
21 small confidence backgrounds and the large number of cases and
22 controls.

23 **JUDGE PETROU:** I understood that that's how you got
24 to the 38 percent. I was just wondering if that was a red flag
25 of any kind, so much out of this analysis.

PORTIER - CROSS EXAMINATION / LASKER

1 **THE WITNESS:** So if I had been doing this
2 meta-analysis, I probably would have pulled that study out and
3 looked at sensitivity to the inclusion of that study, the
4 McDuffie study. People do that in epidemiology. That's a
5 standard thing to do when you see something carrying a lot of
6 wait.

7 **JUDGE PETROU:** We don't know or do we know whether
8 that was done here?

9 **THE WITNESS:** They did not do it here in one of the
10 models that I'm aware of.

11 **JUDGE PETROU:** Thank you.

12 **THE WITNESS:** I want to correct something, a minute
13 ago.

14 The strong statement for the strength of the evidence is
15 mostly driven by the meta-analysis. If it was not the
16 meta-analysis, then the individual studies would have taken me
17 a little lower. Not as strong.

18 I want to be clear it's not the whole review. It's taking
19 it up to that too level.

20 **JUDGE PETROU:** I understand.

21 **THE COURT:** Could I ask a question about this table,
22 this table on Page 16 of your report that comes from Chang and
23 Delzell. I guess Chang and Delzell chose to use the
24 hierarchical regression model from De Roos 2003 rather than the
25 logistic regression analysis and you -- it sounds like you buy

PORTIER - CROSS EXAMINATION / LASKER

1 into that choice. Can you explain why?

2 **THE WITNESS:** Actually, they used both. The
3 Model 2 --

4 **THE COURT:** "They" being Chang --

5 **THE WITNESS:** Chang and Delzell. The Model 2 that
6 they put forward --

7 **THE COURT:** Wait. Let me go back to Chang. Are you
8 pointing me to something in their paper?

9 **THE WITNESS:** We can go to their paper. That would
10 be great.

11 **THE COURT:** Okay.

12 **THE WITNESS:** On Page 416 of their paper, their
13 Table 3.

14 **THE COURT:** 416. Give me a minute.
15 Okay.

16 **THE WITNESS:** So if you look at the seventh or eighth
17 line where it says "meta-analysis model" under Model 1, they
18 are using 1A, 2, 3, 4, 6, 7. And so 1A is the De Roos
19 hierarchical regression. That's all the way in column -- under
20 RR. That's one, two, three, four -- five when you look at
21 Model 1.

22 And then the second one is 1b, and that's using the
23 logistic regression. So they looked at the sensitivity of the
24 meta-analysis to both of those evaluations. I --

25 **THE COURT:** But it seems like they emphasize and you

PORTIER - CROSS EXAMINATION / LASKER

1 emphasize the hierarchical regression analysis and I'm curious
2 what -- why they and you decided to do that.

3 What's the rationale behind their decision and your
4 decision to do that?

5 **THE WITNESS:** So I do not favor the hierarchical
6 model over the logistic regression model. They are both
7 legitimate reasonable ways to analyze the data. It's like
8 doing a sensitivity analysis on your analysis method. I could
9 use logistic regression. I could use hierarchical regression.

10 The problem with the hierarchical regression here, my only
11 minor problem with it is they used a fairly uninformative Brier
12 in their evaluation. It's a -- it's a different area of
13 statistics. It's called Baseyan statistics.

14 And Dr. Ritz kind of explained this. You have to make
15 some assumptions about how carcinogenic glyphosate is before
16 you do the analysis, and then it goes through this mark-off
17 chain --

18 **THE COURT:** Do you have make an assumption about how
19 carcinogenic glyphosate is or how carcinogenic the other
20 pesticides are?

21 **THE WITNESS:** All of them. Every individual one of
22 them had a prior for how carcinogenic they were.

23 And it's known with the technique. If you choose a
24 non-informative prior, it will pull down the regression from
25 what you would get with a logistic regression.

PORTIER - CROSS EXAMINATION / LASKER

1 And so, again, you're sort of seeing what you would
2 inspect to see with that analysis compared to what you see
3 against the logistic regression.

4 **THE COURT:** But when you pool it with all these other
5 studies, with all this other data, it looks like it's
6 inconsequential from looking at the Chang and Delzell Table 3
7 that you just showed me, it's the -- am I right that it's the
8 exact same numbers?

9 **THE WITNESS:** Chang and Delzell should have given you
10 two significant digits. The U.S. EPA Science Advisory Panel
11 redid this analysis. And so they do differ in the third digit,
12 but that's it.

13 I think Model 2 is 1.04 is the lower bound and Model 1 is
14 1.03, but I would have to go back and double-check that.

15 **THE COURT:** Okay. Thanks.

16 **BY MR. LASKER**

17 **Q.** Dr. Portier, I'd like to turn now to some of your
18 testimony about the imputation method that was used in the 2018
19 Andreotti study.

20 First, Dr. Portier, you do not have sufficient experience
21 in the field of epidemiology to speak to whether imputation,
22 multiple imputation is a widely accepted methodology in
23 epidemiologic studies, correct?

24 **A.** No. At this point I feel I do.

25 **Q.** Well, if we could turn to your deposition in January at

PORTIER - CROSS EXAMINATION / LASKER

1 Page 82. And this, again, is Tab 3 in your binder.

2 **A.** What page?

3 **Q.** Page 82, starting at Line 15.

4 **A.** Yes.

5 **Q.** And my question to you was:

6 **"QUESTION:** Do you agree in general" --

7 **MR. LASKER:** I'm sorry. We can put this up on the
8 screen. It's Slide 38.

9 **BY MR. LASKER**

10 **Q.** (As read)

11 **"QUESTION:** Do you agree in general -- and we'll get
12 to the 2018 NCI study in a second, but do you agree in
13 general that multiple imputation is a widely accepted
14 methodology for use in the epidemiologic research?"
15 There was an objection to form.

16 And your answer:

17 **"ANSWER:** I am not sure I have the history in the
18 field sufficient to be able to say it's widely
19 accepted. I just don't think I can answer that
20 appropriately."

21 Correct?

22 **A.** At that point, yes. But as I pointed out in my direct,
23 I -- these questions made me go back and look at this very
24 carefully.

25 **Q.** And you had some testimony in direct about trying to find

PORTIER - CROSS EXAMINATION / LASKER

1 other studies that used a multiple imputation, correct?

2 **A.** No, multi-step multiple imputation. Instead of just --
3 there's lots of multiple imputation studies out there. This is
4 a very specific twist on that that I -- I couldn't find.

5 **Q.** During your direct examination, you testified about a bit
6 about the Brier score that was calculated as part of the
7 Heltshe analysis. Do you recall that testimony?

8 **A.** Yes.

9 **Q.** Prior to reading the Heltshe paper, you had never heard
10 the term "Brier score," correct?

11 **A.** I think that's probably true.

12 **Q.** And you had never calculated a Brier score, correct?

13 **A.** That is true.

14 **Q.** You testified during your deposition that it was your
15 understanding after reading Heltshe that a Brier score of zero
16 shows perfect accuracy, correct?

17 **A.** That is correct.

18 **Q.** And if we can look to the Heltshe paper, it's Tab 13. And
19 if you could turn to Table 3, which is on Page 413?

20 **MR. LASKER:** We can put this up on the screen also.
21 It's Slide 41.

22 **JUDGE PETROU:** Before you ask a question about that,
23 is it your testimony today that a Brier score of zero means
24 perfect?

25 **THE WITNESS:** Yes.

PORTIER - CROSS EXAMINATION / LASKER

1 **JUDGE PETROU:** Okay.

2 **THE WITNESS:** As far as I'm aware.

3 **THE COURT:** I take it it wasn't a change because your
4 question was specific to your deposition testimony.

5 Go ahead.

6 **BY MR. LASKER**

7 **Q.** If we look at Table 3, the very first pesticide that is
8 named, which is methyl bromide. Do you see that?

9 **A.** Yes.

10 **Q.** And that pesticide has a Brier score, which is the
11 second-to-last column in this table, of 0.004, correct?

12 **A.** That's what it -- that's what it says.

13 **Q.** And the Heltshe investigators on Page 412 --

14 **A.** Where?

15 **Q.** On Page 412.

16 **A.** Okay.

17 **Q.** And this is in the second column right above "Days Per
18 Year Use of Specific Pesticides," there is six lines at the
19 very bottom. They state:

20 "For only a few of the rare pesticides, less than
21 1 percent usage, used in phase two does the imputed
22 prevalence differ from the true prevalence by more
23 than 20 percent."

24 Do you see that?

25 **A.** I see that, yes.

PORTIER - CROSS EXAMINATION / LASKER

1 Q. And one of the pesticides that they identify where the
2 imputed prevalence is more than 20 percent different from the
3 imputed prevalence is methyl bromide, correct?

4 A. That's correct. But we discussed that in the deposition
5 as to why that occurs. I would be happy to discuss it again.

6 Q. We can. I understand -- and I think we'll be getting to
7 that.

8 But just to continue with this analysis or this
9 discussion. The investigators, the Heltshe investigators, also
10 used their imputation methodology, and I think you discussed
11 this a bit in your direct examination, to look at any pesticide
12 exposure; not just specific pesticides but a category for any
13 pesticide exposure, correct?

14 A. That is correct.

15 Q. And for the any pesticide exposure, and you mentioned this
16 in your direct and it's in the abstract on the first page of
17 this paper.

18 MR. LASKER: And you can put this up. It's Slide 44.

19 BY MR. LASKER

20 Q. The investigators noted that:

21 "The observed and imputed prevalence of any
22 pesticide use in the holdout dataset were 85.7 percent
23 and 85.3 percent, respectively."

24 Correct?

25 A. That's what it says, yes.

PORTIER - CROSS EXAMINATION / LASKER

1 Q. And you agree, though, that the Brier score for their any
2 pesticide imputation was among the highest of all the Brier
3 scores that they calculated in their analysis, correct?

4 A. Can you show me where that is?

5 Q. Well, we can go first of all to your deposition. And this
6 is again, Tab 3, Page 104. It's Line 1 through Line 5.

7 MR. LASKER: You can put this up on the screen as
8 Slide 45.

9 BY MR. LASKER

10 Q. And we were discussing the Brier score here for any
11 pesticide use, correct?

12 A. No, we're talking about relative Brier scores. We're
13 not -- we're not -- you were talking -- never mind. Ask the
14 question again.

15 Q. We're talking about -- I don't know what relative Brier
16 scores are. We're talking about the Brier score here, correct,
17 for the any pesticide use imputation?

18 A. There is no Brier score that I know of for any pesticide
19 use. The numbers you have in your question, starting at
20 Line 11:

21 "QUESTION: In fact, the Brier scores for the -- the
22 Brier score for any pesticide use which we just talked
23 about, the 85.3 and 85.7" --

24 Which are not Brier scores. Those are the estimated
25 prevalences.

PORTIER - CROSS EXAMINATION / LASKER

1 **"QUESTION:** The Brier score for any pesticide use is
2 higher than the Brier score for almost all of the 38
3 individual pesticides, correct?

4 **"ANSWER:** Where is that Brier score?"

5 So I'm asking the same question again. Where in the text
6 is that Brier score?

7 **Q.** Okay. Let's go to Tab 13.

8 **A.** Thank you.

9 **Q.** And in that first column, and this is where we went during
10 your deposition as well, on the left they have the -- I'm
11 sorry.

12 **THE COURT:** Page?

13 **MR. LASKER:** I'm sorry. Page 412.

14 **THE COURT:** Okay.

15 **BY MR. LASKER**

16 **Q.** The column, the left-hand column they have "Results,"
17 "Imputation Assessment," correct?

18 **A.** Oh, the titles, yes.

19 **Q.** And they are discussing here the any pesticide imputation,
20 correct?

21 Do you see the 85.25 and the 85.68, which rounds out to
22 85.7 and 85.3?

23 **A.** Correct.

24 **Q.** And then as we talked about during your deposition at the
25 bottom of the column they provide, and it's about one, two,

PORTIER - CROSS EXAMINATION / LASKER

1 three, four, five, six, seven -- seven lines from the bottom of
2 that column they have the Brier score and the Brier reference
3 score. Do you see that? 1.0 -- .1092 for the Brier score and
4 .1227 for the Brier reference -- or the reference Brier,
5 correct?

6 **A.** No. I'm not seeing it. Where is this?

7 **Q.** It is, if you go above Figure 1 and you count up seven
8 lines.

9 **A.** Oh, yes. Now I see it.

10 **Q.** And so that was the Brier score for any pesticide use?

11 **A.** Correct.

12 **Q.** And that Brier reference score, as we discussed in your
13 deposition, was among the highest of all the Brier scores that
14 were calculated if you look at the list of all 38 individual
15 pesticide Brier scores, correct?

16 **A.** The Brier reference score?

17 **Q.** The Brier score.

18 **A.** Oh, the Brier score. Let me take a look again.

19 (Brief pause.)

20 **A.** Yes, it's one of the higher.

21 **Q.** And so we have an imputation of 85.3 percent versus
22 85.7 percent with one of the highest Brier scores that were
23 calculated in the Heltshe paper, correct?

24 **A.** That's correct. The Brier score is affected by the
25 prevalence of the exposure in the population you're looking at.

PORTIER - CROSS EXAMINATION / LASKER

1 So it would not be surprising that the Brier score for any
2 pesticide use would be large, just like it is for glyphosate,
3 malathion and 2,4-D.

4 **Q.** And just because the Brier score was large for any
5 pesticide use and for the specific pesticides like glyphosate
6 that had the highest exposure rate does not mean, as we see for
7 any pesticides, that the imputation method does not provide an
8 estimate that, in the case of any pesticide, was an almost
9 complete match, correct?

10 **A.** It does not indicate it's almost a complete match. That
11 is what I showed with the tables during my direct.

12 It indicates the best you can do is .41 -- point, what is
13 it? The difference? .4-something percent difference. But it
14 could be as high as, I forgot, a 28 percent difference. And so
15 you could easily get that Brier score with a 28 percent
16 difference.

17 **Q.** And so you testified during your deposition that you
18 believe that the proper comparison for the imputation of
19 pesticides should be based upon absolute error, correct?

20 **A.** Between the -- yes.

21 **Q.** The authors and the investigators of the Heltshe paper,
22 however, presented the data based upon relative error as
23 between the imputation and the actual prevalence, correct?

24 **A.** They reported it as well as reporting the individual
25 numbers.

PORTIER - CROSS EXAMINATION / LASKER

1 Q. And they have a table, Figure 2, on Page 414 where they
2 list out all of the individual pesticides based upon relative
3 error, correct?

4 A. Correct.

5 **THE WITNESS:** Just for the judges, your Honors.
6 Relative error means that you take the error that I was looking
7 at and divide it by the prevalence of the exposure in the
8 population. So as glyphosate has the largest exposure, it has
9 the largest divisor. And since methyl bromide has the lowest
10 exposure, it has the smallest divider.

11 **BY MR. LASKER**

12 Q. When the Heltshe investigators looked at relative error
13 and reported it, as they did in their paper, they reported that
14 glyphosate fell basically in the middle of the pack, correct?

15 A. It -- the bottom third or something. Right at the edge of
16 the bottom third.

17 But as I pointed out, when you're doing the logistic
18 regression that you're going to do to evaluate these data, it's
19 the absolute that's going to make a difference in terms of the
20 bias and not the relative.

21 Q. I understand the opinion you were offering earlier today,
22 but I want to just make sure we're clear on what the authors of
23 this paper stated.

24 And if you look at Figure 2 and we're talking about
25 relative error, there is relative error both on the high side

PORTIER - CROSS EXAMINATION / LASKER

1 and on the low side, correct?

2 **A.** That's correct.

3 **Q.** And glyphosate -- if you include also the relative error
4 on the high side, glyphosate falls right in the middle of the
5 pack for these pesticides, correct?

6 **A.** I'm sorry. Say that again? Of the ones on the high side,
7 it falls towards the middle of the high side? It's still
8 toward the bottom.

9 **Q.** Okay.

10 **A.** Bottom third.

11 **Q.** Let's just be clear. If I could direct you to your
12 testimony at your deposition again. And this is at Page 106,
13 Line 13.

14 **A.** 106?

15 **Q.** Uh-huh. Yes.

16 **MR. LASKER:** And we can put this up on the screen.
17 It's Slide 49.

18 **MS. GREENWALD:** Your Honor, my objection is that he
19 asked and answered all these questions already. I just want to
20 make that clear, that maybe Dr. Portier can look above that as
21 well.

22 **MR. LASKER:** We can go -- what do you want, 105/19?
23 He can start reading from there. It's basically the same
24 discussion we just had.

25 **MR. WISNER:** Specifically Page 105 starting at

PORTIER - CROSS EXAMINATION / LASKER

1 Line 19.

2 **MR. LASKER:** Yeah, that's fine.

3 (Brief pause.)

4 **THE COURT:** Can we move on to something, please?

5 **MR. LASKER:** We can, Your Honor. That's fine.

6 **BY MR. LASKER**

7 **Q.** Dr. Portier, I'd like to ask you about your calculations
8 of -- or your recalculations of the relative risks in the 2018
9 NCI study. And you presented some of this data during your
10 direct examination. I just want to make sure I understand how
11 you did these calculations.

12 **MR. LASKER:** So if we could put up Slide 29.

13 **JUDGE PETROU:** Just to be clear, because he's already
14 said it's not an NCI study. When you say 2018, you're
15 referring to?

16 **MR. LASKER:** Yeah, the JNCI study or the Andreotti
17 study. I'll say Andreotti study, Your Honor.

18 If you could put up Slide 29?

19 **BY MR. LASKER**

20 **Q.** This is the relative risks that were reported in the
21 Andreotti study for cumulative days of exposure for
22 non-Hodgkin's lymphoma. And if you have --

23 **MR. LASKER:** Do we have the science binder up there
24 for him to look at the Andreotti study?

25

PORTIER - CROSS EXAMINATION / LASKER

1 **BY MR. LASKER**

2 **Q.** Do you need to look to the study to confirm these data
3 points or do you recognize them?

4 **A.** I will reserve the right to go look when you tell me what
5 you want to tell me. How is that?

6 **Q.** That's fine.

7 **A.** It's slowing you down. Let's --

8 **Q.** I'm just going to confirm that these were the numbers from
9 the study.

10 My next question is: If I understand how you calculated
11 your -- your recalculated odds ratios or relative risks, if we
12 can go to Slide 55, you did not -- you took out the unexposed
13 control numbers of people who are unexposed, you took the
14 lowest exposure group and made that your reference and then you
15 calculated your relative risks compared to that lower exposure
16 group, correct?

17 **A.** Yes.

18 **Q.** And similarly for intensity of exposure.

19 **MR. LASKER:** We could put you have Slide 56.

20 **BY MR. LASKER**

21 **Q.** This is from the primary analysis that's in the paper for
22 cumulative -- for intensity weighted cumulative days, correct?

23 **A.** That is correct.

24 **Q.** With all the relative risks reported as below 1. And then
25 you did the same calculation.

PORTIER - CROSS EXAMINATION / LASKER

1 **MR. LASKER:** And we can put up Slide 57.

2 **BY MR. LASKER**

3 **Q.** You removed, or you no longer considered the unexposed
4 group and you recalculated the relative risks using the lower
5 exposed group as your reference, correct?

6 **A.** And it's an approximate calculation. I will say that
7 right upfront.

8 **Q.** Right.

9 **A.** I can't do the adjustments or anything because I don't
10 have the real data. This is approximately what would happen.

11 **Q.** Okay. And obviously -- well, let me ask.

12 Your recalculation does not change the number of farmers
13 that are in each of these five groups, correct?

14 **A.** No, it wouldn't.

15 **Q.** And it doesn't change the fact that there is a higher
16 incidence of non-Hodgkin's lymphoma, at least as adjusted, in
17 the unexposed group than there is in any of the four exposed
18 groups, correct?

19 **A.** Say that again.

20 **Q.** Your recalculation does not change the fact that there was
21 a higher incidence, at least as adjusted, of non-Hodgkin's
22 lymphoma in the group that is not exposed than there was in any
23 of the groups Q1 through Q4 where there was exposure?

24 **A.** In the adjusted analysis the incidents in the unexposed
25 was larger than the incidents in the exposed, yes.

PORTIER - CROSS EXAMINATION / LASKER

1 Q. And you did not say that any of your related relative
2 risks comparing higher exposure to lower exposure shows a
3 statistically significant positive association between
4 glyphosate and non-Hodgkin's lymphoma, correct?

5 A. I can't use -- I can't create confidence bounds. That's
6 correct.

7 Q. And you also are not saying that your recalculated
8 relative risks show evidence of a dose-response, correct?

9 A. That is correct.

10 Q. Dr. Portier, last topic. Latency.

11 You agree that because the latency period for cancer can
12 take years, evaluation of epidemiologic studies should consider
13 whether the exposure occurred sufficiently long ago to be
14 associated with cancer development, correct?

15 A. Say it again? I'm sorry, it's...

16 Q. Okay. You agree that the cause, the latency period for
17 cancer take years, evaluation of epidemiologic studies should
18 consider whether the exposure occurred sufficiently long ago to
19 be associated with cancer development, correct?

20 A. Correct.

21 Q. You agree that cancer latency is one of the things that
22 you must consider in evaluating the epidemiologic literature,
23 correct?

24 A. Must? Umm -- umm, not really. Not always.

25 Q. Okay. If I could ask you to turn again to Tab 3, which is

1 your deposition in January of 2018.

2 **A.** Which tab is that?

3 **Q.** Tab 3.

4 **A.** Okay.

5 **Q.** And if you could look at Page 11, starting at Line 6.

6 **A.** 11?

7 **Q.** Page 11, yes.

8 (Witness complied.)

9 **Q.** And I asked you the question:

10 **"QUESTION:** It is your opinion that because the
11 latency period for cancers can be long by years,
12 evaluation of studies should consider whether the
13 exposure occurred sufficiently long ago to be
14 associated with cancer development, correct?"

15 And then your answer:

16 **"ANSWER:** I will put it in my own words. Cancer
17 latency is one of the things you must consider in
18 evaluating the epidemiological literature."

19 Correct.

20 **A.** That's what it says.

21 **Q.** And that's what you said, correct, obviously?

22 **A.** That's what I said.

23 **Q.** And you also then state that:

24 **"ANSWER:** In this case I referenced a paper that
25 looked at the estimates of how long it took for

PORTIER - CROSS EXAMINATION / LASKER

1 non-Hodgkin's lymphoma to form, and 6.7 years was a
2 little short."

3 Correct?

4 **A.** That's correct.

5 **Q.** And in your submission to EPA, and we can look at --

6 **A.** Can I do one thing in here, just tell you why I said no
7 today about --

8 **THE COURT:** Sure.

9 **THE WITNESS:** Good. I was a little too soft here.

10 If I have an epidemiology study of a constant exposure
11 chemical that's been in the environment for 50 years and people
12 were looking at it and I am now going to do a case-control
13 study, I don't worry about latency. So I don't necessarily
14 have to look at it in situations where I have long-term
15 exposure.

16 **THE COURT:** But you have to at least look at whether
17 latency is an issue when they are doing such a study, right?

18 **THE WITNESS:** Correct. You look at whether it's an
19 issue or not, but you don't necessarily have to know what the
20 latency is.

21 **BY MR. LASKER**

22 **Q.** And if I could direct you again to Tab 1B, which was -- to
23 your expert report. Your submission to EPA, which we were
24 discussing earlier today.

25 **A.** Tab 1B.

PORTIER - CROSS EXAMINATION / LASKER

1 Q. B as in "boy," I'm sorry.

2 And on Page 6 starting at Line 89, you refer to a study by
3 Kato in 2005, correct?

4 A. Which line do you start on?

5 Q. Line 89.

6 A. Yes.

7 Q. And you state that:

8 "This is a high quality population-based
9 incidence case-control study looking at the
10 relationship between organic solvent exposure and NHL
11 in women."

12 MR. LASKER: And I'm sorry. We can also put this up.
13 It's Slide 36.

14 BY MR. LASKER

15 Q. And continuing:

16 "Looking at the relationship between organic
17 solvent exposure and NHL in women found statistical
18 significance only for women occupationally exposed
19 prior to 1970. And then note that cases and controls
20 were recruited between 1995 and 1998. And cited two
21 other studies with similar results (no reference
22 given). They concluded this long latency was either
23 due to higher exposures prior to 1970 or at least a
24 25-year latency period is required for NHL induction
25 by these exposures."

PORTIER - CROSS EXAMINATION / LASKER

1 Correct?

2 **A.** That's what it says. That's correct.

3 **Q.** And you also cited to two studies that looked at NHL
4 latency for patients who received radiation or chemotherapy for
5 Hodgkin's disease, correct? And you talk about that on Page 7.

6 **A.** Yes.

7 **Q.** And you explain that one of these studies reported
8 latencies ranging from one year to 11 years, with a median
9 latency of 5.5 years. And that the other reported latencies of
10 up to 16 years, correct?

11 **A.** Can I have a minute to read this to make sure I know what
12 I'm looking at?

13 **Q.** Sure.

14 (Brief pause.)

15 **A.** Okay. That's what it says, yes.

16 **Q.** And you state that:

17 "These types of radiation and chemotherapy
18 exposures are rather extreme relative to those from
19 glyphosate, and it would not be surprising for the
20 glyphosate lag time to be longer than that that from
21 chemotherapy and radiation treatment."

22 Correct?

23 **A.** That's what it says.

24 **MR. LASKER:** I have no further questions, Your Honor.

25 **THE WITNESS:** But it says "lag time." I want to be

PORTIER - REDIRECT EXAMINATION / WISNER

1 clear what we're talking about here.

2 There's -- again, we're playing this game with the latency
3 term. The shortest time from exposure to getting NHL is one
4 year. That's quite clear in this literature. Not everybody
5 will get that in one year. That's also clear from this
6 literature.

7 The first part about the 1970 study, I'd have to go back
8 and look at that again to explain it to you, but my
9 recollection is that in that study this is a prospective study,
10 very long prospective study with a small group. They were
11 looking for statistically significant increases. It look a
12 very long time for it to get there.

13 They interpreted it as latency, and that's all I'm
14 reporting here.

15 **MR. LASKER:** Thank you.

16 No further questions, Your Honors.

17 **MR. WISNER:** Your Honor, I'm going to be doing the
18 redirect.

19 **THE COURT:** Okay.

20 **REDIRECT EXAMINATION**

21 **BY MR. WISNER**

22 **Q.** Good afternoon, Doctor.

23 Just since it's right in front you, you're looking at the
24 EPA submission that you made as a comment to the EPA, is that
25 right?

PORTIER - REDIRECT EXAMINATION / WISNER

1 A. Yeah, that's right in front of me here.

2 Q. Okay. In that section --

3 MR. LASKER: Is this in your binder now?

4 MR. WISNER: It's the document you were just reading.

5 A. Tab B. Is that it? Tab B?

6 BY MR. WISNER

7 Q. Now, Doctor, in this submission there is some discussion
8 of latency and lag. Are you referring to cohort studies in
9 this section or are you referring to case-control studies?

10 A. Case-controlled study.

11 Q. What -- why is that important, if at all?

12 A. Well, again, as I stated this morning, you have to
13 accumulate enough patients to see a statistically significant
14 effect, to talk about: Okay, we've got an effect here. That's
15 a function of how big the cohort is and how long you wait.

16 The smaller the cohort the longer you have to wait; the
17 bigger the cohort the faster you see that statistical
18 significant.

19 Q. Specifically you discussed earlier today this issue about
20 latency and how case-control studies have access to a very
21 large population to pull cases from, is that right?

22 A. That's correct.

23 Q. Specifically you have discussed De Roos '03, is that
24 right?

25 A. I discussed a theoretical looking one of those, yes.

PORTIER - REDIRECT EXAMINATION / WISNER

1 Q. Let's just talk about De Roos 2003.

2 A. Okay.

3 Q. What was of the size of the population they pulled cases
4 from?

5 A. Approximately 2 million.

6 Q. And when you're pulling from millions of potential people
7 versus in a cohort study which, for example, in the AHS, you
8 know, we're talking about 50-, 60-, 70,000, how does that
9 affect your interpretation of the results as it relates to the
10 lag issue?

11 A. I certainly -- if -- if we go to the picture I had this
12 morning about latency time, and recognize that a single
13 exposure could send somebody who's susceptible into that
14 pathway to getting disease, then by choosing such a large
15 population, you should pick up some of those. And so you stand
16 a better chance of seeing those types of things.

17 So latency becomes less of a problem in a big study like
18 that than in a cohort study where, especially one like this,
19 this is an outdoor population. So they are healthy -- the AHS,
20 they are generally healthier than the rest of it. So it could
21 even take longer in that cohort because they are generally
22 healthier.

23 And so it's hard to make these easy judgments on latency
24 between any of these studies. I just look for the minimum and
25 see if it makes sense.

PORTIER - REDIRECT EXAMINATION / WISNER

1 Q. Would it be fair to say that in an assessing the latency
2 issue with any epidemiological study, you're not just looking
3 at the potential lag in the study, but you're also looking at
4 the size of the study as well?

5 A. Correct.

6 Q. And so, for example, if the AHS followed 2 million people
7 from day one, would there be as much of a concern about latency
8 in that context?

9 A. No, no. Not at all. You would probably see something
10 much sooner.

11 Q. We talked specifically just now about AHS and you said
12 that these people are healthy --

13 THE COURT: Can you hold on a second?

14 MR. WISNER: Sure.

15 THE COURT: I want to ask a follow-up question about
16 the latency issue.

17 I had a chance to discuss this with Dr. Ritz, but I don't
18 think we've quite discussed this question yet, or maybe we have
19 and I'm just not remembering.

20 But with the case-controlled studies, as you saw and we
21 discussed with Dr. Ritz the other day, you do have these
22 situations where people have been diagnosed with NHL in the
23 early '80s and glyphosate didn't come on the market or wasn't
24 introduced or wasn't approved until '75. And Dr. Ritz said --
25 and so there is automatically, I think, an alarm bell or a

PORTIER - REDIRECT EXAMINATION / WISNER

1 warning sign about latency.

2 Would you agree with that, that it's something that you
3 need to look into?

4 **THE WITNESS:** Definitely you want to look at it very
5 carefully.

6 **THE COURT:** Okay. And Dr. Ritz said that as it
7 relates to exposure to other pesticides, the latency is not a
8 concern in De Roos 2003 because she adjusted for other
9 pesticide use.

10 Do you agree with that, or is there anything that you
11 can -- you would like to say about that?

12 **THE WITNESS:** I don't think I understood. If you
13 could try me again.

14 **THE COURT:** Sure. So you might say: Let's look at
15 these pools of people who were diagnosed with NHL in 1981. And
16 glyphosate didn't come on the market until '75.

17 We -- with these studies we tended to prefer a longer
18 period between exposure and diagnosis. And if we don't have a
19 longer period between exposure and diagnosis, we are concerned
20 that perhaps the disease was caused by something else.

21 And so the first question you might ask when you see the
22 numbers that I just described, the dates that I just described
23 is: Well, maybe the pesticide that the people were using
24 before they started using glyphosate is what caused the
25 non-Hodgkin's lymphoma rather than glyphosate, because after

PORTIER - REDIRECT EXAMINATION / WISNER

1 all, they were presumably exposed to that, you know, in the 5-
2 to 15-year-period before diagnosis.

3 **THE WITNESS:** Now I understand your question.

4 **THE COURT:** And so, you know, the -- and so Dr. Ritz
5 had a response to that and I wanted to hear your response to it
6 as well.

7 You know, is that a concern with these studies? Is it a
8 concern that maybe some other pesticide exposure that occurred
9 during the 5- to 15-year-period before diagnosis is more likely
10 to have caused NHL in these people than glyphosate.

11 **THE WITNESS:** Okay. Now I -- now I get it.

12 Because De Roos adjusted for every other pesticide she
13 could possibly adjust for, unless there is a phantom pesticide
14 out there or a phantom exposure causing the NHL, then seeing
15 NHL should worry you.

16 If you hadn't seen NHL in that study you might argue:
17 Okay, the latency wasn't long enough.

18 But having seen it and having adjusted for everything, I
19 would have to conclude that that's a real NHL finding.

20 **THE COURT:** I mean, you said having adjusted for
21 everything. I mean, it -- so I get the point about pesticides,
22 right? She adjusted for all these other pesticides.

23 But there are other things that could be causes of
24 non-Hodgkin's lymphoma that are not pesticides, right? And
25 I -- I don't know what they are. But a couple of -- a couple

PORTIER - REDIRECT EXAMINATION / WISNER

1 of the ones that have been thrown out are excessive sun
2 exposure, exposure to diesel fumes. Who knows what else.

3 I mean, given the dates on which these people were
4 diagnosed and given the date that glyphosate was introduced
5 into the market, don't we -- isn't it still an alarm bell? I
6 mean, isn't it still a real concern that, you know, geeze, we
7 normally want there to be, like, a ten-year latency period at
8 least for an epidemiological study.

9 Don't we need to be really concerned that, you know, these
10 cases of NHL were caused by something else that we haven't
11 thought about and not glyphosate?

12 **THE WITNESS:** I would argue not in this case.
13 Given -- given the body of evidence -- I can't look at one
14 study at a time. I have to look at the body of evidence.

15 So let's take a theoretical case. Five years after the
16 introduction of a pesticide, I do a case-controlled study and I
17 see something. That flags. The latency period clearly flags
18 on that and I would mention it at the end of my study.

19 Five years later I do a new case-controlled study and it's
20 still there. Well, I might not comment on latency anymore
21 because now I've seen it twice in two studies.

22 And then I do a third study five years later and I see it
23 there as well. Now, I'm not worried about latency in the first
24 study because I've got a consistent picture coming across here.
25 And so --

PORTIER - REDIRECT EXAMINATION / WISNER

1 **THE COURT:** We don't really have that for glyphosate,
2 right? I mean, we don't really have -- we don't have -- we
3 don't have a group of people that were diagnosed in, you know,
4 the first happen of the '80s and then a group of people that
5 were diagnosed five years later, and then five years after
6 that, and then five years after that, right?

7 I mean, the case-controlled studies stopped before we
8 could get to the point that you're describing, right?

9 **THE WITNESS:** I wouldn't say that, no. I would say
10 that you have 10, 12 years of exposure for some of the people
11 in these cohorts, in these case-controlled studies.

12 And when you look again at the size of the population --

13 **THE COURT:** I guess Ericksson would be an example of
14 that.

15 **THE WITNESS:** Yeah.

16 But, again, when you look at the size of the base
17 population that these case-controlled studies represent, all of
18 them as a conglomerate -- I don't know what it is, but it's
19 going to be in excess of 2 or 3 or 4 million people. And from
20 such a large draw, you can get the people who have really short
21 latencies and you can actually see the effects.

22 Because, I mean, it's clear to me that even if somebody
23 comes in and says the latency should be six years on average
24 for glyphosate, that's -- for NHL, that's still average. 50
25 percent of the people were less, 50 percent of the people were

PORTIER - REDIRECT EXAMINATION / WISNER

1 more, if it's a bell curve. And so when you get that big
2 population, you can see those short latency people.

3 **THE COURT:** Okay. Thank you.

4 **BY MR. WISNER**

5 **Q.** I would like to follow up on two little points the judge
6 raised. The first is we talked about diesel, sunshine, things
7 like that.

8 While those -- putting aside whether or not those, in
9 fact, are something that can cause NHL, that's the first aspect
10 of a confounder.

11 Let's look at the second one. Is there any reason to
12 believe in De Roos 2003, that the control group was being
13 exposed to less diesel or less sunshine than the study group?

14 **A.** No.

15 **Q.** And so when we talk about potential other causes of a
16 signal that we're seeing in De Roos 2003, can you think of
17 anything that would have differentially impacted just
18 glyphosate users in the study?

19 **A.** Other than pesticides. Farm use, farm -- working as a
20 farmer might have made a difference, but that was controlled
21 for in some of the studies.

22 No, I -- I can't think of anything right off the bat.
23 Right there. Just doesn't come out. No.

24 **Q.** So conceivably we could sort of conjure up other potential
25 explanations for things that cause NHL. But unless we can show

PORTIER - REDIRECT EXAMINATION / WISNER

1 that it's differentially impacting these two groups, it's a
2 non-issue because we would expect to see it in both?

3 **A.** It would be non-differential, that's correct.

4 **Q.** And the second issue on this latency point, and I think
5 this came out with Dr. Ritz but I want to get your thoughts on
6 it.

7 When we're talking a risk that we're seeing in a study, if
8 there is insufficient latency and we see no risk, does that
9 mean we should study it longer?

10 **A.** It depends on how much you believe that there is other
11 data suggesting you really need to study this, this agent.
12 Then you would indeed study it some more.

13 **Q.** But if it's the opposite, where in a relatively short
14 period of time you see a fully adjusted risk, does latency in
15 that context invalidate the data you're seeing?

16 **A.** No. I -- even if I was told the latency was a median of
17 six years or ten years, I still don't think it would invalidate
18 it because it's an entire distribution.

19 And if I'm corrected for everything I really need to just
20 for, then I have to believe it's the exposure that I'm really
21 looking at. That has to be my hypothesis moving forward.

22 **Q.** And while we're talking about De Roos, a couple of
23 follow-ups on it.

24 Mr. Lasker asked you some questions about whether or not
25 NAPP sort of subsumes De Roos. Do you recall that?

PORTIER - REDIRECT EXAMINATION / WISNER

1 **A.** Yes.

2 **Q.** In De Roos, how many pesticides did she control for?

3 **A.** Forty-eight I think, something in that neighborhood.

4 **Q.** How many did the NAPP control for?

5 **A.** I don't really recall -- oh, I think it was on -- it was
6 in the draft manuscript, it was three, I believe.

7 **Q.** So it would be fair to say then that the adjusted estimate
8 in De Roos is fundamentally different than the adjusted
9 estimates in the NAPP study?

10 **A.** That's correct.

11 **Q.** I also understand that in De Roos 2003 the researchers
12 actually excluded anybody who said I don't know about their
13 exposures to the 47 different pesticides, is that right?

14 **A.** That is correct.

15 **Q.** And so what they were left with in the De Roos study is
16 just those people where they had complete exposure information
17 for every single person, is that right?

18 **A.** That's correct.

19 **Q.** Did they do that in NAPP?

20 **A.** Don't know.

21 **Q.** Okay. Assuming they didn't, would that also make the
22 De Roos analysis different?

23 **A.** Assuming they threw out people who --

24 **Q.** Sorry. I'll ask that question again.

25 Assuming they didn't do that in NAPP, that they just used

PORTIER - REDIRECT EXAMINATION / WISNER

1 everybody, regardless if they used -- said I don't know --

2 **A.** And then imputed some sort of exposure?

3 **Q.** Precisely.

4 **A.** They would be different.

5 **Q.** Okay. Would it be fair to say then that De Roos 2003
6 stands on its own?

7 **A.** Yes. That would be fair to say.

8 **Q.** And would it be fair to say that you should ignore De Roos
9 2003 because of NAPP?

10 **A.** I didn't -- I've not looked at NAPP close enough to be
11 able to say that. Other than the characteristics of the NAPP
12 study are different in terms of analysis, interpretation, and
13 cases and controls than in De Roos. And so I would be
14 extraordinarily cautious in ruling out, throwing way De Roos
15 and keeping NAPP.

16 **Q.** Okay. Let's take a look quickly at the Andreotti study.
17 I believe it's Tab 13 in the binder in front of you -- or is it
18 12?

19 Let me find it. One second.

20 **THE COURT:** Your binder or --

21 **MR. WISNER:** My binder. I was trying to keep it
22 simple. I will give you my binder.

23 **A.** 13 is Heltshe.

24 **BY MR. WISNER**

25 **Q.** Here is my binder --

PORTIER - REDIRECT EXAMINATION / WISNER

1 **A.** I have a copy.

2 **Q.** Do you have Andreotti in front of you?

3 **MR. WISNER:** In our binder, Your Honor, it's
4 Exhibit 12. It's the first thing.

5 **BY MR. WISNER**

6 **Q.** Do you have it, Doctor? Do you have Andreotti in front of
7 you?

8 **THE COURT:** Do you want to take a break?

9 **THE WITNESS:** No, no. We're moving along.

10 **BY MR. WISNER**

11 **Q.** I know you just got back from Europe a few days ago.

12 **A.** I'm ready to go home.

13 **Q.** Okay. So if you look at Andreotti, there is actually --
14 if you just go to Table 1, I believe it's on Page 3 of 8. Do
15 you see that, Doctor?

16 **A.** Yes, I see it.

17 **Q.** Okay. And so one of the things that we talked about on
18 direct and was alluded to by Mr. Lasker was that there was
19 different characteristics between the -- to people who never
20 used glyphosate in the AHS and the people who did, right?

21 **A.** Correct.

22 **Q.** And in De Roos 2005 they actually, because of those
23 differences, chose to analyze the -- the risks relative to the
24 lower exposed than to the unexposed, is that right?

25 **A.** That is correct.

PORTIER - REDIRECT EXAMINATION / WISNER

1 Q. All right. So if we look in Andreotti on Table 1, we also
2 have a list of the characteristics of the two -- of the
3 different groups. Do you see that?

4 A. That's -- yes.

5 Q. And one thing that I want to just point out here, you see
6 highest level of education. Do you see that?

7 A. Yes.

8 Q. High school or less. And it looks like about 70 percent
9 of the never users of glyphosate had high school or less, is
10 that right?

11 A. That is correct.

12 Q. Whereas, about 60 percent, or 57.9 percent of the median
13 users had a high school or less. Do you see that?

14 A. Correct, yes.

15 Q. And for the heavy users that's less than 50 percent,
16 right?

17 A. Right.

18 Q. Now, putting aside issues of pesticide exposures or
19 whatnot, are there such things like socioeconomic differences
20 that might lead certain groups of people to be more prone to
21 cancer?

22 A. Oh, absolutely. It's certainly well known. The people
23 with high school or less education tend to have worse jobs,
24 truck drivers, offloading and onloading things. So if we talk
25 about diesel exposures, they are probably going to have more

PORTIER - REDIRECT EXAMINATION / WISNER

1 than those with a high school education.

2 **Q.** And so would you agree then that when you have really
3 different groups of people and the cases and the controls are
4 so different, that it makes sense to sort of try to find a
5 group that are more similar so you can actually see a fair
6 comparison?

7 **A.** When -- when you're doing an exposure response comparison,
8 yes.

9 **Q.** Now, you tried to calculate the crude -- the crude odds
10 ratios, in doing that sort of approach with the Andreotti
11 numbers, correct?

12 **A.** I wouldn't call them true, but the odds ratios against a
13 different -- the lowest quartile.

14 **Q.** I meant "crude," not "true."

15 **A.** Oh, crude.

16 **Q.** You generate some crude numbers based on what was in the
17 paper, is that correct?

18 **A.** Correct.

19 **Q.** Obviously, you can't generate odds ratios because you
20 don't have the data and you can't look at the variances and the
21 standard deviations and stuff, right?

22 **A.** Correct.

23 **Q.** But you can kind of get a sense of what the numbers would
24 be, is that right?

25 **A.** Yes.

PORTIER - REDIRECT EXAMINATION / WISNER

1 Q. Now, you recall during the cross-examination Mr. Lasker
2 showed you some emails that you sent to reporters?

3 A. Yes.

4 Q. Do you remember that?

5 A. Yes.

6 Q. And he pointed out -- if you have their binder -- is his
7 binder up there or no?

8 A. I have their binder.

9 Q. You have their binders? Go to, like, Tab 5. This is one
10 of the emails they showed you.

11 (Witness complied.)

12 Q. Do you see the email right here, Doctor?

13 A. Yes.

14 Q. I don't believe this has an exhibit number, but it's Tab 5
15 in the binder.

16 MR. LASKER: We will have to do the exhibits, but go
17 ahead.

18 BY MR. WISNER

19 Q. Here you have list Q1 1, Q2 1, Q3 1.6. Do you see that?

20 A. Yes.

21 Q. And Mr. Lasker suggested that those numbers were
22 incorrect. Do you recall that?

23 A. He had questions about them and he stopped questioning. I
24 don't know where he was going with it, but he did mention it.

25 Q. He said we'll get back to it and we never got back to it,

PORTIER - REDIRECT EXAMINATION / WISNER

1 did we?

2 **A.** Yes, correct.

3 **Q.** Okay. Well, let's take a look. Let's go to your
4 supplemental report, which is Exhibit 164 in our binder.

5 **A.** I don't have "our" binder.

6 **MS. WAGSTAFF:** We should do a joint binder next time.

7 **THE COURT:** There is never going to be a next time.

8 (Whereupon document was tendered to the witness.)

9 **A.** Thank you.

10 **BY MR. WISNER**

11 **Q.** Do you have Exhibit 164? Do you find it? 164?

12 **A.** Yes.

13 **Q.** Okay. All right. And if you turn to page -- do you know
14 where you calculated the numbers? I think it's on Page 2,
15 second-to-the-last paragraph on Page 2. Do you see that?

16 **A.** Yes, I do.

17 **Q.** And you list the numbers, and the second-to-the-last
18 sentence says:

19 "This would lead to rate ratios for the quartile
20 analysis of lifetime days."

21 And then you list the lifetime days numbers. Do you see
22 that?

23 **JUDGE PETROU:** I'm sorry. Where are you?

24 **MR. WISNER:** I'm sorry. The second paragraph,
25 Page 2, bottom of that paragraph. It's "This would lead to

PORTIER - REDIRECT EXAMINATION / WISNER

1 rate ratios." Second-to-the-last sentence on Page 2.

2 **THE COURT:** Exhibit 164?

3 **MR. WISNER:** Yes, Supplemental Expert Report of
4 Dr. Chris Portier.

5 **THE COURT:** No. This is Dr. Ritz's supplemental
6 report. Exhibit 164?

7 **MR. WISNER:** Oh.

8 **THE WITNESS:** Not mine.

9 **MR. WISNER:** There was a printing error. I
10 apologize.

11 **BY MR. WISNER**

12 **Q.** Doctor, can I just approach you with your report?

13 **THE COURT:** It sounds like he has it and we do not
14 have it.

15 **MR. WISNER:** Your Honor, may I approach?

16 **THE COURT:** Sure.

17 **MR. WISNER:** This is the supplemental report.

18 (Whereupon document was tendered to the Court.)

19 **BY MR. WISNER**

20 **Q.** Do you see the part where you discuss the numbers, Doctor?

21 **A.** Second paragraph from the bottom, last of that paragraph.

22 **Q.** Yeah, last two sentences. Do you see that?

23 **A.** Yes.

24 **Q.** And then you list the numbers for the -- can you just read
25 those last two sentences?

PORTIER - REDIRECT EXAMINATION / WISNER

1 **A.** This would lead to rate ratios for the quartile analysis
2 of lifetime days of Q1 equal 1; Q2 equals --

3 (Court reporter clarification.)

4 **A.** Q1 equals 1; Q2 equals 1.096; Q3 equals 1.118; and Q4
5 equals 1.053. And for intensity Q2 equal 1; Q3 equal 1.06; and
6 Q4 equals 1.048.

7 Do you want the last sentence too?

8 **Q.** That's fine, Doctor.

9 So those are actually the numbers, although I believe in
10 the email you rounded 1.048 to 1.05, is that right?

11 **A.** Yes.

12 **Q.** Okay. So have you ever reported different numbers to the
13 best of your knowledge?

14 **A.** No.

15 **Q.** Okay. I'd like to go back to --

16 **THE COURT:** Can I ask? I'm trying to figure out if
17 we should take a break or plow ahead. Do you have a rough
18 estimate of how much longer you have?

19 **MR. WISNER:** In my head, ten minutes. But
20 realistically 20.

21 **THE COURT:** Let's take a break then. We'll take a
22 break until 3:00 o'clock.

23 (Whereupon there was a recess in the proceedings
24 from 2:47 p.m. until 3:00 p.m.)

25 **MR. WISNER:** May I proceed, Your Honor?

PORTIER - REDIRECT EXAMINATION / WISNER

1 **THE COURT:** Sure.

2 **BY MR. WISNER**

3 **Q.** Let's address some quick points from De Roos, 2003.

4 Please turn to Exhibit 15 in our binder.

5 (Witness complied.)

6 **Q.** Do you have in it front of you, Doctor?

7 **A.** Sorry?

8 **Q.** Do you have it in front of you?

9 **A.** Yes.

10 **Q.** All right. So this is the 2003 De Roos publication, is
11 that right?

12 **A.** That's correct.

13 **Q.** All right. I just want to talk about a couple quick
14 points just to clean up the record on this. On Page 3 do you
15 see Table 1?

16 **A.** Yes.

17 **Q.** And this reflects the Bayesian assumptions made in doing
18 the hierarchical analysis, right?

19 **A.** Yes, that's correct.

20 **Q.** And to be clear, Bayesian statistics takes the approach
21 that we take what we know in the world and then see how the
22 data comports with what we know, is that fair?

23 **A.** Yeah, to some degree.

24 **Q.** Whereas, logistical regression, which is sort of more
25 commonly used, just says what does the data show me, is that

PORTIER - REDIRECT EXAMINATION / WISNER

1 right?

2 **A.** Yeah. That's a good simple explanation, yes.

3 **Q.** That's what my statistics professor taught me.

4 So if we look at this carcinogenic probability, it says
5 for glyphosate .03. Do you see that?

6 **A.** .3.

7 **Q.** Yes, sorry. .3, I apologize. 0.3.

8 And based on the footnote here, that assumption about the
9 potential carcinogenicity of glyphosate is based on the
10 assertion that it's not assessed by IARC or U.S. EPA IRIS or
11 deemed unclassifiable in one or both assessments.

12 Do you see that?

13 **A.** Yes.

14 **Q.** Now, we know today that that assumption is wrong, right?

15 **A.** Yes.

16 **Q.** And classified by IARC, right?

17 **A.** But it used this paper to do it so it gets a little
18 circular, but yes. It's now classified by IARC.

19 **Q.** Fair enough.

20 But if we were to redo this analysis today, that
21 assumption about the carcinogenicity of glyphosate would be a
22 higher number, right?

23 **A.** Yes.

24 **Q.** And I mean, I don't know what number it would be, but it
25 would probably be somewhere between .8, which is a probable

PORTIER - REDIRECT EXAMINATION / WISNER

1 human carcinogen in one assessment and possible human
2 carcinogen in another assessment, or .6, probable human
3 carcinogen in one assessment and unclassifiable in the other.
4 Is that fair to say?

5 **A.** Yeah, that's the weight they would give it.

6 **Q.** Okay. And if you make the assumption that there is a much
7 higher probability that, in fact, glyphosate is a carcinogen,
8 does that increase -- would that likely increase the odds ratio
9 that we see for the hierarchical analysis?

10 **A.** Yes, it would, likely. It would almost certainly.

11 **Q.** Okay. Now, there has been some questions about whether or
12 not De Roos 2003 controlled for other pesticides in the
13 logistic regression, is that right?

14 **A.** There were some questions, yes.

15 **Q.** Let's just first be very clear. Do you have any doubt
16 that De Roos 2003 controlled for other pesticides in the
17 logistical regression?

18 **A.** None at all.

19 **Q.** Okay. And if we turn to -- we read some portions of it
20 earlier, so I'm not going to read those portions. But I want
21 to point out a few more sentences in here that might be
22 helpful.

23 If you turn to Page 7, and we're looking at the left
24 column, the paragraph -- the second full paragraph, this is
25 adjustment. Do you see that?

PORTIER - REDIRECT EXAMINATION / WISNER

1 **A.** Second full -- yes.

2 **Q.** And it reads:

3 "Adjustment for multiple pesticides suggested
4 that there were few instances of substantial
5 confounding of pesticide effects by other pesticides."

6 Do you see that?

7 **A.** Yes, I do.

8 **Q.** What do you understand that sentence to be saying?

9 **A.** That you adjusted for all of the multiple pesticides they
10 were looking at.

11 **Q.** And if you turn the page, Page 8, again the first sentence
12 of the first full paragraph, do you see that?

13 **A.** Yes.

14 **Q.** And it reads:

15 "This pooled study of multiple agricultural
16 pesticides provided an opportunity to estimate the
17 effect of each specific pesticide and certain
18 pesticide combinations on NHL incidents adjusted for
19 the use of other pesticides."

20 Do you see that?

21 **A.** That's what it says.

22 **Q.** And what do you understand that sentence to mean?

23 **A.** They adjusted for other pesticides.

24 **Q.** Okay. You were at IARC, correct?

25 **A.** I have been at IARC several times.

PORTIER - REDIRECT EXAMINATION / WISNER

1 Q. Let me be more specific. You were at the IARC meeting
2 that assessed glyphosate, right?

3 A. The working group meeting, yes, I was.

4 Q. And to the best of your knowledge, did people within IARC
5 when they were looking at this data think that De Roos had
6 adjusted for other pesticide use?

7 A. Yes.

8 Q. Okay. All right. Let's turn to Exhibit -- Tab 1B in
9 their binder. It's this EPA submission that you made.

10 A. Okay.

11 Q. And actually before we go there, I just want to clarify
12 something, Doctor.

13 The opinions that you've given about what IARC concluded
14 and what it did not conclude as it relates to glyphosate, is
15 that based both on your reading of the monograph as well as
16 your own personal experience at the actual working group?

17 A. Would you say the question again?

18 Q. Sure. You've offered some opinions about what the IARC
19 classification means, specifically with related to real world
20 exposures.

21 A. Correct.

22 Q. Okay. That opinion, is that not just based on how you
23 read the IARC Monograph, but also based on the fact that you
24 were actually there in the discussions when they were making
25 this decision?

PORTIER - REDIRECT EXAMINATION / WISNER

1 **A.** Yes. I have been to roughly eight IARC Monographs and I
2 helped to draft the preamble that sets the rules for what they
3 are doing and how they express it. So, yes, I do understand
4 what they intend this to mean.

5 **Q.** And just putting aside your personal opinion for a second.
6 The IARC classification of glyphosate, just by itself, does
7 that support the conclusion that, in fact, glyphosate can cause
8 non-Hodgkin's lymphoma?

9 **A.** Yes.

10 **Q.** And does it support the conclusion that it can cause
11 non-Hodgkin's lymphoma as it's occurring and being used in the
12 real world today?

13 **A.** Yes.

14 **Q.** Now, turning to Exhibit 1B in the -- sorry, Tab 1B in
15 their binder. Do you see this, Doctor?

16 **A.** Yes.

17 **Q.** And this is an attachment that was included as part of
18 your expert report, is that right?

19 **A.** That is correct.

20 **Q.** Now, I notice this is dated October 4th, 2016, right?

21 **A.** Correct.

22 **Q.** And your expert report, that's dated -- well, it's dated
23 afterwards, right?

24 **A.** Yes.

25 **Q.** So to be clear, what exactly are you doing in this EPA

PORTIER - REDIRECT EXAMINATION / WISNER

1 submission or comment?

2 **A.** So EPA was evaluating the carcinogenicity of glyphosate,
3 and I spent almost my entire work career writing up how to
4 evaluate and how to interpret studies. I helped EPA write
5 their guidelines. And when I saw the document they had put
6 together, I knew it was not following their guidelines, which
7 are good scientific guidelines. And I have concern that they
8 are not doing what they had said they were doing, what the law
9 requires them to do.

10 And so my comments here are specific to that document and
11 the deficiencies in that document.

12 **Q.** I notice on the first page of this submission you have a
13 disclaimer. Do you see that?

14 **A.** Yes.

15 **Q.** It says essentially that you're doing this on your own
16 dime, is that right?

17 **A.** That's correct.

18 **Q.** Why?

19 **A.** Because I care. Science -- science is supposed to be
20 there to improve the decision-making process; to protect public
21 health, if at all possible. It wasn't being used here in a
22 proper way. It was being used to -- I don't know why they were
23 doing it, but it was clearly the wrong way to evaluate the
24 data. I felt I had to comment.

25 **Q.** Now, the time you prepared this comment to the EPA, had,

PORTIER - REDIRECT EXAMINATION / WISNER

1 you conducted a full Bradford Hill analysis of causation?

2 **A.** No, no.

3 **Q.** What were you doing then when you submitted this to the
4 EPA?

5 **A.** Again, I'm commenting specifically on what EPA did in
6 certain parts of their report. It's certainly not this -- this
7 is not an entire evaluation of all the literature for
8 glyphosate. This is a very specific document.

9 **Q.** After you submitted this, did you then sit down and do
10 that full Bradford Hill analysis?

11 **A.** Yes, I did.

12 **Q.** And in doing it, did you look at a lot more material and a
13 lot more studies and data than you looked at for preparing this
14 comment?

15 **A.** Yes. That is true.

16 **Q.** Okay. So would it be fair to say then that your opinions
17 as they exist today are accurately described in your expert
18 report but they aren't fully encapsulated in the attachments to
19 it?

20 **A.** That is correct.

21 **Q.** Okay. Now, in this document there was a couple questions
22 that were asked of you, and I just want to sort of explore them
23 a little bit more.

24 Now, turning to Page 6 -- I'm sorry, Page 7 of the
25 document. Starting at Lines 116 through Lines 127, you kind of

PORTIER - REDIRECT EXAMINATION / WISNER

1 do a summary of the human evidence section, is that right?

2 **A.** 116 to 127?

3 **Q.** Yes.

4 **A.** Yes.

5 **Q.** At the very end of it you ask some rhetorical questions
6 and then answer them, is that right?

7 **A.** Yes, that is right.

8 **Q.** Okay. And you said here:

9 "So is causality plausible here?"

10 And you write:

11 "Yes, absolutely."

12 Right?

13 **A.** Correct.

14 **Q.** What does that mean?

15 **A.** That means that there is no reason to suspect from these
16 data in the humans that it is not causal for glyphosate causing
17 non-Hodgkin's lymphoma.

18 **Q.** And when you say here yes, that it's absolutely plausible,
19 causation is, can you give me a weight of what you believe that
20 would be, if you can?

21 **A.** Based upon my current understanding of all of this and --
22 I -- I thought I said it this morning. It's about 90 percent.
23 I'm 90 percent there for -- of the way there for absolute
24 undeniable causation.

25 **Q.** But you're not 100 percent?

PORTIER - REDIRECT EXAMINATION / WISNER

1 **A.** I'm not at 100 percent.

2 **Q.** And the 100 percent is exactly what the next sentence
3 refers to, when it says: Is it demonstrated? No, clearly
4 not."

5 Is that right?

6 **A.** That clearly refers to the 100 percent.

7 **Q.** Okay. And this is also in the context of the human
8 evidence, right?

9 **A.** Correct.

10 **Q.** Now, when you take the human evidence, which is
11 epidemiology, and you start combining it with the extensive
12 amount of toxicology data, the mechanism data, and what we know
13 about cancer in humans, how does that affect your opinion?

14 **A.** That's what got me to the 90 percent, was using all of the
15 information in front of me.

16 Again, the animal data supports what you're seeing in the
17 human data. The mechanism data supports what you're seeing in
18 the human data. All of it pushes you in the direction of
19 causality.

20 **Q.** Now, on Page 4 of this submission, the last sentence -- we
21 talked about it briefly on cross -- it says:

22 "EPA should declare glyphosate a probable human
23 carcinogen?"

24 Do you see that?

25 **A.** Yes.

PORTIER - REDIRECT EXAMINATION / WISNER

1 Q. And then you go on to say:

2 "And then conduct a risk assessment."

3 Right?

4 A. That is correct.

5 Q. All right. What exactly is an EPA risk assessment? I
6 think there has not really been a lot of testimony about that.
7 What is that?

8 A. Okay. So EPA's mission is to protect the public health
9 from exposure to environmental issues, and chemicals are one of
10 them. Pesticides are one of them.

11 When EPA does a risk assessment, they -- ignoring anything
12 to do about human exposure at this point, they are going to
13 look at the evidence they have in front of them and they are
14 going to try to make a dose-response curve, something they
15 believe links human exposure to the probability of getting
16 cancer for -- sometimes specific cancers, but very seldom is it
17 a specific cancer because most times they build those curves
18 from and animal data.

19 The reason for that is because very few times do you have
20 human epidemiology data with enough exposure information that
21 you can do a good dose-response curve. So they use other kinds
22 of extrapolations to do that.

23 So they build that curve. Human dose across the bottom.
24 Probability of cancer across the Y-axis.

25 Then they say: What are we willing to accept as risk to

PORTIER - REDIRECT EXAMINATION / WISNER

1 the population? One in 100,000? One in a million? And so
2 they take their curve and they estimate what dose will give
3 them that level of risk in the population. And that's probably
4 where they set their standard.

5 Standards can be very different in this field because it
6 might be in an eight-hour workday no more than this. Over a
7 month no more than that. So standards get a little
8 complicated. But that's the basic gist of it. They are trying
9 to set a standard.

10 **Q.** And in setting that standard they look at stuff like
11 absorption rates and how -- how it's being used in an
12 occupational setting and stuff like that?

13 **A.** Correct.

14 **Q.** Is that really relevant to the question we have here,
15 which is: Does this stuff cause cancer?

16 **A.** I -- I guess. I'm always a little vague on what the
17 question is here. But if it's -- if it's an issue of does it
18 cause cancer or not, that is different than the risk assessment
19 issue, absolutely.

20 **Q.** And to be clear, even in the context of a risk assessment,
21 I mean the EPA is actually assuming people are going to get
22 cancer, is that right?

23 **A.** The expectation is that one in a million people in the
24 country would get cancer if a million people were exposed to
25 this.

PORTIER - REDIRECT EXAMINATION / WISNER

1 Q. So really what they are doing is they are setting a policy
2 of what we're willing to accept people's exposures to this
3 otherwise known carcinogen?

4 A. It's -- sort of. It's a policy. It's a regulation.
5 It's -- it's society's way of trying to protect themselves.

6 Q. Now, you understand that, obviously, governments in Europe
7 do things a little differently, right?

8 A. That's correct.

9 Q. In fact, you've helped develop some of those standards as
10 well, is that right?

11 A. Some of the ways they do things, yes.

12 Q. Are you familiar that the State of California has a set of
13 standards as well?

14 A. Some of them. I'm familiar with some of them.

15 Q. You do understand that the State of California has
16 determined that glyphosate is a substance known to cause
17 cancer. Are you aware of that?

18 A. I was aware of that.

19 Q. And you are aware that they are currently looking at
20 exactly the question you brought up. What exposure is the
21 minimum allowed? Do you understand that?

22 A. Yes.

23 Q. In fact, I believe you submitted a comment to the Office
24 of Environmental Health and Human Hazard Assessment here in
25 California, is that right?

PORTIER - REDIRECT EXAMINATION / WISNER

1 **A.** That's correct. It was a very short note. They were only
2 considering the animal cancer data that was considered by IARC,
3 and I wanted to suggest that they look a little broader.

4 **Q.** And that's because you wanted to make sure they were
5 getting the right exposure levels adjusted for -- in the mice
6 and rat studies as it relates to, for instance, humans, right?

7 **A.** Correct.

8 **Q.** But that was, to be clear, after they had determined that
9 it, in fact, causes cancer?

10 **A.** I don't know that process well enough.

11 **Q.** Fair enough.

12 **A.** I can't answer that question.

13 **Q.** Fair enough.

14 **THE COURT:** You don't know how they came to the
15 determination -- whoever "they" is in California, you don't
16 know how they came to the determination that glyphosate is,
17 quote/unquote, known by the State of California to cause
18 cancer.

19 **THE WITNESS:** Oh, I do know that.

20 **THE COURT:** Okay. How is that?

21 **THE WITNESS:** If a -- what's the term they use -- a
22 respected entity or something along those lines declares it,
23 then they will put on it their list for Prop 65. And IARC is
24 on that list. And so when IARC declared it, they took the
25 action of putting it on the list.

PORTIER - REDIRECT EXAMINATION / WISNER

1 **THE COURT:** I was always a little confused about
2 that. I mean, if a respected body like the IARC concludes
3 something is a probable carcinogen or a possible carcinogen,
4 then the State of California all of a sudden announces that the
5 chemical or the substance is known by the State of California
6 to cause cancer.

7 How did -- do you have any idea how the State of
8 California makes that leap from "possible carcinogen" or
9 "probable carcinogen" to "known by the State of California" to
10 cause cancer?

11 **THE WITNESS:** If I understand California bureaucracy,
12 and I might not, Prop 65 set up a committee that decides who
13 these respected entities are. And once that's done, the rest
14 of the process becomes somewhat regimented.

15 The actual wording for California has -- knows this,
16 whatever it is.

17 **THE COURT:** "Known by the State of California."

18 **THE WITNESS:** I think that's in the law. I think
19 that's in the Prop 65.

20 **THE COURT:** So this law says that when the IARC
21 concludes that something is possibly carcinogenic or probably
22 carcinogenic, the requirement then is that companies have to
23 declare that it's known by the State of California to be a
24 carcinogen?

25 **THE WITNESS:** I think it's almost that. I think when

PORTIER - REDIRECT EXAMINATION / WISNER

1 a respected entity defined by this committee and the committee
2 also decides is it probable or possible, I don't know if
3 Prop 65 works for possible human carcinogen.

4 **THE COURT:** It does.

5 **THE WITNESS:** I don't remember. I don't know. But I
6 know it is probable.

7 **MR. WISNER:** I would be happy to brief the issue if
8 the Court would like.

9 **THE COURT:** You don't need to.

10 **MR. WISNER:** There is good case law on it.

11 Okay. Sorry, I went down this rabbit hole of the
12 California EPA. I apologize.

13 **BY MR. WISNER**

14 **Q.** But I -- I just kind of want to point out something that I
15 think came out just now, this idea of IARC being a respected
16 organization.

17 You actually -- this came up in cross-examination. There
18 was about this publication that you were looking to have
19 published relating to the glyphosate analysis and comparing it
20 to what EFSA did, is that right?

21 **A.** The emailed referred to a letter that we were writing that
22 eventually got published as a publication. But it referred to
23 the letter criticizing EFSA on the way they did their
24 evaluation.

25 **Q.** How many people signed that letter with you?

PORTIER - REDIRECT EXAMINATION / WISNER

1 **A.** I think it was 96.

2 **Q.** We've heard a lot of testimony that when you have four
3 epidemiologists you'll have 25 opinions or something. Is there
4 any significance to you, Dr. Portier, that 95 other
5 world-renowned scientists would join you in your statement?

6 **A.** They all agreed with the statement. They very carefully
7 looked at it. I don't know what more to say about that.

8 It was -- we all feel the same way. We spend a lot of
9 time and effort developing methods and evaluating literature.
10 We want our governments to do the same -- we want them to do it
11 right. And so, yeah, they were all very much into this.

12 **Q.** I guess my last question or my last follow-up question --
13 I never say last one because you never know if it will be your
14 last.

15 When IARC's 17 scientists, the voting members, got
16 together to discuss glyphosate in 2015, did they have anything
17 to gain or lose by classifying glyphosate as a probable human
18 carcinogen?

19 **A.** No.

20 **Q.** Now, let's contrast that to, for example, the EPA. The
21 EPA has classified glyphosate as a non-carcinogen since the
22 '70s, right?

23 **A.** Yes. That's its current classification. In fact, it's
24 slightly different. I think it's unlikely to be carcinogenic
25 in humans.

PORTIER - REDIRECT EXAMINATION / WISNER

1 **Q.** We talked briefly about the SAP, or the Scientific
2 Advisory Panel, in the EPA's recent assessment.

3 If, in fact, the EPA were to say tomorrow: You know what?
4 It does cause cancer. They would have to effectively say that
5 they were wrong for 40 years, is that right?

6 **A.** I guess that's correct.

7 **MR. WISNER:** No further questions, Your Honor.

8 **THE COURT:** What about my questions?

9 **MS. GREENWALD:** Toxicology.

10 **THE COURT:** Just go ahead and answer those questions?

11 **MR. WISNER:** I can lead you a little bit.

12 **BY MR. WISNER**

13 **Q.** The first question was if you get rid of the pooled
14 analysis, how does that effect your toxicology opinions?

15 **A.** The pooled analysis is just a tool for me to better
16 understand the strength of the evidence across multiple
17 studies. Like a meta-analysis or the pooled analysis in
18 epidemiology.

19 Not having it doesn't change the core meaning of the data.
20 And so my opinion of the animal carcinogenicity data wouldn't
21 change just because I couldn't use the pooled analysis.

22 **THE COURT:** I don't have a good memory of the
23 discussion that you had with the lawyers about this last time,
24 but there was -- I seem to recall there was some suggestion
25 that this pooling in this context is like unprecedented. And I

PORTIER - REDIRECT EXAMINATION / WISNER

1 think you said: Well, one of the reasons it's rare or
2 unprecedented -- I can't remember the words that were used, but
3 one of the reasons it's rare or unprecedented is that we have
4 so many studies in this context and it's very rare to have so
5 many animal studies regarding the same chemical or substance.

6 Am I remembering that correctly?

7 **THE WITNESS:** That's correct.

8 **THE COURT:** Is there really no other, you know,
9 substance that people study for carcinogenicity, where, you
10 know, a similar number of animal studies have been done?

11 **THE WITNESS:** Radiation. But radiation is already so
12 heavily regulated nobody changes it. So ionizing radiation.

13 That's the one that pops to mind. I'm not sure a lot more
14 will pop to mind. DDT and DDE, there were a good many studies
15 on that a long time ago.

16 Dioxin. There are now two studies on dioxin. Someone
17 could do a pooled analysis there.

18 But other than that there aren't that many.

19 **THE COURT:** So as far as you know, nobody has done a
20 pooled analysis for any of those other chemicals that you just
21 identified?

22 **THE WITNESS:** That's correct.

23 **THE COURT:** Okay. And what about -- I mean, so every
24 once in a while during this case I get a flashback to a case
25 that I had when I was a lawyer. It was a case -- I represented

PORTIER - REDIRECT EXAMINATION / WISNER

1 the City of San Francisco, and San Francisco passed this law
2 requiring retailers to warn customers about RF energy from cell
3 phones. And there was a challenge to that and I defended that,
4 not successfully.

5 And I seem to remember there were a lot of studies of both
6 human animal on RF -- on the effects of RF energy.

7 And, as a matter of fact, if I recall correctly, your
8 friends Hardell and Ericksson did some epidemiological studies
9 on RF energy from cell phone use.

10 But would that be an example of something where there were
11 lots and lots of animal studies done? Or do you not know?

12 **THE WITNESS:** First, to be absolutely above-board on
13 this, I have been retained by a law firm about RF radiation. I
14 don't know if that changes -- you want me to give you the
15 answer?

16 **THE COURT:** Not at all.

17 **THE WITNESS:** Okay.

18 **THE COURT:** Although I'm curious who retained you,
19 what case.

20 **MR. WISNER:** Dr. Portier, I would just advise you if
21 you're not violating any confidentiality issues.

22 **THE WITNESS:** No, no. I'm just as an expert. I'm
23 not testifying. Just to help in background.

24 **THE COURT:** Oh, okay.

25 **THE WITNESS:** So in answer to your question, there

PORTIER - REDIRECT EXAMINATION / WISNER

1 are five or -- five or so animal studies. The problem is you
2 can't pool them. One study is in transgenic animals, so it's a
3 completely different type of animal. It's a genetically
4 modified animal.

5 One study was done --

6 **THE COURT:** Monsanto modified the animal?

7 (Laughter.)

8 **THE WITNESS:** One study was done with the animals in
9 a giant wheel pushing their heads towards the middle of the
10 wheel and exposure right on the back of their heads in the
11 middle of the wheel.

12 One study was done with antennas in the roof of the cage,
13 so they get a uniform exposure to the animals.

14 And, finally, a third one was done with little antennas
15 glued to the back of the head of the animal.

16 It would be impossible to pool those together and feel you
17 were doing something reasonable.

18 **BY MR. WISNER**

19 **Q.** Quick follow-up to the Court's question, although, as you
20 stated, it's not commonly done.

21 The scientific principles and procedures that you used in
22 conducting the pooled analysis with this unique glyphosate
23 database, are those the same that others use in your field?

24 **A.** Yeah. The methodology is the same when you're looking at
25 studies that you can pool.

PORTIER - REDIRECT EXAMINATION / WISNER

1 **THE COURT:** Again, I don't want to get into the --
2 whether the methodology is sound or not. I just want to -- my
3 main question was what -- what was left if we took that out?

4 **MR. WISNER:** Just wanted a clear question on the
5 record and then I'll move on.

6 **BY MR. WISNER**

7 **Q.** And the second question related to publications and
8 relying on data that is not published in the context of
9 toxicology.

10 I mean, I guess if you remember his question, if you could
11 answer it to the best of your ability.

12 **A.** Certainly. Certainly.

13 So first of all, IARC accepts all publicly available
14 information, not necessarily just peer reviewed. The --

15 **THE COURT:** Just overall or just in the context of
16 toxicology?

17 **THE WITNESS:** Overall.

18 **THE COURT:** Okay.

19 **THE WITNESS:** Overall. The reason for it is they
20 have in this case 17 experts sitting in a room. They can peer
21 review anything.

22 And so if somebody gives them a document with far enough
23 lead time, they can peer review that document and decide to use
24 it or not use it. As long as it's publicly available, that's
25 good.

PORTIER - REDIRECT EXAMINATION / WISNER

1 In general, regulatory issues are handled with
2 non-publicly available data. And they -- they do it as best
3 they possibly can or with some definite problems based upon my
4 comments to them. But they do get into it.

5 Now, different regulatory agencies do it different ways.
6 EPA sometimes actually goes in and looks at the individual
7 animal data and redoes the analysis. But most of the time they
8 take the analysis that's given to them by the contractor that
9 worked for industry and they use that in their risk assessment,
10 as well as all the peer reviewed data.

11 The same holds true in Europe. They seldom go and look at
12 the actual studies. They take the analysis done by industry
13 and use that to generate their evaluation.

14 Most people outside of that proprietary framework don't
15 have access to those studies, so they wouldn't use them.

16 If they were available and I were doing something,
17 publicly available, then I would definitely use them. And I
18 would think that's a sound methodology, to use something you
19 can look at and somebody else can look at it and say: You got
20 this right or you got this wrong.

21 That's the scientific way. That's -- so transparency is
22 important to scientists like me. But in the real world, the
23 regulatory authorities toe a line between transparency and
24 privacy.

25

1 **BY MR. WISNER**

2 **Q.** Doctor, you mentioned briefly industry-sponsored studies.
3 Did you look at the Greim article in this case?

4 **A.** Yes, as well as the individual reports from many of the
5 industry-sponsored studies.

6 **Q.** That's actually my next question. Did you just rely on
7 the summary estimates in the Greim article?

8 **A.** No, because the -- the Greim article was very wrong. The
9 bottom line is, I went in and analyzed everything all over
10 myself to make sure that I fully understood what the animal
11 evidence was telling us. And I think that's normal for anybody
12 wanting to do this type of work who has the time. That would
13 be the methodology you use.

14 **THE COURT:** So the only thing you didn't do, it
15 sounds like, was go to the reading room.

16 **THE WITNESS:** I'm -- I'm not a big fan of wasting my
17 time. And that was my opinion of the reading room.

18 **BY MR. WISNER**

19 **Q.** I guess my last question is, and this has sort of been
20 covered already, but I just want to make it very clear: The
21 procedures used by IARC, are those procedures and methodologies
22 generally accepted in the scientific community?

23 **A.** Definitely.

24 **Q.** And the procedures and methodologies that you used in
25 arriving at your opinion, did you use those methods that are

PROCEEDINGS

1 generally accepted in your scientific community?

2 **A.** Yes. Generally accepted in the scientific community,
3 except it seems they don't like my pooling, some of them.

4 I'm joking. I think they are generally accepted in the
5 scientific community.

6 **MR. WISNER:** Thank you, your Honor. No further
7 questions for real this time.

8 **THE COURT:** Anything further?

9 **MR. LASKER:** Nothing further, Your Honor.

10 **THE COURT:** Thank you very much, Dr. Portier, for
11 coming back. I appreciate it.

12 **THE WITNESS:** Thank you.

13 (Witness excused.)

14 **THE COURT:** Okay. I think you don't have to deal
15 with us again for quite a while probably.

16 Is there anything else anybody needs to discuss before we
17 head out and try to catch our airplanes and whatnot?

18 **MS. GREENWALD:** I have one question. Do you want any
19 page limits on the submissions for next week or -- I didn't
20 know. We didn't ask that earlier.

21 **THE COURT:** I really would prefer that you not
22 address anything other than the issue that I asked you to
23 address.

24 **MS. GREENWALD:** Correct.

25 **THE COURT:** So I can't imagine that it will take you

PROCEEDINGS

1 a lot --

2 **MS. GREENWALD:** I agree.

3 **THE COURT:** -- to do that. But I will let you take
4 the space that you need, okay?

5 **MS. GREENWALD:** Have a nice vacation. Thank you.

6 **THE COURT:** Thank you.

7 **THE CLERK:** Court is adjourned.

8 (Proceedings adjourned.)

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

I N D E X

Friday, April 6, 2018 - Volume 1

PLAINTIFF'S WITNESSESPAGE VOL.PORTIER, CHRISTOPHER

(SWORN)

13 1

Direct Examination by Ms. Greenwald

13 1

Cross Examination Mr. Lasker

81 1

Redirect Examination by Mr. Wisner

145 1

- - -

CERTIFICATE OF REPORTER

I certify that the foregoing is a correct transcript from the record of proceedings in the above-entitled matter.

Debra L. Pas

Debra L. Pas, CSR 11916, CRR, RMR, RPR

Friday, April 6, 2018